

1 BY MS. WITKOWSKI:

2 Q. And hypothetically speaking, if those cost  
3 numbers came from Anchor, would they be included in the  
4 administrative record?

5 A. I would assume so.

6 Q. If we look back at Table A 31-2, which is  
7 entitled "Data Used For Table A 31-1," and then flip  
8 over to Table A 31-1, can you explain to me how these  
9 tables work together?

10 A. Well, since I'm not familiar and hadn't been  
11 until today familiar with A 31-2, I'm probably not a  
12 person most knowledgeable about how to make that link.

13 MR. CARRIGAN: We have designated Mr. Barker as  
14 the cleanup team's person most knowledgeable on the  
15 economic feasibility analysis.

16 MS. WITKOWSKI: I appreciate that.

17 BY MS. WITKOWSKI:

18 Q. And I just wanted to ask some questions of you  
19 because of your extensive background in economic  
20 feasibility analyses that if you could take a look at  
21 these and could understand how they fit together, just  
22 take a minute to look. If you don't understand, that's  
23 fine. But --

24 A. Okay. I think if you take the first six  
25 polygons in Table A 31-2, they're going to match up

1 with, I believe, the first bar graph in Figure 31-1. I  
2 would have to study it some more to verify that.

3 Q. Let me ask a more specific question instead of  
4 just asking the general question about how they fit  
5 together.

6 Do you know the table column that says  
7 "Construction Seasons Required" in Table A 31-1, do you  
8 know where those numbers come from?

9 A. No.

10 Q. Do you know why 2 is listed twice?

11 A. No.

12 Q. What about under the column "SWAC PCB" in  
13 Construction Season 1, the number 249 is in there, do  
14 you know where that number comes from?

15 A. I assume it comes from when you remove a number  
16 of polygons, there is a certain amount of dredge volume  
17 during Construction Season 1, your remaining subsequent  
18 SWAC would be that number indicated.

19 Q. How would you make that determination?

20 A. Well, right now, we have a calculation that  
21 estimates the SWAC, S-W-A-C, surface area weighted  
22 average concentration for individual chemicals, in this  
23 case PCBs. And then if you remove, let's just say, the  
24 most contaminated polygon, you take that out of the  
25 calculation and the remaining polygon's concentrations

1 on evaluated on a surface weighted average basis. And  
2 you are going to see that number continually go down.

3 Q. And that's for the entire site?

4 A. The surface weighted average concentration is a  
5 site-wide concentration.

6 Q. Do you know where I could find the numbers for  
7 the starting SWAC numbers for each of these  
8 contaminants?

9 A. Not offhand. I would assume it's in the DTR or  
10 the appendices.

11 Q. Do you know where I could find the calculations  
12 demonstrating how each of these numbers was arrived at?

13 A. Not offhand.

14 Q. What about the "Exposure Reduction" column in  
15 Table A 31-1 for PCB, we have in Construction Season 1,  
16 26.3 percent; do you know where that number comes from?

17 A. The calculations explained on Page 31-2. It  
18 doesn't give the individual numbers used in the  
19 calculation, but it explains how a percent exposure  
20 reduction is calculated.

21 Q. Do you know where I could find the actual  
22 calculations?

23 A. No.

24 Q. Is there a reason that --

25 MR. CARRIGAN: Are you asking for where we did

1 100 minus 10 equals 90? That kind of calculation? I  
2 mean, I'm not sure what your question is. What  
3 calculation?

4 BY MS. WITKOWSKI:

5 Q. I'm looking for the calculations of the  
6 exposure reduction for PCB in Construction Season 1.

7 MR. CARRIGAN: Okay.

8 THE WITNESS: Well, I would pore through the  
9 document, the DTR and find your starting SWAC. I'm  
10 pretty sure it's in there somewhere for PCBs. And then  
11 you take that number minus 249 and then you divide it by  
12 that number minus background and you multiply it by 100.  
13 I'm referring to the equation in the middle of  
14 Page 31-2.

15 BY MS. WITKOWSKI:

16 Q. Do you know the document in which those  
17 calculations are included or shown?

18 A. No.

19 Q. Do you know if it's in the administrative  
20 record?

21 A. No.

22 Q. Is there a reason that those calculations  
23 weren't included in either the DTR or the appendix?

24 MR. CARRIGAN: Misstates testimony. He said he  
25 doesn't know whether they're included.

1 THE WITNESS: I think it's highly likely. All  
2 of the numbers needed to do that simple mathematical  
3 calculations are in the DTR, the DTR appendices or the  
4 administrative record.

5 BY MS. WITKOWSKI:

6 Q. Is there a reason that citations were not given  
7 in either the DTR or the appendix to Section 31 so that  
8 these calculations could be easily located?

9 A. What is your definition of "easily located"?

10 I just indicated I'm the person not most  
11 knowledgeable, probably another person on the cleanup  
12 team could point you to them much quicker than I could.  
13 Or answer your question about whether they exist or not.

14 Q. As a standalone document, is there a reason  
15 that the -- neither the DTR nor the appendix explains  
16 where the numbers of the calculations came from?

17 A. I don't understand what you mean by "a  
18 standalone document." They're not standalone documents.  
19 You need to have the DTR, the appendices and the  
20 administrative record.

21 Q. Is there a reason that there weren't any  
22 citations included to where in the administrative record  
23 the calculations could be found?

24 A. I assume the reason is that given the size of  
25 the total project and the administrative record, which

1 is, I don't know, what is it, 15 gigabytes, some large  
2 number of gigabytes of thousands of records that it  
3 would just be too onerous of a task to provide the  
4 pathway for every single calculation in this -- such a  
5 complex project.

6           This only took ten years to put together. If  
7 we spent 20, maybe we could provide a better pathway for  
8 every single calculation.

9       Q.    I can understand why you would characterize it  
10 as an onerous task to put in the pathways, but it would  
11 also be an equally onerous task for someone trying to  
12 figure this out trying to find where those are located.

13           Let me ask you a question about you referred  
14 earlier to the six polygons at a time. Do you know why  
15 the calculations were done with six polygons at a time?

16       A.    No.

17       Q.    Were any calculations done with seven polygons  
18 at a time?

19       A.    No.

20       Q.    Do you know why on Table A 31-1, the plot data  
21 table, exposure reduction was calculated per 10 million?

22       A.    I'm sorry, can we go back to your previous  
23 question?

24           Actually, there is data in here that could show  
25 you the calculations for one polygon at a time, not

1 carry it all the way through to the economic result.  
2 But you could take each individual row in the appendices  
3 in Table A 31-2 and do 'em one at a time if you like,  
4 seven at a time, two at a time.

5 Q. And how would I -- how I would do that?

6 A. You take a row with one polygon and you use the  
7 data in that row and you run it through the same process  
8 that was used with the highlighted yellow rows, because  
9 that's cumulative for six. But you have got the data  
10 for each individual one. So you could break it down  
11 however you like.

12 In other words, extrapolate in between because  
13 you have all the data for all of the data points in  
14 between 1 through 6.

15 Q. And that would include using the assumptions  
16 that are somewhere in the administrative record?

17 A. You could use the same assumptions. In  
18 Table 31-1, you have got the dollar assumptions, the  
19 cost range is zero to \$24 for the --

20 Q. So where did that zero to \$24 number come from?

21 A. Anchor.

22 Q. Anchor?

23 A. Probably.

24 Q. And that -- those numbers, you believe, are  
25 somewhere in the administrative record?

1 MR. CARRIGAN: Asked and answered.

2 THE WITNESS: I believe I have answered that.

3 BY MS. WITKOWSKI:

4 Q. Do you know when the economic analysis was  
5 done -- do you know anything about the -- let me ask  
6 again.

7 Do you know anything about the economic  
8 assumptions that were used?

9 A. Could you be more specific?

10 Q. Like the cost assumptions.

11 A. I think I answered that also.

12 My understanding was we used a contractor that  
13 was familiar with cost of environmental dredging and/or  
14 the shipyards who had experience with the cost of  
15 dredging. And transportation costs are also known by  
16 those entities and disposal costs. So --

17 Q. So can you explain to me or do you know which  
18 of the variables were used or considered?

19 MR. CARRIGAN: Vague.

20 THE WITNESS: Yeah, I am not sure I understand  
21 the question.

22 BY MS. WITKOWSKI:

23 Q. I believe earlier, you had mentioned dredging  
24 volume or to figure out the cost of dredging a specific  
25 polygon you need to dredging volume. And I assume one



1 of the cost factors or elements in there figuring out  
2 the cost would be cost per cubic yard of dredging.

3           Do you -- so I would assume that is one  
4 variable. Do you know which of the variables were  
5 considered?

6           A. My recollection is if you look at the Exponent  
7 report appendices, there's a lot of detail on dredging  
8 costs. And that's -- when I say "dredging costs," I  
9 mean all of the costs, like preparation of documents to  
10 get approvals, staging, you know, there's fixed costs,  
11 there's variable costs. And that was done in great  
12 detail in the appendix, I believe it's in the appendix.  
13 It is definitely in one of the volumes of the Exponent  
14 2003 reports. And I believe that was done by Anchor.

15           And it's my understanding Anchor was asked to  
16 update those costs since that was done probably even  
17 prior to 2003, the date of the Exponent report. It's my  
18 understanding that was updated and provided for this  
19 analysis.

20           Q. One question I have about those assumptions and  
21 how they work, if you know, from my look at this 1-to-65  
22 ranking of the polygons from most contaminated to least,  
23 they're not necessarily all adjacent to one another.

24           So for example, those in the first six polygons  
25 may be in separate areas of the dredging site.

1           My question for you was: Were they considered  
2 as we're going to do these six and then the next six and  
3 look at the cost for those? Or was there any sort of  
4 economies of scale, oh, look, once we get down to 12,  
5 we're already doing several in one area and then we will  
6 do several in another area. So by economies of scale,  
7 it would reduce the cost?

8           MR. CARRIGAN: Incomplete hypothetical.

9           But you can answer if you understand.

10          THE WITNESS: I'm not positive I understand,  
11 but let me try and answer it anyway.

12          When you do a cost estimate, like the one I do  
13 remember reviewing years ago in the 2003 Exponent  
14 report, as I said, there's fixed costs. Before you even  
15 turn over a -- you know, remove a cubic foot, you are  
16 going to have -- let's just throw out a number, say a  
17 million dollars in just lining everything up, getting  
18 approvals, that sort of thing, mobilization.

19          And then they probably didn't take into account  
20 the idea that, gee, if you move Polygon 1 and the one  
21 right next to it, it's going to cost less than if you  
22 move Polygon 1 and Polygon 3 that's a little bit of  
23 distance away.

24          You know, that's the actual -- so there is two  
25 separate things here is what I'm trying to say. There's

1 the cost estimates that are done a priority. And then  
2 there's, okay, now we're going to go out in the field  
3 and actually put a barge out there and start dredging.  
4 Then they will say, okay, here's the footprint we  
5 finally got ordered to clean up. We can decide now  
6 whether to go from Polygon 1 to 6 or get 1 and 2 over  
7 here and then move over there.

8           They're both active shipyards, so I'm pretty  
9 sure that sort of operational coordination of how to  
10 actually implement the cleanup was not taken into  
11 account to a large degree in doing just the pure cost  
12 estimate of how much to remove "X" thousand cubic yards.

13 BY MS. WITKOWSKI:

14       Q.    And that was exactly my question so thank you  
15 for understanding even if it wasn't complete.

16           With that said, my understanding is that,  
17 again, these are all cost estimates and that the --  
18 they're highly dependent on what your underlying  
19 assumptions are; is that correct?

20       A.    Correct.

21       Q.    And if you changed or altered some of the  
22 assumptions, it could very well change the outcome of  
23 this economic feasibility analysis?

24       A.    The dollars would change. And let me point out  
25 based on my 20-plus years of experience of doing cost

1 estimates and then going out and implementing that  
2 remediation. I have learned, especially when I was in  
3 consulting, to put a plus or minus almost an order of  
4 magnitude. And I don't recall one project that ended up  
5 costing less than the initial estimate.

6 MS. WITKOWSKI: I think that might be all of my  
7 questions for you.

8 Do you mind if we take a break, I will look  
9 through my notes and I think we may be done.

10 MR. CARRIGAN: Very good. Off the record?

11 MS. WITKOWSKI: Yes.

12 (9:13 a.m.)

13 (A brief recess was taken.)

14 (9:22 a.m.)

15 MS. WITKOWSKI: I have no further questions.

16 Thank you, Mr. Carlisle, for your time.

17 THE WITNESS: You're welcome.

18

19 EXAMINATION

20 BY MS. REYNA:

21 Q. Good morning, Mr. Carlisle. My name is  
22 Kristen Reyna, I'm one of the attorneys who represents  
23 the City of San Diego in this matter.

24 I would like to take you back to some of the  
25 areas of questioning that were -- that you responded to

1 yesterday for Mr. Carlin and Mr. Brown. And I have a  
2 series of follow-up questions for you on a couple  
3 categories in that area.

4 Yesterday Mr. Carlin asked you whether you had  
5 been involved in any of the development of the factual  
6 or historical allegations regarding the City of  
7 San Diego and the tentative cleanup and abatement order  
8 or draft technical report.

9 And I have down that you responded to that  
10 question "maybe."

11 Is that a fair characterization of your  
12 response to Mr. Carlin?

13 A. I assume that's what I responded yesterday. We  
14 could change that to a yes.

15 Q. Okay. What specific involvement did you have  
16 in developing the factual or historical allegations  
17 against the City of San Diego and the CIO or the DTR?

18 A. I recall working with the team, David Barker,  
19 and then some of the staff, I think Benjamin Tobler was  
20 one to look through the MS4 permit reports, specifically  
21 the discharge data and compare it to the California  
22 toxic rule criteria.

23 Q. Is that the extent of your involvement in the  
24 development of those allegations?

25 A. No, as I --

1 MR. CARRIGAN: Yeah, let her finish.

2 THE WITNESS: As I recall, part of that  
3 involved looking through the MS4 permits, the various  
4 ones that the City of San Diego had and looking at the  
5 requirements of those permits. And then applying that  
6 to any information we had to see if they were in  
7 violation of discharging.

8 BY MS. REYNA:

9 Q. Have you now expressed the total of your  
10 involvement in the development of the factual historical  
11 allegations against the City of San Diego?

12 A. If you like, I can review the City of San Diego  
13 section and see if there is any more aspects I  
14 participated in.

15 Q. Yeah, please, if that would help refresh your  
16 recollection, please.

17 A. So in addition to the MS4 discharges, the  
18 permit requirements and the associated discharges, which  
19 are -- fall under the category of NPDES requirements and  
20 regulations, the Chollas Creek outflow creates a plume  
21 that contributes to the contaminants of the shipyard  
22 sediment site. That's evaluated in part in  
23 Section 4.7.1.3.

24 And then there's some data, for example, in  
25 Table 4-4 where some sediment samples were taken from a

1 catch basin that had PCBs and pHs. I was involved  
2 somewhat in that.

3 I think that covers most everything in general  
4 that's in Section 4 of the DTR regarding the City of  
5 San Diego.

6 Q. Did you have any involvement in the factual or  
7 historical allegations regarding the City of San Diego's  
8 trusteeship of the shipyard site from the early 1900s  
9 time frame to approximately 1962?

10 A. I may have reviewed that section. I don't  
11 think I was the primary author in development of that.

12 Q. With respect to the MS4 or  
13 Chollas Creek-related allegations that you just  
14 described, would you consider yourself the primary  
15 author of any of the sections of the DTR with respect to  
16 any of those allegations?

17 A. No, there's probably very few sections in the  
18 DTR where we had one primary author, because it was --  
19 as we said yesterday, it's been a collaborative effort.  
20 My role would be sometimes to identify some areas to  
21 look into. A lot of it, though, was directed by my  
22 supervisor, David Barker, or others. And then it  
23 sometimes went from David Barker directly to staff.  
24 Sometimes it went through me to staff. Sometimes it  
25 went through other senior staff down to the line staff.

1           So again, I'm just trying to describe the  
2 collaborative effort where it was always a team mix of  
3 responsibilities and authorship and review and revision  
4 to any written -- written drafts and subsequently final  
5 report.

6           Q.    So then it would be fair to say that as far as  
7 the San Diego sections or allegations, what you just  
8 identified, to your knowledge, those were a  
9 collaborative effort of multiple people?

10          A.    Yes.

11          Q.    Including Mr. Barker, Mr. Tobler and possibly  
12 others?

13          A.    Yes.

14          Q.    Do you know whether Mr. Barker would be the  
15 person most knowledgeable regarding the development of  
16 the factual and historical allegations regarding the  
17 City of San Diego?

18          A.    I don't recall. I think we named Mr. Barker as  
19 the PMK for the section.

20          Q.    Okay.

21          A.    I think you have that information on who's been  
22 named as PMK. I don't have that in front of me.

23          Q.    Well, I believe -- I believe Mr. Barker was  
24 designated as the PMK on sediment and site  
25 investigation. That was the area that I was thinking



1 might be covered by the factual and historical  
2 allegations regarding the responsible parties.

3           Do you have any understanding if that's an  
4 accurate -- if my understanding is accurate?

5           A.    I don't understand your understanding.

6           MR. CARRIGAN:  Yeah.  I think it calls for a  
7 legal conclusion.  You're certainly welcome to ask any  
8 questions you might have of Mr. Carlisle about the  
9 allegations specifically, see if he has any knowledge  
10 about 'em.

11 BY MS. REYNA:

12          Q.    Yesterday, Mr. Carlin also asked you regarding  
13 whether you would be providing expert opinions on any of  
14 the potentially responsible parties.  And I have down  
15 that you responded that you might be asked to provide  
16 expert opinions on some of the potentially responsible  
17 parties.

18                Is that a fair characterization of your  
19 testimony?

20          A.    Yes.

21          Q.    Have you been asked, as you sit here today, to  
22 actually provide expert opinions on any of the  
23 potentially responsible parties?

24          A.    I don't understand what that means.  What's the  
25 definition of --

1 Q. Well, I guess what I'm trying to do is draw the  
2 distinction between whether you have actually been asked  
3 thus far by the cleanup team to provide expert opinions  
4 regarding any of the potentially responsible parties or  
5 if it's more just that you might be called upon to  
6 provide such opinions.

7 A. I don't recall the cleanup team making that  
8 distinction. I mean, I'm available to provide expert  
9 opinions.

10 Q. Do you have any specific expert opinions  
11 regarding the City of San Diego?

12 A. Well, I have what I assume you might call an  
13 expert opinion, because I'm not even that knowledgeable  
14 about the legal definition of expert opinion as opposed  
15 to any other type of opinion.

16 But the -- for example, it's pretty black and  
17 white and it doesn't take a whole lot of knowledge to  
18 recognize that there is a California toxic rule criteria  
19 that determines what levels are potentially toxic and  
20 then compare that number to a number for that same  
21 chemical that's in a report provided by the City of  
22 San Diego. So I could provide an expert opinion about  
23 whether your discharge data shows exceedence of the  
24 California toxic rule.

25 Q. And this is specifically in reference to the

1 MS4 discharges?

2 A. Yes. Similarly, I could provide an expert  
3 opinion about whether the PCB concentrations provided in  
4 the catch basins provided in Section 4, whether those  
5 concentrations would be at a level that would contribute  
6 to the contamination and unacceptable levels at the  
7 shipyard sediment site.

8 Q. So would it be fair to say, then, the areas of  
9 your expert testimony with respect to the City of  
10 San Diego would be regarding whether the levels of data  
11 show that there were exceedences of the toxic rule?

12 A. I could certainly provide those opinions.

13 Q. Are there any additional areas of opinions that  
14 you would potentially provide regarding the City of  
15 San Diego?

16 A. How is that question any different than one of  
17 the first questions where I looked through the whole  
18 section and pointed out all of the areas that I worked  
19 on?

20 Q. Right now I'm asking more regarding expert  
21 opinions. The first question was more your level of  
22 involvement in the development of the factual or  
23 historical allegations regarding the City. But if --  
24 but if your answer is the same, I mean, that can -- I  
25 mean, that can be your answer. But that -- that is the

1 distinction between the two.

2 A. I'm willing and available to provide expert  
3 opinions on those areas we mentioned.

4 Q. Okay. I just want to make sure that I  
5 understand all of the areas on which you might provide  
6 expert opinions. So I'm not trying to trick you, I'm  
7 just trying to make sure that I'm not going to miss an  
8 area that you might be providing an expert opinion on  
9 the City of San Diego on. So if we have covered all of  
10 the areas, then that's all I need to know.

11 A. I'm under the impression I cited all of the  
12 areas that are covered in the section on the City of  
13 San Diego.

14 Q. Well, I guess one area that isn't covered by  
15 the MS4 discharges or Chollas Creek discharges is the  
16 liability allegations regarding the City of San Diego's  
17 trusteeship regarding the site. Aside from I believe  
18 you said you might have reviewed some of those  
19 historical or factual allegations regarding the City's  
20 trusteeship of the site, do you have any additional  
21 opinions or actual opinions regarding the City as its  
22 named as a trustee of the site?

23 A. I assume you're referring to the trusteeship  
24 prior to the Port becoming the trustee for the --

25 Q. Correct.

1           A.    Yeah, I'm not planning on offering any expert  
2 opinions on that.

3           Q.    Okay.  That's perfect.  That's exactly what I  
4 was looking for.

5                    Now yesterday, I think during Mr. Brown's  
6 questions and you actually just mentioned it earlier, I  
7 believe you testified that you do have some opinions  
8 regarding fate and transport in this action and specific  
9 as to what might bear on the City of San Diego, you said  
10 that one of those opinions was that Chollas Creek is  
11 causing contamination at the site; is that correct?

12          A.    I believe I said it contributed.

13          Q.    Contributed to contamination of the site.

14                   I have down that you cited Katz's report as  
15 support for your opinion in that respect; is that  
16 correct?

17          A.    Yes.

18          Q.    Are there any other documents or materials upon  
19 which you rely in making that opinion?

20                   And if it helps to reference the DTR, that's  
21 totally fine if that helps to refresh your recollection.

22          A.    Thank you.

23                   Yes, I see that Katz et al. 2003 cited, which  
24 is probably the Katz report you mentioned; Chadwick  
25 et al. 1999 would be another reference that might have

1 been used to support those -- those evaluations of how  
2 far the outflow during storm events extends from  
3 Chollas, mouth of Chollas Creek on into San Diego Bay  
4 including the shipyard sediment site.

5 Q. Do you have any understanding of how many storm  
6 events the Katz et al. study studied?

7 A. I would have to refer back to the study.

8 To add to my previous answer, I see there is  
9 reference to Schiff 2003. So, you know, I'd have to --  
10 to completely answer your previous question, I would  
11 have to go through the entire Section 4 and see what all  
12 the references we used to discuss or to support our  
13 conclusions about Chollas Creek being a contributor to  
14 this contamination of the shipyard sediment site.

15 Q. Do you want to take a look at Section 4.7.1.3  
16 just to refamiliarize yourself with the references cited  
17 in that section?

18 A. Yes. It appears that the primary reference is,  
19 again, in Katz et al. 2003 and Chadwick et al. 1999  
20 regarding the extent of the plume outflows from  
21 Chollas Creek to San Diego Bay which would include the  
22 shipyard sediment site.

23 Q. Does anything in this section refresh your  
24 recollection as to how many storm events were studied in  
25 the Katz et al. study?

1           For example, the first bullet point under the  
2 discussion of the U.S. Navy studies including Katz  
3 et al. on Page 4-15.

4           A.    Yeah, it appears from this that that was one  
5 storm event in 2001.

6           Q.    Do you have an understanding that the Katz  
7 et al. study's main conclusion was that storm water from  
8 Chollas Creek was contributing to excess levels of  
9 certain constituents at the mouth area of Chollas Creek  
10 versus actually out into the bay area?

11           MR. CARRIGAN:  The document speaks for itself.

12                    But you can answer if you have an  
13 understanding.

14           THE WITNESS:  As I said yesterday, there's an  
15 overlap between the mouth of Chollas Creek and what I'm  
16 referring to as the shipyard sediment site which is  
17 beyond just purely the shipyard's leasehold.

18 BY MS. REYNA:

19           Q.    In your mind, does that encompass an area  
20 outside of the NA22 area that's designated for remedial  
21 work in the context of the Chollas mouth TMDL?

22           A.    Could you define the word "that" in your  
23 question?  You used the -- is that a pronoun?  "That"?  
24 I didn't know what you were referring to with "that."

25           Q.    Let me look at my question, come up on the

1 LiveNotes.

2 MR. CARRIGAN: Do you want to read it back?

3 MS. REYNA: Yeah, that might be easier. I  
4 thought it would be easier to do that.

5 (The record was read.)

6 BY MS. REYNA:

7 Q. By "that," I meant your prior reference to  
8 saying that the Chollas mouth area overlapped with the  
9 bay area.

10 MR. CARRIGAN: With the shipyard sediment site.

11 BY MS. REYNA:

12 Q. It was a reference back to your -- your answer,  
13 I think, from the previous question.

14 A. I'm a little confused now. Can you reform the  
15 question and we'll --

16 THE COURT REPORTER: I can read back his answer  
17 if you want.

18 MS. REYNA: I think maybe reading back his  
19 answer would help.

20 THE WITNESS: And maybe the question before my  
21 answer.

22 (The record was read.)

23 THE WITNESS: Yes. I'm going to expand on that  
24 because I want to make sure that "yes" applies to what  
25 you were asking.



1           If you are asking is it my understanding that  
2 the plume that Katz studied extend beyond just the mouth  
3 of Chollas Creek area by NA22 and my answer to that is  
4 yes. The Katz study talks about going out with toxicity  
5 being detected up to a kilometer from the mouth of  
6 Chollas Creek and that kilometer will take you well  
7 beyond NA22.

8 BY MS. REYNA:

9           Q. Do you have an understanding as to in what  
10 direction that kilometer was measured?

11          A. I recall looking at his figures and part of it  
12 at least went toward the shipyard sediment side as  
13 opposed towards Naval station.

14          Q. Aside from the Schiff Study and the Katz study  
15 and the Chadwick study, are there any other reports or  
16 documents which you rely on to support your opinion  
17 regarding Chollas Creek contributing to excess levels of  
18 certain constituents in the bay or the shipyard sediment  
19 site?

20           THE WITNESS: Would you repeat the question, I  
21 missed the beginning of it.

22           (The record was read.)

23           THE WITNESS: Well, potentially, you can  
24 extrapolate from the Exponent data and compare the  
25 chemicals found in the sediment with the chemicals

1 reported by the City of San Diego and their storm water  
2 run off.

3           As you know, the urban runoff has a lot of  
4 typical metals, copper, lead, zinc. And so there's some  
5 tangential or some evidence one could use to surmise  
6 that some of it could have come from urban runoff.  
7 Maybe not necessarily from Chollas Creek but from other  
8 discharges from the MS4 system. But not -- but if your  
9 question was just specific to studies on Chollas Creek  
10 plumes, no, I don't recall looking at any other specific  
11 Chollas Creek plume studies. If that answered your  
12 question.

13 BY MS. REYNA:

14       Q.    I think you answered my question.

15           But if we took it beyond just looking at the  
16 plume studies, you would cite an extrapolation which  
17 could be performed regarding certain data; is that  
18 correct?

19       A.    Right. It's my understanding, and I recall  
20 asking the City of San Diego for their data from the  
21 system that exits is discharged via SW4 and SW9 and I  
22 was told they don't have data. They have limited funds  
23 and they have only collected data for Chollas Creek. So  
24 we don't have -- it's my understanding we didn't have a  
25 lot of -- or if any, the City didn't provide data for

1 what's in that portion of the MS4 system. Meaning SW4  
2 SW9 areas that discharge directly to the shipyard  
3 sediment site.

4 Q. Have we now covered all of the bases for your  
5 opinion regarding Chollas Creek's contribution to any  
6 contamination at the shipyard sediment site?

7 A. The fact that the mouth at Chollas Creek TMDL  
8 study, which stands for total maximum daily load, it's  
9 another project that is done on the sediments at the  
10 mouth of Chollas Creek and there was a lot of work done  
11 there that showed accumulation of pollutants right at  
12 the mouth of Chollas Creek. And that -- you know, that  
13 contend to be used to draw the conclusion that some of  
14 that, if not all or most of it, came from discharges  
15 from the Chollas Creek which is a portion of the MS4  
16 system.

17 Q. But that specific issue deals with the  
18 constituents within the mouth area of Chollas Creek; is  
19 that correct?

20 A. Yes.

21 Q. Which is being handled in the TMDL for the  
22 mouth of Chollas Creek?

23 A. Yes, but I was using that to point out the  
24 types of pollutants that come out with storm water.

25 Q. Okay.

1 A. And are discharged to San Diego Bay.

2 Q. I think yesterday you also discussed SW9 to  
3 some extent with Mr. Brown's questions. And I had down  
4 that you also held the opinion that SW -- discharges  
5 from SW9 were potentially a contributor to contamination  
6 in San Diego Bay; is that correct?

7 A. Yes.

8 Q. Are there any reports or studies which you rely  
9 on as the basis of your opinion in that respect?

10 A. The TMDL data for the sediment in the vicinity  
11 of SW9 and the Exponent sample in the vicinity of SW29.

12 MR. CARRIGAN: SW09.

13 THE WITNESS: SW09, I'm sorry, show the  
14 chemistry levels in the sediment in the vicinity of that  
15 outfall.

16 BY MS. REYNA:

17 Q. But is the vicinity of that outfall which you  
18 are referring to within the polygon NE22?

19 A. Yes.

20 Q. Are there any other bases for your opinion  
21 regarding SW9 that we have been discussing that you  
22 haven't voiced?

23 A. Not that I can think of.

24 MS. REYNA: I think that's all the questions I  
25 have. Thank you.

1 THE WITNESS: Thank you.

2 MR. CARRIGAN: Okay. Let's go off the record  
3 briefly.

4 (9:53 a.m.)

5 (A brief recess was taken.)

6 (10:00 a.m.)

7 (Exhibit No. 1003 marked for identification.)

8

9 EXAMINATION

10 BY MR. BENSHOOF:

11 Q. Good morning, Mr. Carlisle. My name is -- we  
12 have met. My name is Ward Benshoof, and I'm with the  
13 firm of Alston & Byrd. I'm co-counsel in this matter  
14 with Ms. Tracy on behalf of SDG&E, and I have some  
15 questions to ask.

16 I have marked initially as Exhibit 1003 the  
17 notice of deposition that we filed joining in this  
18 deposition. That's just for the record, there's nothing  
19 in there that we need to examine.

20 MR. CARRIGAN: It's okay. This is -- we're not  
21 going to look at it, he is marking it for the record.

22 THE WITNESS: Okay.

23 BY MR. BENSHOOF:

24 Q. In terms of the background that you went over,  
25 Mr. Carlisle, yesterday, I don't mean to repeat any of

1 it, but I was curious as to how many projects like this  
2 you have been involved in and let me define what I kind  
3 of mean "like."

4           Involving the same multi-year tasks of a  
5 assembling an administrative record to support a  
6 technical support -- excuse me, to support a technical  
7 report which, in turn, is intended to support a cleanup  
8 and abatement order which I understand has been your  
9 role in or you have been involved in those tasks in this  
10 particular base sediment case, correct?

11       A.    Yes.

12       Q.    And have you had -- you have mentioned other  
13 projects you were involved with, the Convair lagoon  
14 issues for a brief period of time, it sounded like, and  
15 you have discussed a variety of other sites that you  
16 have had responsibilities for.

17           And I was just wondering whether any of your  
18 prior projects or even current projects involve the same  
19 sort of scope and scale of projects that this one has  
20 for you?

21       A.    Are you asking do the projects have the same  
22 sort of scope and scale or my involvement of the same?

23       Q.    Has your involvement been the same in any other  
24 project as it has been in this one?

25       A.    And your definition of "project" is just

1 projects with a regulatory agency that have a cleanup  
2 and abatement order?

3 Q. Right, I'm not addressing your experience in  
4 private practice. Now I'm addressing your experience  
5 with the Water Board, that period of time.

6 A. My involvement in other Water Board cleanup and  
7 abatement order projects wasn't to the level of the  
8 involvement in this project that's gone on for almost  
9 ten years.

10 Q. Have you been involved -- I take it you have  
11 been involved, though, as a professional and one of your  
12 tasks at the Water Board has been to gather information  
13 to support a decision as to whether or not to name  
14 someone as a responsible party in a cleanup and  
15 abatement order?

16 A. Yes.

17 Q. That's a fairly typical task of yours and other  
18 Water Board employees?

19 A. Yes.

20 Q. And that is part of what you did in this  
21 instance?

22 A. Yes.

23 Q. You described the manner in which there was  
24 almost -- there was a three-tier process or three tiers  
25 of personnel that were involved in that task?

1 Tier 1 being -- I believe you mentioned  
2 Mr. Barker; Tier 2 being yourself; and Tier 3 being the  
3 staff. At least that's what I wrote down yesterday.

4 A. That's a fair generalization of our  
5 organization.

6 Q. And my interest today is going to be  
7 principally on four different sections of the DTR,  
8 Sections 3, 5, 6 and 9.

9 So you might have guessed that at the outset  
10 that I'm concerned about the section that addresses  
11 SDG&E, my client. I'm also concerned about the sections  
12 that deal with the shipyard that is south of the SDG&E  
13 facility.

14 Now, if I -- and I read the depositions of  
15 Cynthia Gorham, Lisa Honma and Ben Tobler.

16 Have you read those depositions, by the way?

17 A. No.

18 Q. Did they talk with you at all about the  
19 depositions afterward?

20 A. Probably not more than a minute or two worth of  
21 conversation.

22 Q. I get the sense, and we have got the  
23 transcripts here that we can go over if you would like,  
24 but I get the sense from reading those depositions that  
25 it basically worked in the following fashion, and just



1 correct me if I misunderstand it, that you would give  
2 direction to your staff as one would expect a supervisor  
3 to do to investigate or draft, in many cases, particular  
4 portions of the DTR. They would then follow your  
5 direction to create drafts. There would be a meeting  
6 following their creation of a draft that typically  
7 Ms. Gorham, Ms. Honma or Mr. Tobler would be in with you  
8 and Mr. Barker, you and Mr. Barker would have comments  
9 on the draft and then they would go back and respond to  
10 those comments.

11           Is that a fair generalization of the  
12 collaborative process that was followed in putting  
13 together particularly Sections 3, 5, 6 and 9 of the DTR?

14       A.    And 3 is BAE?

15       Q.    BAE, excuse me. 5 is --

16       A.    5 is --

17       Q.    -- San Diego Marine Construction, Star &  
18 Crescent; 6 is Campbell and 9 is SDG&E.

19           So it's my client and the three shipyard  
20 operators are going to be my concern today.

21       A.    And back to your generalization of the process,  
22 it's fairly accurate. Up front, though, the first part  
23 you have mentioned I think was me giving direction to  
24 the staff and it was more like collaboratively,  
25 David Barker and I and probably others gave direction to

1 the staff.

2 Q. Okay.

3 A. So staff -- we don't follow strict chain of  
4 command in our office staff, you know, get direction  
5 from me and report back to me or they get direction from  
6 Barker and report back to me or they get direction from  
7 Barker and report back to Barker. So we -- you know, we  
8 blur the lines quite a bit depending upon who is  
9 available, whose door is open. You know, we have got  
10 cubicles and open communication and we don't follow a  
11 strict chain of command.

12 Q. Let me ask whether or not Mr. Tobler was the  
13 one witness in particular that described this process of  
14 creating drafts and then coming into a room and  
15 discussing those drafts with you and Mr. Barker and then  
16 going and reworking those.

17 Were any of those drafts saved to your  
18 knowledge?

19 A. No.

20 Q. So -- and you're absolutely sure about that?

21 A. I'm fairly sure about that.

22 Q. Okay. Because the process was in place was to  
23 not save drafts. Would that be a fair and accurate  
24 description?

25 A. The bulk of Tobler's work, at least I remember

1 one aspect clearly, was to, as I mentioned earlier, pore  
2 through the -- for some of these entities, pore through  
3 their discharge data, their NPDES reports, and compare  
4 numbers to the -- to the DTR.

5           The other aspect was to pore through their MS4  
6 permits or their NPDES permits and see what requirements  
7 are in there that they might have violated. Sometimes  
8 there were narrative, mostly they were narrative  
9 requirements to not discharge toxic pollutants, for  
10 example.

11           And so, you know, there wasn't a -- his output,  
12 as I recall, there wasn't a lot of draft to it in terms  
13 of it was just saying this report had this number for,  
14 say, copper and the DTR number for copper is this. So  
15 it was, you know, prepare a table, I guess you could  
16 call that a draft. But it's not a big pro's analysis.

17       Q.   Not something that goes through multiple  
18 changes?

19       A.   Right.

20       Q.   So these were -- they might have been a draft,  
21 but they were relatively simple and oftentimes what he  
22 came in with was the final?

23       A.   Oftentimes it was a table that he came in with.

24       Q.   While I'm -- now, does Mr. Tobler still work  
25 for the Water Board?

1 A. Yes.

2 Q. How did you find his performance as a  
3 supervisor?

4 A. He didn't perform as a supervisor.

5 Q. No, no. As his supervisor, you were his  
6 supervisor. I take it you found that he was timely and  
7 accurate in his job?

8 A. Yes.

9 Q. Performed well?

10 A. Yes.

11 Q. Understood your instructions?

12 A. On this project.

13 Q. Did you discuss with him the conversation that  
14 you and he had about how it was that SDG&E came to be  
15 named as a PRP, after his deposition?

16 A. No.

17 Q. Because he testified that he asked you when  
18 he -- when he was assigned to the project, he testified  
19 that he asked you how it was that SDG&E came to be named  
20 and at Pages 16 -- 116 and 117 of his transcript, he  
21 says, "I think he told me something like NASSCO and  
22 SW Marine wanted more people on board."

23 Do you recall that -- and he identified that  
24 that conversation, what the basis was for naming SDG&E  
25 was sometime in 2004.

1           Is that a conversation that you remember, too,  
2 Mr. Carlisle?

3       A.    No.

4       Q.    You would agree that it would be inappropriate  
5 to simply name somebody as a PRP because somebody else  
6 wanted them on board?

7       A.    No. I would agree that it would require some  
8 additional information. Are you saying solely?

9       Q.    Well --

10      A.    I mean, what would that person's wants be based  
11 on? That would be the defining question.

12      Q.    Do you think you told him in 2004 that NASSCO  
13 and Southwest Marine had wanted more people on board and  
14 that's why SDG&E was named? Can you imagine telling him  
15 that?

16           MR. CARRIGAN: Asked and answered.

17           THE WITNESS: I don't recall telling him that.

18 BY MR. BENSHOOF:

19      Q.    Do you think it would be appropriate? I take  
20 it your testimony is you can imagine a circumstance  
21 under which it would be appropriate for the Water Board  
22 to simply name somebody as a PRP because two other PRPs  
23 wanted them involved.

24      A.    Let me explain that it's my recollection that  
25 in the sequence of events which you might recall better

1 than me, we sent an investigative order to NASSCO and  
2 Southwest Marine perhaps at the time that became BAE to  
3 investigate the shipyard sediment site to determine, you  
4 know, whether it needs to be cleaned up, which triggered  
5 the Exponent report investigation. And I recall getting  
6 input from Southwest Marine/BAE, specifically  
7 Shaun Halvax, indicating that for starters they have  
8 only been there since -- I believe it's 1979. So there  
9 were other tenants, in addition, there's other  
10 dischargers in the neighborhood or potential  
11 dischargers.

12           So our process is to try to expand any cleanup  
13 and abatement order to include any PRPs or even better  
14 yet, before we go to the stage of issuing a cleanup and  
15 abatement order, we issue an investigative order  
16 requesting a technical report to potential responsible  
17 parties, not parties that we already decided are  
18 responsible parties, but potential responsible parties  
19 who we suspect may have discharge waste contributing to  
20 a condition of pollution and nuisance.

21           So it was not based on just, as your question  
22 implies, some blind notion that, gee, we better drag  
23 some more people into this. There was a lot of good  
24 reason to suspect that a major power plant was in  
25 operation for 50 years, plus or minus, might have had

1 some discharges into the neighborhood.

2 Q. And so you would agree that it would be wholly  
3 inappropriate to add somebody as a PRP just because two  
4 of the major PRPs wanted them involved?

5 A. The question leaves out a step. I believe the  
6 sequence of events was we sent an investigative order to  
7 all of these PRPs, or the ones we could readily  
8 identify, and asked them to submit a technical report,  
9 that we were going to use that information to make a  
10 decision about whether to name them in a cleanup and  
11 abatement order.

12 Q. Did you conclude from the information you got  
13 back as a result of that order that the contamination in  
14 the base sediment couldn't be explained solely by  
15 discharges from the BAE San Diego Marine Construction  
16 Campbell shipyard site?

17 A. That isn't the way my thought process went.

18 Q. I appreciate that.

19 So the answer is no, you didn't. You describe  
20 that you sent out an investigative order, got a bunch of  
21 stuff back from their consultant. And my question was:  
22 Because you're implying that that -- it was that data  
23 product that lead you to name SDG&E and I'm just -- I  
24 inferred, then, that you must have concluded that what  
25 you got back from Exponent necessarily lead you to

1 believe that the contamination present could not be  
2 explained by discharges solely from -- and I'm now up at  
3 the northern shipyard -- BAE, Campbell or San Diego  
4 Marine Construction? Or haven't you gotten to that  
5 point yet?

6 Long question. Do you want me to --

7 A. Yeah, I'm waiting for the question mark.

8 Q. Question mark.

9 Did you conclude, based upon the results of the  
10 investigative order issued to the shipyards -- I'm  
11 talking -- can we call them northern shipyard? Does  
12 that make -- I'm excluding NASSCO for most of my  
13 questions. I'm really interested in the ones just south  
14 of the SDG&E facility.

15 A. I'm comfortable with you referring to that as  
16 BAE or Southwest Marine.

17 Q. By BAE, I mean BAE and all of its predecessors.

18 A. I'm comfortable with that.

19 Q. So when you got the technical data back from  
20 the investigative order, did you conclude that that data  
21 excluded the possibility that the sediment contamination  
22 you were concerned with had to have been contributed to  
23 by somebody other than the BAE shipyard?

24 A. That doesn't describe my process at all. So  
25 the answer would be no.



1 Q. Okay. Have you, at any time, ever reached -- I  
2 mean, you described at some length your expertise,  
3 applying that expertise, have you, at any time,  
4 concluded on the basis you reviewed in the whole course  
5 of this that the shipyard, BAE shipyard necessarily  
6 could not have been the sole contributor to the  
7 contamination or the condition of pollution or nuisance?

8 A. I'm having trouble with the way you are using  
9 the phrase, based on the data, concluding that so-and-so  
10 couldn't have been the sole contributor. Because that's  
11 not how I go looking about for PRPs.

12 I approach it -- if you would like me to  
13 explain.

14 Q. Please do.

15 A. I approach it with the idea of what entities  
16 could be a contributor not going backward from the way  
17 you are describing it, but I look in the neighborhood of  
18 a known contaminated site and try to identify all of the  
19 potential responsible parties, whether they have  
20 contributed, you know, 1 percent, 10 percent,  
21 90 percent, you know. That's not our job to allocate,  
22 but I'm just saying if there is an entity in the  
23 vicinity that -- and a completed or potentially  
24 completed pathway to the contaminated -- to the  
25 contamination, we consider them for issuing an

1 investigative order or a cleanup and abatement order.

2 Q. The -- are you the person most knowledgeable  
3 about that judgment insofar as SDG&E is concerned?

4 A. I was -- participated in that judgment. No, I  
5 don't --

6 Q. And I don't mean to use that person most  
7 knowledgeable as a legal phrase, Mr. Carlisle. I mean  
8 to use it in a sense of in which most lay people  
9 understand it as somebody that knows the most. And you  
10 have worked with this group for ten years, you're  
11 probably the one in the best position to tell us who  
12 would, amongst your group, be the individual that knows  
13 the most about Section 9 of the DTR.

14 A. Given the collaborative process, I think it  
15 would be difficult to pin down any one person. I was  
16 involved in this section. David Barker, the staff. And  
17 I --

18 Q. I appreciate that.

19 A. I'm having -- I have a little trouble with you  
20 using the "person most knowledgeable" phrase. I  
21 understand you're an attorney, but you are telling me  
22 not to use the legal definition of a legal term?

23 Q. Correct.

24 A. By the way, I had not heard the term "person  
25 most knowledgeable" or "/PMK" until two weeks --

1 Q. Let me rephrase the question.

2 A. May I finish my answer?

3 Q. Please do.

4 THE WITNESS: Could you read back the beginning  
5 of my answer so I --

6 BY MR. BENSHOOF:

7 Q. You said you hadn't heard about it. And I  
8 don't want to use that phrase, Mr. Carlisle, because we  
9 are fencing over that and I don't want to do that --

10 A. You're not letting my finish my answer.

11 Q. -- wasting all of our time.

12 THE WITNESS: Could you read back the beginning  
13 of my answer before he interrupted.

14 (The record was read.)

15 THE WITNESS: Until two weeks ago.

16 Thank you.

17 BY MR. BENSHOOF:

18 Q. Okay. Let's just put the delete key on that  
19 phrase. And let's talk about it in the lay sense of  
20 people that worked together, and I'm asking you about  
21 that, you have worked with other people. Many have been  
22 named.

23 Who do you think knows the most about what went  
24 into Section 9 of the DTR, of the whole group? If you  
25 had to rank the whole group that you have worked with

1 for ten years, who would you say knows the most about  
2 the content of Section 9 of the DTR?

3 A. I don't know. I can't rank them.

4 Q. Okay.

5 A. It's a collaborative process.

6 Q. If somebody that you really wanted to give the  
7 answer to asked you that question, where would you go?

8 A. You don't want me to guess, so I'm not going to  
9 guess on a ranking.

10 Q. Mr. Brown gave you an example of the difference  
11 between a guess and an informed estimate of something.

12 I had nothing to do for ten years with what you  
13 had to do with. So if I were to have to try to rank  
14 people, it would be a complete guess. But you're  
15 obviously a very talented individual who spent a lot of  
16 time on this project for ten years. That gives you,  
17 Mr. Carlisle, a foundation upon which to make what the  
18 law calls an informed estimate.

19 Understood? The difference between a guess --  
20 me guessing who was in charge and who knew the most  
21 versus you?

22 A. I understand the concept. I don't understand  
23 exactly where that line is. Because you are -- you are  
24 implying that is a bright line.

25 Q. No. No.

1 A. Well, there has to be a line between --

2 Q. You have been around too many lawyers.

3 What I'm trying to --

4 A. I know that.

5 Q. And we all sympathize with that.

6 Because I'm trying to take it out of that  
7 context, Mr. Carlisle, and yet we are fencing over a  
8 legal concept. And I am trying to take it out of that  
9 and get you to respond as an ordinary person in an  
10 office who has worked with a group of people for ten  
11 years. And if your answer is you have no idea who knows  
12 the most about the Section 9 the DTR, then that is your  
13 answer. But I want to make sure you're answering it as  
14 a lay witness and not as a jailhouse lawyer.

15 A. So trying to help you out and give you a more  
16 definitive answer that you are looking for, I would rank  
17 David Barker No. 1 and I don't know who No. 2.

18 Q. We are talking about Section 9?

19 A. Yes. That's the --

20 Q. San Diego Gas & Electric?

21 A. SDG&E section, yes.

22 Q. So if we wanted to find out from the person  
23 that knows the most why the different findings reflected  
24 in Section 9 were arrived at, we would have to take the  
25 deposition of Mr. Barker?

1 A. That's --

2 Q. As far as you're concerned?

3 A. That's my estimation.

4 Q. He will thank you for that, by the way.

5 Sorry, that's facetious.

6 And you wouldn't rank yourself second?

7 A. Let me explain why I ranked Barker No. 1,  
8 because he tended to have final review as the  
9 most-senior person on the project with the longest  
10 history on the project with the most knowledgeable about  
11 water quality regulations, because he has been there  
12 30 -- 30 years, plus or minus. I have been there 11  
13 years in terms of applying the water code, for example.  
14 So -- and again, he was the senior final reviewer of  
15 most of the sections in the DTR, or a lot of the  
16 sections in the DTR.

17 Potentially, I could be ranked No. 2. We had  
18 attorneys involved, one or more, that also had input on  
19 allegations naming parties. You know, as you know from  
20 my background --

21 Q. Sure.

22 A. -- I'm a technical type, not a -- interpreting  
23 of the water code and our authority to name parties.

24 Q. Was there any -- in the course of developing  
25 the DTR and specifically the sections on San Diego Gas &

1 Electric and the BAE shipyard operators, did you have  
2 any type of fact check verification process in place  
3 where factual statements or assertions were subject to  
4 some kind of review before they were put in the  
5 document?

6 A. That's a pretty general question. Do you have  
7 any specific statements?

8 Q. Yeah. Could you turn to Page 9-4 of the DTR.

9 There is a statement in the beginning of the  
10 third paragraph. It's a factual statement. It states,  
11 "The evidence of PCB discharges is of particular concern  
12 as PCB sediment concentration levels in the vicinity of  
13 the MS4 storm drain SW4 are the highest in the shipyard  
14 sediment site."

15 That's one of many factual statements of that  
16 sort. Whose responsibility was the accuracy of that  
17 statement? Anybody?

18 A. Well, it would be the team. In terms of an  
19 individual, it might have been me at the time or  
20 David Barker.

21 Q. You're not sure. And I appreciate,  
22 Mr. Carlisle, that you worked on this section in  
23 2005/2006, in that time frame; am I correct?

24 A. Probably.

25 Q. So it's taking you back a little time. And by

1 all means, because it is, feel free to answer just as  
2 you have or if you need time to think about an answer,  
3 this isn't a beat-the-clock exercise. We are going back  
4 in time and in fairness to you, you need to be able to  
5 think about the question. Okay? I mean, I want you to  
6 know that's part of the ground rule because when you  
7 answer, it's like you have thought about the question  
8 and I'm going to assume that you know what you are  
9 saying. But if you are at all hesitant, just say, you  
10 know, I am not sure. I need to think more about that or  
11 whatever the facts are.

12           But that particular statement we think is  
13 inaccurate and we'll go through it. But I'm wondering  
14 who was responsible for it. And you say it could have  
15 been yourself, it could have been Mr. Barker, you're not  
16 sure?

17       A.    And it could have been someone else.

18       Q.    Okay. But when a statement -- your job was to  
19 review the document. Am I correct?

20       A.    Portions.

21       Q.    Okay. Did you have a responsibility to review  
22 Section 9?

23       A.    Portions.

24       Q.    I have a feeling you're going to say any  
25 question I ask you didn't have a responsibility to



1 review that section.

2           How many portions of Section 9 did you have a  
3 responsibility to review?

4       A.    I don't have that specific of a recollection on  
5 stuff I did -- what are we talking -- seven years ago,  
6 1,000-page document not counting appendices.

7       Q.    Okay. So you don't know if you had a  
8 responsibility to review the accuracy of representations  
9 regarding evidence of PCB discharges?

10      A.    If you wish, potentially, this paragraph refers  
11 to the Exponent data, specifically the samples in the  
12 vicinity of outfall SW49. So we could go look at the  
13 Exponent data for the stations.

14      Q.    Yeah, we can -- fair enough. I mean, I raise  
15 it. We can show you why we're concerned with the  
16 accuracy of that statement together with several others  
17 in Section 9. But let's go to the Exponent data and  
18 we'll mark this as 1004.

19           (Exhibit No. 1004 marked for identification.)

20 BY MR. BENSHOOF:

21      Q.    It's an excerpt, Mr. Carlisle, if you recognize  
22 the full Exponent report is rather lengthy.

23           MR. DART: Counsel, do you have other copies?

24           MR. BENSHOOF: I'm sorry, I don't.

25           MR. CARRIGAN: Just let the record reflect that

1 this is an incomplete copy of Volume I of the document.

2 MR. BENSHOOF: We can bring the full document  
3 in at the appropriate time if you have concerns with it,  
4 Counsel, but, yeah, I --

5 MR. CARRIGAN: Yeah.

6 MR. BENSHOOF: All of my exhibits are going to  
7 be excerpts because the documents are so bulky that  
8 there is no other way to physically handle them.

9 BY MR. BENSHOOF:

10 Q. And before you criticize the excerpt that I  
11 identified, Mr. Carlisle, let me ask you whether SAR  
12 Page 105787 illustrates the -- well, back up.

13 As far as the Water Board was concerned, the  
14 identification of the sampling points in the vicinity of  
15 outfall SW4 are illustrated on Table 9-6, Page 9-15 of  
16 the DTR. Let's go to that first. And those sampling  
17 points that the Water Board considered to be in the  
18 vicinity of SW4 outfall or SW20 through SW25, correct,  
19 as illustrated in Table 9-6?

20 A. Yes.

21 Q. And those sampling points, in turn, are  
22 displayed on Figure 2-4 of the Exponent exhibit at SAR  
23 Page 105787; is that correct?

24 A. On Figure 2-4, I can't seem to locate the  
25 outfall.

1 Q. I can't either, but do you know from your --  
2 even though it's not marked, I take it you know from  
3 your participation in putting this data together that  
4 it's in the vicinity of sampling points SW20 through 25.  
5 I mean, that's what your report table says, correct?

6 A. I'll agree.

7 Q. Now, let's then turn to the data from those  
8 sampling points, but just preliminarily, the factual  
9 statement that I have concern about in the DTR that you  
10 were -- had some responsibility for is that PCB  
11 discharge or the evidence is that PCB sediment  
12 concentration levels in those five -- at those five  
13 sampling points are the highest of the sediment site,  
14 correct?

15 A. Yes.

16 Q. Now, I think you will agree with me when you  
17 have a chance to look at it that that's an incorrect  
18 assertion, and I would ask you to look at what we'll  
19 mark as 1005, again, excerpts from Volume II of the  
20 Exponent report and we will have that marked and I would  
21 like you to turn to SAR Page 651 when we do.

22 (Exhibit No. 1005 marked for identification.)

23 THE WITNESS: What page?

24 BY MR. MR. BENSHOOF:

25 Q. The data is on Table B1-7. And I want you to

1 look at, if you would, sir, Page 10651.

2           Would you agree that that reflects the PCB data  
3 for Sampling Points -- Sampling Points 1 through  
4 extending to the next page, 36?

5       A.    It's not the complete data.

6       Q.    It shows -- excuse me, it's not what?

7       A.    The complete data. There is also core data.

8       Q.    Correct. We can turn to that later. This is  
9 the surface sediment data, correct?

10      A.    Probably.

11      Q.    Now, looking at that, would you agree that the  
12 highest concentration of total Aorchlor is -- for the  
13 Sampling Points 20 through 25 is 3400 parts per -- these  
14 are in parts per billion?

15      A.    Would you repeat that?

16      Q.    Do you see that the highest concentration for  
17 the sampling points in the vicinity of SW4, which is  
18 referred to in your statement in D9, is 3400 parts per  
19 billion at SW21?

20      A.    Yes.

21      Q.    And it's clear that the statement in the DTR is  
22 inaccurate, is it not, sir, to you in looking at other  
23 sampling points. Clearly the higher concentrations are  
24 elsewhere. You agree with me on that?

25      A.    It appears that you are correct based on the

1 surface data and --

2 Q. Do you think it's different if we looked at the  
3 core?

4 A. And --

5 MR. CARRIGAN: Okay. Just -- Ward, if you just  
6 let him finish.

7 MR. BENSHOOF: Okay.

8 MR. CARRIGAN: I recognize that -- please just  
9 let him finish.

10 THE WITNESS: And perhaps if the draft DTR gets  
11 revised, that paragraph on Page 9-4 it should say are  
12 some of the highest.

13 BY MR. BENSHOOF:

14 Q. Well, that might make a difference in terms of  
15 the implication of the finding, correct?

16 I mean, the point of this was to try to link  
17 SDG&E with the PCB concentrations, correct? That was  
18 the whole point?

19 A. The point was to show there are high PCB  
20 concentrations in the vicinity of SW4.

21 Q. Now, you said if it gets revised. Are your  
22 plans to change the DTR?

23 A. Draft reports always get revised, because the  
24 board doesn't act or finalize, you know, it doesn't make  
25 their final decision with a draft.

1 Q. Would you agree it appears that this report  
2 should be revised to correctly reflect the PCB sediment  
3 concentration data?

4 A. Yes.

5 Q. Now I don't want to leave any implication that  
6 the core data is different, Mr. Carlisle, so if you  
7 would look at SAR 106107, and I know you didn't mean to  
8 say that. But let's just make sure that we don't leave  
9 here thinking that the statement could have been at all  
10 accurate.

11 Looking at the core data, I take it you see  
12 that a correct statement would be that the PCB  
13 concentrations at that location, SW4, are much less  
14 than, for example, SW4 or SW8?

15 A. And you are pointing to Stations SW4, SW8, that  
16 are closer to SDG&E's cooling water outfall.

17 Q. And also a good number of other shipyard  
18 operations, correct?

19 A. I don't know what you mean by good number of  
20 shipyard operations. The whole site's got a good number  
21 of shipyard operations. I don't know one portion of the  
22 site versus another that you would characterize as  
23 having more shipyard operations.

24 Q. Because the whole site had ample shipyard  
25 operations that contributed PCB contamination to the

1 bay, correct?

2 A. No, that's not what I'm saying.

3 Q. Oh, you're not. What were you saying?

4 A. I would just explaining my understanding of the  
5 site has a lot of shipyard operations. I wasn't adding  
6 the term "PCBs" to that statement.

7 Q. And as a matter of fact, I went through  
8 Sections 3, 5 and 6, Mr. Carlisle, and I counted one  
9 time in each section that the word "PCB" was even  
10 referred to.

11 And my question is: Were you unaware when you  
12 were putting this together that shipyard operations of  
13 BAE and their predecessors contributed substantial PCB  
14 contamination to the bay sediments?

15 MR. DART: Objection. Assumes facts, lacks  
16 foundation.

17 THE WITNESS: I could answer that if you would  
18 show me some facts to that effect. But let me back  
19 up --

20 BY MR. BENSHOOF:

21 Q. No, I'm not --

22 A. Can I finish --

23 Q. You answered it.

24 A. -- or not?

25 No, how does this work? I thought I get to

1 finish my answer.

2 Q. If you are answering the question.

3 MR. CARRIGAN: I think you're consistent --

4 THE WITNESS: You're not --

5 MR. CARRIGAN: Hold on a second.

6 I think you're consistently, Mr. Benshoof,  
7 interrupting the witness' response. I understand that  
8 may be your technique or you may think that is  
9 persuasive. But please allow the witness to finish.

10 MR. BENSHOOF: I'm duly admonished.

11 THE WITNESS: Could you read back up to my word  
12 "and," please.

13 BY MR. BENSHOOF:

14 Q. You wanted me to show you some facts and --

15 MR. CARRIGAN: Okay. Hold on. So the previous  
16 question is withdrawn? Is that it? I mean --

17 MR. BENSHOOF: I'm going to --

18 MR. CARRIGAN: You're not letting the witness  
19 respond.

20 MR. BENSHOOF: The witness' last answer was I  
21 could if you showed me some facts. Is that what you  
22 want me to do.

23 THE WITNESS: No, my last question ended in the  
24 word "and."

25 MR. BENSHOOF: Okay. Sorry.



1 THE WITNESS: My last answer ended in the word  
2 "and."

3 (The record was read.)

4 THE WITNESS: So I was trying to back up to  
5 point out that what I -- or reiterate what I already  
6 answered and that is you're describing a process that I  
7 don't follow, we typically don't follow as opposed to  
8 the process we do follow and find out -- identify  
9 potential responsible parties based on is there a  
10 likelihood did they contribute anything, not the way you  
11 are describing that we shouldn't identify additional  
12 responsible parties because the ones we already have  
13 identified, i.e., the shipyards may have discharged  
14 PCBs.

15 I guess what I'm trying to describe is kind of  
16 the joint and several liability CERCLA concept of, you  
17 know, in for a penny, in for a dollar.

18 And thank you for letting me finish.

19 BY MR. BENSHOOF:

20 Q. Pardon me, yeah, I shouldn't. Sometimes I rush  
21 my questions, too. And that's not -- that's a bad  
22 habit. So don't -- Mr. Carrigan is quite right, I need  
23 to slow down to make sure you have time to fully answer.

24 Did you instruct your staff to comprehensively  
25 evaluate the various sources of shipyard operations that

1 could lead to PCB contamination of the bay?

2 A. No.

3 Q. Why not?

4 A. Because we had sufficient evidence that the  
5 shipyards were dischargers contributing to the  
6 contamination, therefore, we had sufficient evidence to  
7 name them in the cleanup and abatement order.

8 Q. Is it your testimony you weren't trying to link  
9 SDG&E to the cleanup and abatement order through PCB  
10 contamination in the sediment? I mean, that's what you  
11 were trying to do in Section 9, correct?

12 A. That's one aspect.

13 Q. But I take it, Mr. Carlisle, it would make a  
14 difference to you, would it not, who discharged the PCB  
15 concentrations that are present in the bay sediment?

16 A. No, what made a difference to me was could  
17 SDG&E have had PCBs that may have been discharges and  
18 discharged to the land where they may have been further  
19 discharged to waters of the State to the site. And that  
20 answer was clearly yes.

21 Q. We'll get to that. But I'm trying to  
22 understand why the word "PCB" occurs once and once only  
23 in Sections 3, 5 and 6. And it looks, from my vantage  
24 point, Mr. Carlisle, that it was ignored. But I take it  
25 that's not what you are saying?

1 A. Correct.

2 Q. So you are saying that you did want your staff  
3 to comprehensively investigate the degree to which the  
4 shipyards were responsible for the PCB contamination  
5 found in the bay sediments?

6 A. No, I didn't say that either. I was trying to  
7 explain my process of identifying PIPs. Once we have  
8 them in, meaning NASSCO and BAE identified as  
9 dischargers to participate in a cleanup and abatement  
10 order, there was no need to dig further and get to the  
11 specifics, because as you know, probably better than me,  
12 the regional board does not determine allocation and  
13 apportion responsibility.

14 Q. You were seeking to develop an explanation for  
15 the contamination that was -- had been detected in the  
16 bay sediment, correct? What was it caused by? Was that  
17 of concern to you in putting this together?

18 A. We were seeking to identify potential  
19 responsible parties.

20 Q. Now -- but are you saying that it didn't make  
21 any difference to that determination whether the  
22 concentrations present of PCBs present in the bay  
23 sediment or any other chemical of concern could have  
24 been solely due to shipyard operations?

25 THE WITNESS: Would you please read back the

1 question.

2 (The record was read.)

3 THE WITNESS: It would have made a difference  
4 if there was evidence that the PCBs in the sediment were  
5 solely attributed to the shipyards. But I didn't see  
6 any evidence to that effect.

7 BY MR. BENSHOOF:

8 Q. And yet you agree that no comprehensive  
9 evaluation of shipyard contributions to PCB  
10 contamination was ever made?

11 A. Yes.

12 Q. And do you agree that -- and we can go through  
13 all of the sections, but in Sections 3, 5 and 6, nowhere  
14 does the Water Board ever describe that any chemical  
15 used by the shipyards or any material used by the  
16 shipyards contained PCB constituents?

17 MR. DART: Objection, misstates the documents.

18 MR. CARRIGAN: Join.

19 MR. DART: Document speaks for itself.

20 BY MR. BENSHOOF:

21 Q. Well, would you consider it, knowing -- I mean,  
22 you have described your expertise in the field. As an  
23 expert in the field, would you consider it a major fault  
24 of any assessment, and you've done lots of environmental  
25 assessments, would you consider it to be a major fault

1 to fail to identify a significant chemical of concern  
2 used in a particular business operations at multiple  
3 points of that business?

4 A. That sounds like a theoretical, and so I think  
5 the answer would be no. Depends upon the investigation  
6 and the situation.

7 Q. Okay. Let's take it out of the theoretical and  
8 into the real.

9 Could you turn to Page 3 -- let's just take 3.4  
10 of the DTR. You are talking about BAE's operations but  
11 the same description of operations is contained in other  
12 portions of the document for the other shipyards.

13 Just look through that. Do you see PCB  
14 mentioned a single time as a chemical used in any of  
15 these many applications of the shipyard?

16 A. No, but it could be inferred.

17 Q. You are an expert in, amongst other things,  
18 conducting Phase 1s?

19 A. Yes.

20 Q. And isn't it a minimal criteria in Phase 1s to  
21 accurately describe the chemical usage of the business  
22 being examined?

23 A. Depending upon the objective, but yes,  
24 typically.

25 Q. And if you were -- you know from your

1 background that beginning in the late 1920s, shipyards  
2 used PCBs in any number of different operations,  
3 correct?

4 A. Yes.

5 Q. And you know from your background that ships  
6 indeed beginning in the 1920s had paints and gaskets and  
7 lubricating oils, cutting oils, hydraulic fluids, all  
8 manner of facilities and equipment that contained PCBs,  
9 correct?

10 A. Yes.

11 Q. Honestly, Mr. Carlisle, wouldn't you give this  
12 an F if you were grading it for purposes of being the  
13 sufficiency of Phase 1 and accurately describing the  
14 chemicals used by a facility being evaluated --

15 MR. CARRIGAN: Objection.

16 BY MR. BENSHOOF:

17 Q. -- to not mention -- excuse me.

18 MR. CARRIGAN: Go ahead and finish, Ward.

19 MR. BENSHOOF: Yeah.

20 BY MR. BENSHOOF:

21 Q. -- to not mention a significant chemical  
22 constituent that was not only a significant chemical  
23 constituent used throughout the shipyards, but one found  
24 in significant concentrations adjacent to the shipyard?

25 MR. CARRIGAN: Objection. Document speaks for

1 itself and it is not a Phase 1 report.

2 MR. DART: Join. Argumentative, document  
3 speaks for itself.

4 BY MR. BENSHOOF:

5 Q. Do you not regard this, sir -- applying the  
6 standards of your expertise, do you not regard this  
7 description of materials used by BAE as totally  
8 inadequate for failing to mention, even mention once, a  
9 significant usage of PCBs?

10 MR. DART: Objection, the same.

11 MR. CARRIGAN: Yeah, same objections and I  
12 think also incomplete hypothetical.

13 MR. DART: The term was mentioned multiple  
14 times. Where are we going to this? Should we point to  
15 the pages where it's mentioned?

16 MS. TRACY: Could you read back the objection  
17 for counsel for BAE, please.

18 MR. CARRIGAN: Do we have a question pending?

19 MS. TRACY: Yes, we did have a question pending  
20 and he started an objection and you started to answer  
21 and I wanted to read --

22 THE WITNESS: He actually said another question  
23 before I could answer the first question.

24 MS. TRACY: Complete havoc.

25 I'm sorry, could you please read back the

1 objection.

2 (The record was read.)

3 THE WITNESS: If you like, we could read back  
4 the question and I'll --

5 BY MR. BENSHOOF:

6 Q. I'll state it again.

7 MR. CARRIGAN: I think we have a question  
8 pending.

9 BY MR. BENSHOOF:

10 Q. Applying the professional standards that you  
11 followed before joining the Water Board for an adequate  
12 Phase 1 description of a facilities operations and  
13 chemicals used, wouldn't you regard this as inadequate?

14 And by "this," I mean Page 3.4 description of  
15 the BAE chemicals.

16 MR. CARRIGAN: Just the page, not the section?

17 BY MR. BENSHOOF:

18 Q. The description's on the page.

19 A. You may notice Page 3.4 doesn't mention a lot  
20 of chemicals at all. It is more about processes. As a  
21 matter of fact, the lead-up into that page says the  
22 indust- -- on the previous page, 3-3, the industrial  
23 processes, not the chemicals, processes at the BAE  
24 systems facility include the following, and I'll add the  
25 word "processes."



1           And the third-to-the-last bullet, electrical  
2 repair, maintenance installation, the repair,  
3 maintenance, installation of electrical systems involve  
4 the use of numerous hazardous materials including, and I  
5 will add the words "but not limited to," it just says  
6 including trichlorethylene, trichloroethane, methylene  
7 chloride, and acetone.

8           So anybody with experience knows that you could  
9 easily add the conclusion, the knowledge, that  
10 electrical components of certain vintage likely had  
11 PCBs.

12       Q.    Did you work -- oh, excuse me.

13       A.    Maybe I can even help you out with my answer a  
14 little more is I'm not denying that it's extremely  
15 likely there are PCBs at various locations at BAE and as  
16 I explained, we didn't think that was necessary to  
17 investigate because, again, we felt under the water code  
18 we had sufficient evidence to name BAE as a discharger  
19 in this action.

20       Q.    Were you concerned that had a fair and full  
21 evaluation of PCBs been done that that might exonerate  
22 SDG&E?

23       A.    No.

24       Q.    Was a reason that PCBs were never associated  
25 with any of the materials used because you were

1 concerned that that would exonerate SDG&E?

2 MR. CARRIGAN: Misstates the document,  
3 misstates the DTR and the cleanup order.

4 BY MR. MR. BENSHOOF:

5 Q. Let me ask another --

6 MR. DART: Join.

7 BY MR. BENSHOOF:

8 Q. -- related question.

9 A. Can I answer that question first?

10 Q. I'm going to withdraw it.

11 A. You're confusing me.

12 Q. It's objected to.

13 A. You ask questions and they get objected to and  
14 with all of the previous attorneys, then I go ahead and  
15 answer the question. Instead, you're firing question  
16 after question and now we gotta read 'em back until I  
17 know which question we're on. But yeah, if you clearly  
18 withdraw it, that will help me.

19 Q. I will ask it again.

20 Was the reason that PCBs were never associated  
21 with any of the shipyard operations your concern that  
22 that would exonerate SDG&E?

23 MR. CARRIGAN: Misstates facts in the record,  
24 misstates the document.

25 THE WITNESS: No, I wasn't concerned.

1 BY MR. BENSHOOF:

2 Q. Are you aware, as a result of all of your  
3 expertise, Mr. Carlisle, that up to its ban in 1979 it  
4 was typical for paints, and marine paints in particular,  
5 to contain substantial concentrations of PCBs?

6 A. I'm a little troubled with your term, undefined  
7 term "substantial," but I am aware that marine coatings  
8 and a lot of other materials contain PCBs.

9 Q. Did you ever look at any EPA data that talked  
10 about the concentrations?

11 A. I may have. I don't recall the numbers, but  
12 again, I'll agree that a lot of facilities, especially  
13 in the vicinity of waterways, the ocean, the bays,  
14 contained PCBs.

15 Q. And you also are aware that various studies  
16 have found that paint chips from shipyard blasting  
17 operations as well as overspray in the process of  
18 painting marine hulls contributed substantially to PCB  
19 impacts to sediments?

20 A. I don't have specific knowledge about the paint  
21 chips part of your question.

22 Q. Okay. We'll get to that.

23 How about the overspray paints in general  
24 leading to PCB contamination from shipyards?

25 A. I'm not personally familiar with that -- any

1 studies along those lines either.

2 Q. Did you ever ask your staff to investigate  
3 whether or not -- you were aware that the shipyard  
4 operations involved very substantial sandblasting and  
5 painting operations over the years?

6 A. Yes.

7 Q. And these are operations that went on for what,  
8 100 years, correct?

9 A. I don't know if it was 100 years.

10 Q. 97?

11 A. But approaching that.

12 Q. I will take 97.

13 Okay. So there are operations in effect for 97  
14 years. You're aware that paints have PCBs and that they  
15 do a lot of painting and sandblasting that scattered  
16 paint around the facility, correct?

17 MR. DART: Objection, vague as to time.

18 BY MR. MR. BENSHOOF:

19 Q. 97 years.

20 A. I would have to run the numbers here. When  
21 were PCBs first used in paints.

22 Q. Late 1920s. So for 50 years.

23 A. Seemed like yesterday. That was 97 years ago,  
24 1920?

25 Q. 1920 was -- or 1914 was when the shipyards

1 began operation. 1929, late '20s, is when PCBs began be  
2 to used. Banned in 1979. So we are talking 50 years.

3 A. So now we are down to 50 years.

4 Q. Right.

5 A. So what was the question?

6 Q. You didn't look at it either way, right?

7 MR. CARRIGAN: Vague.

8 THE WITNESS: No, and I explained why.

9 BY MR. BENSHOOF:

10 Q. Now, is there some reason why the DTR does not  
11 even mention that PCBs are in marine paint?

12 A. My reason would be it doesn't need to. It's --

13 Q. Everybody understands it?

14 A. Yes.

15 Q. Look at Page 5.5. At this point, we are  
16 talking about the description for the Star & Crescent or  
17 San Diego Marine Construction Company. And this is the  
18 facility that operated there between 1914 and 1972.

19 And again, applying the standards that you  
20 followed in preparing Phase 1s, wouldn't you regard the  
21 description here of materials used by Star & Crescent as  
22 fundamentally deficient for never mentioning that these  
23 materials included one of the principal contaminants in  
24 the base sediment, PCBs?

25 MR. CARRIGAN: Misstates the document,

1 misstates facts in evidence and this is not a Phase 1.

2 MR. BENSHOOF: If there is a mention of PCB,  
3 Mr. Carrigan, I will stand corrected.

4 MR. CARRIGAN: You have already represented on  
5 the record there isn't mention of PCBs in this section.

6 MR. BENSHOOF: I said there isn't and you said  
7 I mischaracterized the document.

8 MR. CARRIGAN: You said there was a mention of  
9 PCB, you characterized it as a single mention.

10 MR. BENSHOOF: No, no, no. The word "PCB"  
11 shows up later, it is never associated with materials  
12 used.

13 THE WITNESS: Let me make sure I understand the  
14 question now. You're saying if this was a Phase 1,  
15 would it be deficient?

16 BY MR. BENSHOOF:

17 Q. I'm saying applying the standard. I don't want  
18 to get into Mr. Carrigan's trap of you only answering  
19 that this is a document, which it isn't.

20 You described 25 years of expertise that you  
21 have and a lot of that expertise was in putting together  
22 Phase 1s. You further testified that important in  
23 Phase 1s is an accurate description of chemical usage.  
24 Otherwise it's meaningless.

25 Fair enough?

1 MR. CARRIGAN: Same objections.

2 THE WITNESS: I'm confused by the question.

3 Because I thought you were asking me if this was a  
4 Phase 1, would it be deficient.

5 BY MR. BENSHOOF:

6 Q. I said applying the standards that you followed  
7 in measuring adequacy of a Phase 1, wouldn't this  
8 description of materials used by the shipyard be  
9 deficient?

10 A. And can you explain why that's any different  
11 than asking me if this was a Phase 1, would it be  
12 deficient? I'm just simplifying your question so it's  
13 something I can understand.

14 Q. Look, I mean if you were an attorney, we could  
15 argue all day, but you're a witness. And so you have  
16 got to answer questions.

17 A. I'm asking for clarification on the question.  
18 Is that okay as a witness?

19 Q. Sure. What don't you understand?

20 A. I don't understand why my understanding is  
21 incorrect that you are asking me if this was a Phase 1,  
22 would it be insufficient.

23 Q. Applying the standards -- listen to the  
24 question -- applying the standards that you used in  
25 preparing Phase 1s, wouldn't this description of

1 materials used by the shipyard be deficient for never  
2 once mentioning PCBs?

3 MR. CARRIGAN: Same objections.

4 THE WITNESS: If that was the only information  
5 that was in the Phase 1, yes.

6 BY MR. BENSHOOF:

7 Q. You see that under the description of paints,  
8 you recognize that that's deficient, correct?

9 A. Deficient with what?

10 MR. CARRIGAN: Same objections.

11 BY MR. BENSHOOF:

12 Q. For not mentioning PCB.

13 A. It's taken out of context. You mean if this  
14 was lifted out of here and put into a Phase 1 and that's  
15 all the discussion that was in the Phase 1, would it be  
16 deficient? And the answer is yes.

17 Q. Just getting to the -- cutting to the chase,  
18 the fact that PCBs are not mentioned at all in terms of  
19 the materials used by the shipyards, that doesn't  
20 concern you at all in terms of the adequacy of the DTR?

21 MR. CARRIGAN: Misstates the document,  
22 misstates facts in evidence.

23 MR. DART: Join.

24 THE WITNESS: This section we are talking about  
25 is titled "Materials Used by Star & Crescent Company,"



1 not chemicals. So it wasn't intended to be a complete  
2 description of all the chemicals.

3 Similarly, the next section is activities not  
4 chemicals. So you are asking me about specific chemical  
5 in a section that's not intended to list all the  
6 possible chemicals.

7 BY MR. BENSHOOF:

8 Q. What was the intent of Section 4.4.4 on the  
9 next page?

10 MR. CARRIGAN: 5.4.4.

11 BY MR. BENSHOOF:

12 Q. Excuse me, yeah, waste generated by Star &  
13 Crescent.

14 A. According to the lead-in, it says it's  
15 industrial activities. And categories of waste.

16 MR. CARRIGAN: Counsel, you --

17 BY MR. BENSHOOF:

18 Q. Categories of waste.

19 MR. CARRIGAN: Counsel, you have had the  
20 witness for an hour on the record, is it near time for a  
21 break?

22 MR. BENSHOOF: Sure, let me just wrap it up.

23 BY MR. BENSHOOF:

24 Q. Waste generated by Star & Crescent. We're on  
25 that section, correct?

1 A. Yes.

2 Q. And you recognize that one of the wastes that  
3 shipyards created in quantity were PCBs, correct?

4 A. One of the wastes could be oils and oils  
5 contain PCBs. So maybe we are haggling over specificity  
6 in this section.

7 Q. Yeah, and that does get to my question. Why  
8 weren't PCBs mentioned in this section?

9 A. It wasn't necessary to mention.

10 Q. Okay. As a professional, you don't regard it  
11 as deficient in describing waste generated by a shipyard  
12 to not mention PCBs. I take it that's your answer?

13 MR. CARRIGAN: Asked and answered.

14 THE WITNESS: Because I explained the intent  
15 was not to identify all of the chemicals. The intent of  
16 this section is to name Star & Crescent as a discharger,  
17 and again, there was sufficient evidence to name them as  
18 a discharger in our minds, with or without the citing of  
19 all of the specific chemicals. We didn't mention every  
20 metal that could be in waste generated by shipyard  
21 activities. We didn't generate every polynuclear  
22 aromatic hydrocarbon that could have been generated,  
23 et cetera.

24 BY MR. BENSHOOF:

25 Q. And the omission of PCB descriptions in the

1 shipyard sections was -- your testimony was not meant to  
2 in any way provide evidence that might exonerate SDG&E?

3 A. Absolutely not. And let me explain, if I may.

4 Q. No, you have answered the question.

5 Is there something -- I mean, if you want to go  
6 beyond it, go ahead.

7 A. Yes, if you would like.

8 Q. I don't like, but I mean if you -- you're  
9 entitled to add as much to your answer as you think is  
10 necessary for it to be accurate. But I'm entitled to  
11 that your answer be limited to the question. So it's  
12 sort of what -- so if you think more is required to be  
13 accurate, go ahead.

14 THE WITNESS: Would you please repeat the  
15 question.

16 (The record was read.)

17 THE WITNESS: And my answer.

18 (The record was read.)

19 THE WITNESS: Yeah, I believe -- no, my  
20 absolutely not answer is sufficient to answer your  
21 question.

22 MR. BENSHOOF: I'm going to go a little bit  
23 longer on this so let's take a break. I thought it  
24 would be briefer. But --

25 MR. CARRIGAN: Thank you. An hour of

1 rapid-firing question on the record is good enough for  
2 the court reporter and your witness. You can have your  
3 next hour.

4 MR. BENSHOOF: Fair enough.

5 (11:09 a.m.)

6 (A brief recess was taken.)

7 (11:25 a.m.)

8 BY MR. BENSHOOF:

9 Q. Back on the record, Mr. Carlisle.

10 The rope that's on the table, that's in  
11 Mr. Carrigan's hand is to jerk when I run too fast. So  
12 just wanted to explain what that was about.

13 I will try to -- I realize that I speed up and  
14 it's valid concern of your counsel that that rushes you.  
15 So I will try to not do that and Ms. Tracy will kick me  
16 if I do.

17 I want to go through a series of research items  
18 on PCBs just to, for the benefit of both introducing  
19 those to you and for your quick review to just let us  
20 know whether or not this is the sort of knowledge that  
21 you brought to this project regarding that particular  
22 chemical.

23 I will begin with -- mark as 1005, is that next  
24 in order?

25 THE COURT REPORTER: 6.

1 BY MR. BENSHOOF:

2 Q. 6, a PCB fact sheet published by the Oregon  
3 Department of Environmental Quality. And I had a couple  
4 questions to ask you on Tables 2 and 3.

5 (Exhibit No. 1006 marked for identification.)

6 BY MR. BENSHOOF:

7 Q. While you are looking at it, Mr. Carlisle,  
8 environmental agencies, I take it you're familiar with  
9 the fact that environmental agencies in a number of  
10 states oftentimes publish fact sheets on different  
11 chemicals of concern. And I'm wondering whether or not  
12 as part of your own education, you recall reviewing  
13 information similar to that which is summarized on  
14 Table 2 in terms of PCB uses and Table 3, PCB sources of  
15 waste materials. And if you could review those two  
16 tables before you answer, I would appreciate it.

17 A. May I ask a clarifying question about this  
18 document?

19 Q. Sure.

20 A. It doesn't have any identifying -- identifying  
21 information about who wrote it, who published it and its  
22 source except for the little footer that's just .doc.

23 Q. Well, it says -- you see the purpose?

24 A. Right.

25 Q. Fact sheet?

1 A. But that doesn't tell me the author.

2 Q. Fair enough.

3 A. The publisher, the document this came out of.

4 Q. So noted.

5 Now, if you would just review Table 2 and 3,  
6 and I assume you haven't seen this particular document  
7 before?

8 A. No.

9 Q. And that wasn't the point of the question.

10 My point really is looking at Table 2, let's  
11 just focus on that, that sets forth a number of uses of  
12 PCBs categorizing both primary and other applications.

13 Would you agree that that table sets forth PCB  
14 uses that you're familiar with?

15 A. Yes.

16 Q. Anything in there in terms of a PCB use that  
17 wasn't in your own professional background when you  
18 contributed to the DTR?

19 A. I'll agree for the majority of these I was  
20 familiar with them being potential sources of PCBs.

21 Q. Okay. And then Table 3, would you agree that  
22 that table describes PCB sources and waste materials,  
23 and it says waste materials and recycling operations  
24 that you were generally familiar with when you  
25 contributed to the DTR?

1 A. Yes.

2 Q. Now, there are some other reports regarding PCB  
3 usage in shipyards that I would like to go through with  
4 you to just see if this -- if you were either aware of  
5 them or if they reflected knowledge that you had when  
6 you contributed to the DTR, and I will begin with a  
7 report by Young et al. titled "Marine Inputs of  
8 Polychlorinated Biphenyls and Copper From Vessel  
9 Antifouling Paints."

10 MR. BENSHOOF: This will be Exhibit 1007.

11 (Exhibit No. 1007 marked for identification.)

12 BY MR. MR. BENSHOOF:

13 Q. This is a 1974 document, Mr. Carlisle. Do you  
14 recall ever having reviewed this before? It's a study  
15 by the Southern California Coastal Water Research  
16 Project.

17 A. It doesn't look familiar.

18 Q. Okay. You will note that I just turned to the  
19 introduction, that paragraph has a description of vessel  
20 antifouling paints.

21 Do you see that?

22 A. Yes.

23 Q. It states, "Vessel antifouling paints  
24 constitute a potential significant source of certain  
25 trace contaminant to coastal marine waters."

1 I take it you agree with that?

2 A. Yes.

3 Q. And it continue on to state, "For example,  
4 copper, mercury and lead have been used extensively in  
5 bottom paints or primers in relatively high  
6 concentrations of polycarbonated biphenyls, PCB, have  
7 also been found in such materials."

8 Do you see that?

9 A. Yes.

10 Q. I take it you agree with that?

11 A. Yes.

12 Q. And you were aware of that when you contributed  
13 to the DTR?

14 A. Yes.

15 Q. And could you turn to Table 10, please, there's  
16 some specific findings of Page 15, Table 10. You will  
17 see this happened to have been a sampling done at a boat  
18 yard in Marina Del Rey and certain paint samples were  
19 taken.

20 Do you see it displaying that data?

21 A. Yes.

22 Q. From different vessels.

23 And you see that in some instances, rather high  
24 concentration of PCBs were found, in particular  
25 Aorchlor 1254?



1 A. Yes.

2 Q. And you agree 150,000 parts per million is --  
3 found in one sample of paint from a wood hull, it's a  
4 rather substantial concentration?

5 A. Yes.

6 Q. Were you aware -- I take it you were aware when  
7 you contributed to the DTR that -- I think you have  
8 already said, that paints contained PCBs, marine paints,  
9 were you aware that there was literature out there that  
10 found PCBs in this high of a concentration, 150,000  
11 parts per million?

12 A. Not specifically that number. But I was aware  
13 that high concentrations of PCBs have been found  
14 associated with industrial activities in general.

15 Q. And marine paints in particular?

16 A. That's not clear to me that this is data from  
17 marine paint. It's from a wood hull scraping.

18 Q. Well, the title says concentration of  
19 polychlorinated biphenyls measured in bottom paints  
20 removed from boats in Southern California dry docks.

21 MR. CARRIGAN: I think the question was were  
22 you aware of the concentrations this high in marine  
23 paints.

24 MR. BENSHOOF: Correct.

25 THE WITNESS: Yeah, I wasn't.

1 BY MR. BENSHOOF:

2 Q. You weren't?

3 A. I haven't seen those sort of numbers. And  
4 actually, I don't know the sampling methodology. Did  
5 they sample pieces of boat material with the paint? Was  
6 it pure paint? You know what I mean? The sampling  
7 protocols are -- come to mind for a scientist like me.

8 Q. Fair enough. So you were aware that when you  
9 contributed the DTR that PCBs occurred in marine paint.  
10 You weren't aware of specific studies showing their  
11 concentrations?

12 A. Correct.

13 Q. Now let's go to the second document,  
14 Exhibit 1008.

15 (Exhibit No. 1008 marked for identification.)

16 BY MR. BENSHOOF:

17 Q. This is an EPA publication that you may be  
18 familiar with. It's called a guide for -- a guide for  
19 ship scrapers. Scrapers. Scrapers. Nails on a  
20 blackboard.

21 Is this EPA document at all familiar to you,  
22 Mr. Carlisle?

23 A. No.

24 Q. You see that on Page 1-1, "Introduction," it  
25 states, "This guide is intended to provide a site

1 supervisor of a ship-scraping facility with a good  
2 understanding of the most pertinent federal  
3 environmental and worker safety and health requires  
4 affecting ship scrapping, ship-breaking operations."

5           Was it your understanding that those sorts of  
6 operations were amongst the various operations conducted  
7 at the BAE shipyards?

8           A.    Yes.

9           Q.    And indeed I'm -- I will be using the plural  
10 "BAE shipyards" to refer to everything going back to  
11 1914.

12           Are we okay with that?

13           A.    Yes.

14           Q.    And I take it you were consistent with your  
15 earlier testimony you were generally aware that PCB  
16 discharges occurred in connection with those activities?

17           MR. DART:  Objection, vague as to time.

18 BY MR. BENSHOOF:

19           Q.    During the time that shipyards were in  
20 operation at the BAE shipyards location.

21           MR. DART:  I going to have objection to each  
22 one of those questions based on that time frame  
23 associating shipyards from the 19-teens and '20s to all  
24 the way up to the 2000's as one and the same in terms of  
25 activities and practices.

1 THE WITNESS: The overall shipyard activities  
2 were a much broader time frame than the potential  
3 presence of PCBs due to the limited range within the  
4 larger time frame of shipyard activities.

5 BY MR. BENSHOOF:

6 Q. Fair enough. The -- well, let's take it we  
7 generally understand that PCBs were in use in the late  
8 1920s, correct?

9 A. I would have to look that up.

10 MR. CARRIGAN: We'll take your representation  
11 of that for purposes of this line of questioning,  
12 Counsel, yes.

13 MR. BENSHOOF: The DTR says 1929?

14 MR. CARRIGAN: Yeah, yeah.

15 THE WITNESS: Okay. Thank you.

16 BY MR. BENSHOOF:

17 Q. And they were banned, PCBs were banned  
18 approximately 1979, correct?

19 A. That's my recollection.

20 Q. And when they were banned, did they disappear  
21 from wood hulls and metal hulls?

22 A. Probably not.

23 Q. Probably not. When they were banned, did they  
24 disappear from transformers used by the BAE shipyard?

25 A. I have no knowledge of transformer content of

1 BAE shipyard.

2 Q. Did you not know that there were transformers  
3 there?

4 A. I think it's extremely likely there is  
5 transformers at BAE shipyard but their content I have no  
6 knowledge of.

7 Q. They didn't run on gerbils. Shipyards consume  
8 a lot of electricity, correct?

9 A. I just agreed that there is transformers at BAE  
10 shipyard and those transformers probably contained oil  
11 and depending upon the age of the transformers, there's  
12 a high likelihood that those transformers contained some  
13 concentrations of PCBs.

14 Q. When PCBs were banned in 1979, those PCBs  
15 didn't disappear that were in those transformers?

16 A. If there were PCBs there and the transformers  
17 remained there, one could easily assume that they didn't  
18 disappear.

19 Q. And the PCBs didn't disappear from the  
20 hydraulic oils used in the wenchers and the cranes in the  
21 BAE shipyards in 1979, did they, to your knowledge?

22 A. If there were PCBs in those hydraulic oils, I  
23 think your characterization is likely correct.

24 Q. And the -- and the PCBs didn't disappear in  
25 1979 from the ships that were repaired and serviced in

1 the BAE shipyard, did they?

2 A. Let me hopefully maybe speed this along and I  
3 will agree that it's extremely likely, approaching near  
4 certainty, that PCBs were present at the BAE and other  
5 shipyards at the site.

6 Q. In 1979?

7 A. In a number of applications.

8 Q. And just to sort of complete this particular  
9 exhibit, the EPA goes on at Page 3-3 to talk about where  
10 can PCBs be found on a ship. And they list -- the EPA  
11 lists about a dozen different locations where PCBs are  
12 found on ships.

13 And not focusing on each and every one, but  
14 just in general, Mr. Carlisle, does this data set forth  
15 by the EPA roughly accord with your understanding of the  
16 kinds of materials present on ships that used PCBs?

17 A. Yes, that potentially use PCBs. In other  
18 words, not all of these would always have PCBs.

19 Q. And the EPA noted at Page 6.1 under "Abrasive  
20 Blasting" that abrasive blasting that they say -- EPA  
21 says generates large amounts of dust, abrasive waste and  
22 paint chips.

23 I take it you are aware that one of the  
24 activities undertaken at the shipyards, the BAE  
25 shipyards, over the course of their entire history was

1 abrasive blasting?

2 A. Generally, yes. I mean, there might be  
3 portions in their overall near 100-year history that  
4 there wasn't abrasive blasting.

5 Q. But you understand that to be an activity  
6 commonly associated with shipyards?

7 A. Yes.

8 Q. And would you agree with the EPA's conclusion  
9 that, quote, blasting generates large amounts of dust,  
10 abrasive waste and paint chips?

11 A. Generally, depending upon your definition of  
12 "large."

13 Q. You were aware in the BAE shipyards that this  
14 abrasive blasting was done literally either on a marine  
15 railways that were in the water or on dry docks that  
16 were right adjacent to the water, correct?

17 A. That was -- that's my understanding.

18 Q. And you were also -- you also understood that  
19 those operations of the shipyards led to substantial  
20 amounts of fugitive emissions that caused various waste  
21 material to be deposited by aerial deposition outside of  
22 their properties, correct?

23 A. I will agree depending upon your definition of  
24 "substantial."

25 Q. Fair enough. But you recognize that when you

1 were contributing the DTR that all of that blasting  
2 activity caused dust and waste materials associated with  
3 the dust to transport beyond the shipyard's property?

4 A. That's likely.

5 Q. Next, let me ask you to -- I'm going to try to  
6 go through these pretty briefly.

7 The EPA did a study in 2006 that I want to ask  
8 you very briefly about.

9 MR. BENSHOOF: This will be 1009.

10 (Exhibit No. 1009 marked for identification.)

11 BY MR. BENSHOOF:

12 Q. This is a 2006 study by the EPA, it has  
13 "Guidance For Best Management Practices For Vessels  
14 Intended to Create Artificial Reefs."

15 It's comforting to know that the EPA studies  
16 everything and publishes -- but I wanted to just ask you  
17 about whether you agree with the statement on Page 36,  
18 and I take it you do, where the EPA states at the top of  
19 Page 36, "Although no longer commercially produced in  
20 the United States, PCBs are most likely to be present in  
21 vessels deployed before the 1979 PCB ban."

22 I take it you agree with that?

23 A. Yes.

24 Q. And so you would agree that with the EPA's  
25 description of the material on items that could contain



1 both solid and liquid PCBs that would have been present  
2 in vessels serviced at the BAE shipyards after 1979.

3           Would you agree with that?

4       A.    Yes.

5       Q.    Now let me next go to -- we were talking about  
6 concentrations earlier.  And there is an EPA publication  
7 on that.  So I wanted to just ask you about that  
8 briefly.

9           MR. BENSHOOF:  It's 1010.

10          MR. CARRIGAN:  Thank you.

11          (Exhibit No. 1010 marked for identification.)

12 BY MR. MR. BENSHOOF:

13       Q.    In 1999, the PCB -- or excuse me, the EPA --  
14 get my acronyms -- the EPA published a federal register  
15 notice on a variety of issues related to PCBs.  And I  
16 wanted to direct your attention to the notice's  
17 reference on 69360 to paint formulations.

18           It's in the left-hand column on 69360.  And you  
19 had asked about concentration indications and do you see  
20 that at least the EPA is indicating that in the 1950 to  
21 '60 time frame, PCBs were added as drying agents and  
22 plasticizers to paints.  And the EPA find that they were  
23 in concentrations that ranged from 10 to 12 percent to  
24 20 to 30 percent by -- I'm assuming that is by weight of  
25 the paint.

1           Do you see those scope traces? They don't  
2 report how they measured the concentration. But their  
3 concentrations raising up -- that are as high as 300  
4 parts per million in paint?

5           A. It's not clear to me if these are the  
6 concentrations of the subsequent created paint or if  
7 it's the concentrations of the PCB material or  
8 PCB-containing material that was added to the paint and  
9 what the subsequent concentration was.

10          Q. Okay. Fair enough.

11           I take it, though, that when you were  
12 contributing to the DTR, you were aware of the fact that  
13 PCBs were used both as drying agents and plasticizers in  
14 paints, correct? You are aware of that fact?

15          A. Correct.

16          Q. Next let's look at a report that I believe was  
17 done for your agency by the EPA in 1974.

18           MR. BENSHOOF: And we'll mark that as 1011.

19           (Exhibit No. 1011 marked for identification.)

20           MR. DART: Can I get the title of that document  
21 again.

22 BY MR. BENSHOOF:

23          Q. Draft report to San Diego Regional Water  
24 Quality Control Board, yeah, it's a SAR number, pardon  
25 me. It's SAR 374317. So this is in the administrative

1 record and I believe was referred to by you if I'm not  
2 mistaken, Mr. Carlisle, in putting together the DTR.

3 Am I correct on that?

4 A. Well, I'm familiar with the document.

5 Q. Okay.

6 A. Generally, I mean, it's been a long time, but  
7 it looks familiar.

8 Q. And you noted that -- I'm sure it's SAR 374319,  
9 the third full paragraph states as follows: "It is  
10 concluded that San Diego Bay is being polluted by heavy  
11 metals from shipyards and that the most significant  
12 source of these pollutants is materials including  
13 antifouling paints and primers removed from ship hulls."

14 Do you see that reference?

15 A. Yes.

16 Q. And you were aware of that when you were  
17 contributing to the DTR, correct?

18 A. Yes.

19 Q. And I take it you have no reason to question  
20 this conclusion of the EPA in its report, your agency,  
21 correct?

22 A. I have no hesitation that this was EPA's  
23 conclusion as of that year.

24 Q. Do you think it was -- its accuracy is limited  
25 to that year as you put it?

1 A. No, I think the accuracy could change. I mean,  
2 if it was done again in 2000 or 2010, the EPA might come  
3 to a different conclusion.

4 Q. But I take it you would agree that this is a  
5 accurate statement for the time period and, frankly, the  
6 substantial period of time preceding 1974?

7 A. I really can't extrapolate through time from  
8 this information, not knowing the evolution of the  
9 activities of the shipyards with any detail.

10 Q. Were you aware of the EPA's finding when you  
11 were preparing the DTR that their examination of the  
12 sediment revealed -- I'm reading now at 74318,  
13 "Microscopic examination of the sediments revealed a  
14 similar pattern freshly blasted abrasive and paint chips  
15 were most evident in surficial sediments nearest the  
16 shipyards"?

17 A. Yes.

18 Q. And were you, in fact, aware in the files of  
19 your agency where a number of reports, NPDES monitoring  
20 reports, that documented, in fact, the concentration of  
21 PCB-containing paint chips in the sediment from  
22 shipyards?

23 A. I didn't quite follow the way you worded that.

24 Q. Were you aware that the agency had and you had  
25 available to you when preparing the DTR NPDES monitoring

1 reports that the shipyards prepared?

2 A. Was I aware that there were NPDES reports  
3 prepared by the shipyard around the time we -- yes.

4 Q. And you had -- in fact, your staff or you  
5 consulted many of those, correct?

6 A. Yes.

7 Q. And did -- were you aware that those reports  
8 routinely reported on a variety of matters including the  
9 concentration of paint chips containing PCBs in the  
10 sediment?

11 A. I don't specifically recall that, but I think  
12 it's likely. And that's why the study design, meaning  
13 the sample locations for the Exponent report, was biased  
14 with more samples closer to the shoreline based on  
15 the -- your thought process that I have agreed with  
16 about the worst stuff would tend to be near shore.

17 Q. And that stuff would include substantial  
18 paint-chip contamination of the sediments containing  
19 PCBs in all likelihood?

20 A. Paint chips and the mechanism that removed the  
21 paint chips meaning the abrasive waste.

22 Q. And just as an example of a report that was --  
23 that you put in the administrative record that reflects  
24 this, we'll mark that as 1012, a Southwest Marine NPDES  
25 marine sediment monitoring report of August 2000.

1 (Exhibit No. 1012 marked for identification.)

2 BY MR. BENSHOOF:

3 Q. The SAR number is 035020 and there's a number  
4 of data in here, but I wanted to refer you to the --  
5 specifically the paint chip samples and those begin with  
6 the sampling description at SAR 35025 and -- and you  
7 agree that this report reports on replicate samples  
8 taken from the upper 7 centimeters of sediment, which is  
9 described at the bottom of 025?

10 MR. CARRIGAN: Take a minute to get located in  
11 the document. Okay.

12 THE WITNESS: Yes.

13 BY MR. BENSHOOF:

14 Q. And then would you agree, then, that at Page --  
15 SAR Page 35032, this is, again, just one example of a  
16 report in the Water Board files that shows the  
17 concentration of paint chips and at that page it states,  
18 "Paint chips collected for this report were screened  
19 from 9 liters of sediment taken from each of the type  
20 localities designated by the RWQCB," and it gives the  
21 weight and there's, on one of these 3-liter splits,  
22 there's reported .49 grams of paint chips, correct?

23 A. Actually, I would need you to define these  
24 acronyms in that item you're pointing to. It's got  
25 three acronyms I don't know the definition of.

1 Q. I believe those were referring to the each of  
2 the type localities designated by the board. And I  
3 don't know exactly where they were either. I mean, we  
4 could reconstruct it from the report.

5 My point is that in one of the sediment  
6 localities, the regulated entity reported that there  
7 were .49 grams of paint chips in that particular 3-liter  
8 split, correct?

9 A. That was a statement, I believe.

10 Q. Pardon me?

11 A. I believe you didn't form that into a question.

12 Q. Is that what you understand this to be  
13 reporting?

14 A. No, I -- no, because I need to know the  
15 definition of the acronyms. "SWM" maybe stands for  
16 Southwest Marine. "STD," I don't know what it stands  
17 for, and "PC" may be paint chip. Where are the acronyms  
18 defined? And "G," we might assume is grams.

19 Q. Yeah, this was more as an example of a  
20 monitoring report that's in the record that would be a  
21 source for you and your staff to reconstruct the extent  
22 to which paint chips from shipyard operations are  
23 presently found in the bay sediments.

24 Would you agree with that?

25 THE WITNESS: Would please repeat the question.

1 (The record was read.)

2 THE WITNESS: I would agree that this could  
3 potentially be a source if it was -- some of my  
4 questions were answered about acronyms, sample location,  
5 the rest of the report, I think this is just the first  
6 few pages of the report.

7 BY MR. BENSHOOF:

8 Q. Correct. It's an excerpt.

9 Do you recall ever asking anybody,  
10 Mr. Carlisle, to go back and look in the files,  
11 attempting to reconstruct the degree to which the BAE  
12 shipyards contributed to the contamination of the  
13 sediments solely through their abrasive waste and paint  
14 chips accompanying that waste?

15 A. What do you mean by "contributed to the" -- you  
16 mean did we try and -- or direct somebody to go back and  
17 try and calculate actual mass, volume, deposition rates?

18 Q. Or any other -- I mean, you instructed your  
19 staff to use NPDES monitoring reports for other  
20 purposes, correct?

21 A. To demonstrate that there was a discharge that  
22 may have contributed to condition of pollution in  
23 this --

24 Q. Specifically you covered earlier today with  
25 counsel for the City that your staff was instructed to



1 find those instances where the discharge exceeded the  
2 California toxic rule?

3 A. I don't think that was in a form of a question.

4 Q. Did you instruct your staff to go to the  
5 shipyards, NPDES monitoring reports, to identify  
6 discharges where the discharge exceeded the California  
7 toxic rule concentrations for particular chemicals?

8 A. Yes.

9 Q. And incidentally, what is the California toxic  
10 rule applicable to? Toxic in what media?

11 A. Water.

12 MR. CARRIGAN: Calls for legal conclusion.

13 BY MR. BENSHOOF:

14 Q. No, no, yeah, I don't mean who's subject to it.  
15 What does it relate to? It's an expression of  
16 concentrations of a chemical that are toxic in water,  
17 correct?

18 A. Yes, that's my understanding.

19 Q. And am I correct that you assumed in preparing  
20 the DTR that it also meant concentrations that were  
21 toxic in sediments?

22 A. No.

23 Q. You didn't equate the two?

24 A. Might have been equated through some other  
25 mechanism, but it wasn't equated directly. You can't

1 take a sediment concentration and compare it to a water  
2 criteria.

3 Q. So you would agree that it would be wrong for  
4 somebody to conclude that because there was a presence  
5 of a chemical in a discharge that exceeded the CTR for  
6 that chemical, that that necessarily contributed to  
7 pollution or nuisance in a bay sediment?

8 A. That's an awful complicated question.

9 The CTR was used, among other things, to  
10 determine whether a discharge, a water discharge, had  
11 concentrations that would impair beneficial uses. And  
12 therefore, be in a violation of the basin plan and the  
13 narratives in the basin plan.

14 Q. Let me ask the question again.

15 Would you agree that it would be wrong for one  
16 to conclude that because there was the presence of a  
17 chemical in a discharge that exceeded the CTR, that that  
18 chemical necessarily, because of that fact, contributed  
19 to a condition of pollution or nuisance in sediment?

20 A. It might be, but it depends upon other steps  
21 one could take to make that the possible line of drawing  
22 a conclusion.

23 Q. So once again, it wouldn't necessarily indicate  
24 that conclusion, but it might; is that your  
25 understanding?

1 A. Correct.

2 Q. And that's because the two -- CTRs and it's an  
3 expression of toxicity in water, doesn't directly apply  
4 to what is necessarily toxic in sediment; is that a fair  
5 statement?

6 A. And that's also because concentrations detected  
7 in water, especially say surface water, freshwater, I  
8 mean coming out of, say, mouth of Chollas Creek, when it  
9 reaches a velocity change, a salinity change, a  
10 chemistry temperature change, it can precipitate out and  
11 contribute to contamination of sediment. That's why I  
12 was hedging my previous answer as opposed to giving an  
13 absolute yes or no.

14 Q. There are several other factors, then, one  
15 would need to know in addition to the fact that it  
16 exceeds the CTR as to whether or not it would constitute  
17 or contribute to a condition of pollution or nuisance in  
18 sediment?

19 A. I would agree with that.

20 Q. Now, back to the 1974 EPA report to the --

21 MR. CARRIGAN: What's the number on that one,  
22 Counsel.

23 MR. BENSHOOF: 1011.

24 BY MR. BENSHOOF:

25 Q. Just a couple of closeups and then I have one

1 more document to go over and then we can break for  
2 lunch.

3           At SAR 374334, there's a statement by the EPA  
4 which states "Spent Abrasive and New Paint. The most  
5 significant pollutants from shipyards are the heavy  
6 metals present in spent abrasive."

7           And then it talks about --

8           MR. CARRIGAN: Hold on one second, Counsel,  
9 please, I'm going to have to try to locate him in the  
10 document here.

11           One page back.

12           MR. BENSHOOF: Go ahead.

13           MR. CARRIGAN: I think he is here,  
14 second-to-last paragraph on 374334, "Spent Abrasives."

15           THE WITNESS: Thank you.

16 BY MR. BENSHOOF:

17           Q. I take it you agree that, as we have discussed  
18 before, that --

19           MR. CARRIGAN: Have you had a chance to read  
20 this?

21           THE WITNESS: Yes.

22           MR. CARRIGAN: And now --

23 BY MR. BENSHOOF:

24           Q. Okay. Do you agree that with the EPA that the  
25 most significant pollutants from shipyards are the heavy

1 metals present in spent abrasive?

2 A. If we remove the word "most" because I don't  
3 have any independent knowledge about them being the most  
4 significant, but I would agree that they are a  
5 significant source.

6 Q. And you also -- there is a sentence which  
7 states, "The old paint particles present in the used  
8 grit are a potential source of pollution." I take it  
9 you, consistent with your testimony earlier, also agree  
10 with that?

11 A. Yes.

12 Q. Then over on the next page, 4335, there's the  
13 statement at the top, "Estimates have been made of paint  
14 losses indicating approximately 5 percent of the total  
15 paint to be applied to the hull is lost to the dry dock  
16 and can be discharged to receiving waters."

17 I believe you were aware of that fact when you  
18 were helping to contribute to preparation of the DTR,  
19 correct?

20 A. Are you asking about the percent number?

21 Q. Yeah.

22 A. I don't even know exactly what year their  
23 estimate is based on. Some year prior to '74. So  
24 again, I have the same answer previous about I'm not  
25 willing or comfortable extrapolating that on into the

1 future another 20, 30 years or into the past another 20,  
2 30 years. But it seems reasonable for the EPA to  
3 conclude that. I don't know what they measured to get  
4 that.

5 Q. But nevertheless, you were moving from a  
6 specific percentage. You were aware that there was some  
7 percentage of paint material that went directly into bay  
8 waters from the shipyards as a result of their spray  
9 painting?

10 A. Well, now you're specifically mentioning spray  
11 painting. Is this paragraph referring to just spray  
12 painting?

13 Q. Not necessarily. So from any painting?

14 A. Okay.

15 Q. You were aware that from any painting, any  
16 method of painting at the shipyards, some percentage of  
17 their paint went directly into the waters of the bay.

18 A. Yes.

19 Q. And you were aware that that was a source of  
20 pollution caused by the shipyards?

21 A. At least during certain time frames. Yes.

22 Q. Are you aware of any time frame when paints  
23 used by the shipyards were not directly discharged into  
24 the bay as a result of their painting operations?

25 A. If they did any painting that wasn't in the

1 immediate vicinity of the bay and even more recently,  
2 and I'm not sure what time frame they -- they  
3 encapsulate the paint area to -- my understanding  
4 virtually eliminate any overspray that might be fugitive  
5 emissions.

6 Q. And your understanding is that is a recent  
7 development at the shipyard?

8 A. Depends upon the definition of "recent." I  
9 don't recall the year. But, you know, I don't know if  
10 it's been ten years or more.

11 Q. You said "even more recently," what did you  
12 mean by "and even more recently"?

13 A. Well, you started this shipyard operations  
14 stuff going back 100 years. So ten years ago might be  
15 pretty darn recent.

16 Q. You don't know for sure?

17 A. No, I would have to look in the record and see  
18 what the shipyards have submitted in terms of when they  
19 started shrink wrapping essentially the ships to capture  
20 the fugitive emissions.

21 Q. Okay. Let's just finally look at what we'll  
22 mark as 1013, I'll stop with the numbers and let the  
23 reporter -- 13, it's SAR 374265.

24 (Exhibit No. 1013 marked for identification.)

25

1 BY MR. BENSHOOF:

2 Q. Do you know -- this is a report of your agency,  
3 correct, Mr. Carlisle? And go ahead.

4 A. Yes, it's a report done under the supervision  
5 of Ladin Delaney with the Water Quality Control Board.

6 Q. Now, do you recall -- obviously, this was in  
7 the administrative record.

8 Do you recall referring or relying upon this in  
9 preparing or contributing to any part of the DTR?

10 A. I'm not sure how much I relied on it in my  
11 contributions to the DTR. But I have reviewed it more  
12 recently, meaning in the last week. And it seems  
13 consistent with some of our findings in the DTR.

14 Q. And what was the occasion for you to have  
15 looked at this within the last week, preparing for this  
16 deposition?

17 A. Yes.

18 Q. When was that?

19 A. When was what?

20 Q. When were you -- I take it you sat down and  
21 went through this document for -- to prepare yourself  
22 for the deposition?

23 A. Yes.

24 Q. And that was sometime within the last week?

25 A. Yes.



1 Q. And did you go over any other documents at that  
2 time to prepare yourself for this deposition?

3 A. Yes.

4 Q. And what other documents did you go over?

5 A. I went over the DTR, the draft technical  
6 report, the tentative cleanup and abatement order. And  
7 then I loaded up the hard drive and started perusing the  
8 hard drive. So I probably glanced at half dozen  
9 documents, maybe a dozen in the -- in the record, the  
10 hard drive record.

11 Q. Out of all of the hundreds and thousands of  
12 documents in the administrative record, how did you pick  
13 out this one?

14 A. I was looking for documents that were reporting  
15 on waste discharges that were sent to the Port of  
16 San Diego. And I believe SAR number before this number  
17 might be the transmittal letter that shows that it was  
18 copied to the Port of San Diego.

19 Q. Oh, okay. So you were not necessarily looking  
20 for shipyard studies but anything that went to the Port?

21 A. That's -- that was one aspect of my review that  
22 I recall.

23 Q. Now, did you see that on Page 3 --

24 MR. BROWN: Excuse me, can I get a copy of this  
25 if you're starting to talk about my client?

1 MR. BENSHOOF: No.

2 MR. BROWN: Thank you. Had to wake up there  
3 for a second.

4 MR. BENSHOOF: Yeah, I know, hate to disturb  
5 you.

6 BY MR. BENSHOOF:

7 Q. And I'm going to try to conclude quickly on  
8 this, Mr. Carlisle, so we can break for lunch, but I do  
9 have three or four questions.

10 I just wanted you to turn to SAR 4269, because  
11 while this report is referenced in the DTR, the data  
12 isn't. And I'm specifically asking you about the data  
13 that's referred to on the first full paragraph on Page 3  
14 wherein it states, An attempt was made to quantify the  
15 amount of metals in bottom sediments at various  
16 locations -- or excuse me -- at various selected sites  
17 within San Diego Bay. Talks about replicate core  
18 samples. And these cores were analyzed for arsenic,  
19 chromium, copper, lead, mercury, nickel and zinc.

20 Do you see that?

21 A. Yes.

22 Q. What happened to that data? Do you know where  
23 it is and why it's not referenced in the DTR?

24 A. I know why it's probably not in the DTR,  
25 especially in the allegations naming parties. As I have

1 said probably more than once during our conversation, we  
2 were trying to meet the threshold in Port of Cologne, to  
3 name a discharger and not trying to quantify the wastes  
4 and for consistently throughout this process, since the  
5 2003 Exponent report came out, we relied primarily on  
6 2000 -- data reported in the Exponent report. For  
7 consistency purposes, our objective in preparing the DTR  
8 was not to calculate masses and volumes of various  
9 chemicals that may be present in the sediment.

10 Q. I take it it's your view that a condition of  
11 pollution or nuisance under the water code can be  
12 determined without any reference to mass or volume?

13 A. Potentially.

14 Q. Well, in this instance, I take it that's the  
15 standard that you applied?

16 A. Well, mass volume is related to concentration  
17 and we definitely use chemical concentrations in  
18 determining impairment.

19 Q. I will return to that later. But let's just  
20 conclude this.

21 This report by the Water Board at SAR 4272  
22 talking about work in the graving dock area states,  
23 "Perhaps 5 to 10 percent of the sand debris may get  
24 blown or washed into the water pump-out system and  
25 eventually reach the bay."

1 I'm looking at the second-to-last paragraph.

2 I take it that information was available to you  
3 when you contributed to the DTR?

4 A. I think it's clear in the DTR that we had no  
5 doubt that shipyard activities discharged grit,  
6 sandblast material, paint, and other things to  
7 San Diego Bay.

8 Q. And then at Page 7, there's a fourth paragraph  
9 on that page. First to the sandblasting work done on  
10 the dry dock.

11 Do you see that? I'm on SAR 4273.

12 A. Which paragraph?

13 Q. The fourth paragraph says sandblasting is  
14 performed in the dry dock.

15 A. Okay.

16 Q. And it states that the conclusion the Water  
17 Board's found perhaps 10 to 20 percent of the fine  
18 material may be washed into the bay. That's consistent  
19 with the -- I take it your understanding of the  
20 contribution by the shipyard sandblasting operation to  
21 bay contamination, correct?

22 A. Generally, just recognizing that I believe most  
23 of the statements are trying to summarize across  
24 multiple shipyards.

25 Q. Correct. And then at Page 4295, there's a

1 description of the shipyard that's just south of my  
2 client's facility, the San Diego Marine Construction  
3 shipyard, correct?

4 A. What page?

5 Q. SAR 4295.

6 A. Oh, 95.

7 Yes.

8 Q. And for example, that -- at that page, the  
9 Water Board is reporting that San Diego Marine  
10 Construction used in the year 1971, 8,000 gallons of  
11 paint and primer, correct?

12 A. Yes.

13 Q. At 20 to 50 percent of the ships were  
14 sandblasted, correct?

15 A. Yes.

16 Q. So it gives the scale of the work done there.  
17 And it also -- under the "General Observations," it  
18 talks about how sandblasting was done not only in dry  
19 docks but on the ways, do you see that, under "General  
20 Observations"?

21 A. Yes.

22 Q. And those ways are -- what do you understand  
23 what is meant by "ways"?

24 A. They might be the marine railways or other  
25 areas likely close to the bay.

1 Q. So this is sandblasting, as you understand it,  
2 done by the shipyards directly over the water?

3 A. Or immediately adjacent to the water.

4 Q. But the marine railways are the ways and they  
5 go into the water, correct?

6 A. But I think they pull the ship out of the water  
7 before they sandblast it. It's hard to sandblast under  
8 water.

9 Q. Probably is.

10 A. It's probably doable, but --

11 Q. Those are my questions on this document.

12 MR. BENSHOOF: Why don't we then break for  
13 lunch if that's convenient.

14 MR. CARRIGAN: Sounds good. Yeah.

15 (12:18 p.m.)

16 (A lunch recess was taken.)

17 (1:18 p.m.)

18 BY MR. BENSHOOF:

19 Q. Good afternoon, Mr. Carlisle. We're back on  
20 the record and all of the rules of the proceeding and  
21 admonitions you have been -- you received before apply.

22 I would like to ask you to turn next,  
23 Mr. Carlisle, to Section 9.9 of the DTR. It's  
24 Page 9-13. And that section is entitled "Unauthorized  
25 Discharge of Toxic Pollutants Into MS4."

1 A. Excuse me, what page?

2 Q. 9-13.

3 A. Oh, 13.

4 Q. Section 9.9.

5 A. Okay.

6 Q. And you recognize this, do you not, as a  
7 section regarding certain allegations of discharge by  
8 SDG&E to a catch basin that is alleged to have  
9 discharged into the municipal storm drain system from  
10 there to San Diego Bay, correct?

11 A. Yes.

12 Q. Now, in fact, you directed your staff to  
13 contact the City of San Diego to obtain their -- the NOV  
14 that they were in the process of issuing, correct?

15 A. Yes.

16 Q. And you did so in order to obtain evidence to  
17 bring SDG&E within the cleanup and abatement order,  
18 correct? It was part of your effort to build a case  
19 against SDG&E?

20 A. Well, yes. Generally.

21 Q. And generally, you did nothing to verify  
22 whether or not the City's information was truthful at  
23 all, correct?

24 A. No.

25 Q. What did you do to verify that any of the City

1 information was truthful?

2 A. I talked to Ruth Kolb and I believe one of the  
3 staff members went out in the field at one or more  
4 occasions to observe the situation and meet with, I  
5 believe, Ruth Kolb and maybe others on the site.

6 Q. Well, Mr. Tobler testified that -- Page 123 and  
7 124 of his deposition that we just took the City's  
8 information at face value. So are you contradicting  
9 Mr. Tobler?

10 A. I'm not trying to. I guess my recollection was  
11 incorrect then. I thought perhaps one of the staff  
12 members might have gone out there and met with Ruth Kolb  
13 to observe the catch basin.

14 Q. Basically what happened was, if I understand  
15 it, was that the City was in the process of issuing a  
16 notice of violation to BAE, and then BAE responded by  
17 saying no, SDG&E is really at fault. The City jumped on  
18 that to issue an NOV against SDG&E and the Water Board  
19 simply copied the City's information at face value?

20 MR. DART: Objection. Misstates testimony,  
21 assumes facts not in evidence.

22 MS. REYNA: Join.

23 MR. CARRIGAN: Do you have a question for the  
24 witness?

25



1 BY MR. BENSHOOF:

2 Q. Is that what your understanding is that  
3 happened?

4 I mean there is a number of documents and we  
5 can go through them, but I'm trying to summarize them.

6 A. That seems correct, what happened, but not  
7 entirely what happened. As I indicated, I also  
8 contacted Ruth Kolb on one or more occasions to verbally  
9 discuss the situation.

10 Q. Right, and Ms. Kolb advised you that the City  
11 hadn't done anything to verify BAE's allegations either,  
12 correct?

13 MR. DART: Objection again, same objections.

14 MS. REYNA: Join.

15 THE WITNESS: No.

16 BY MR. BENSHOOF:

17 Q. You don't remember her saying that?

18 A. No, I'm saying no, that's not correct.

19 Q. Did Ms. Kolb say that the City had done  
20 anything to verify BAE's allegations that the party  
21 discharging into that catch basin was SDG&E?

22 MR. DART: Same objections.

23 THE WITNESS: I'm a little confused by the  
24 question.

25 Are you asking me what the City might have done

1 in addition to the letters that they have sent that are  
2 in the record?

3 BY MR. BENSHOOF:

4 Q. What I have got in the record, and we'll go  
5 through them all, is BAE says we didn't do it, it's  
6 SDG&E. City accepts that at face value, issues an NOV  
7 to SDG&E and the Water Board accepts that at face value.  
8 Nobody investigating whether BAE was telling the truth  
9 or not. Now, am I misunderstanding what occurred?

10 MR. DART: Same objections.

11 BY MR. BENSHOOF:

12 Q. Let me reask it.

13 Did anybody with the City or the Water Board  
14 ever inquire whether BAE was telling the truth or was  
15 simply trying to deflect responsibility from itself?

16 MR. DART: Same objections. Argumentative and  
17 assumes facts.

18 MS. REYNA: Lacks foundation, calls for  
19 speculation.

20 MR. CARRIGAN: Join on the City's objections.

21 Go ahead.

22 THE WITNESS: It is my understanding that the  
23 one entity, apparently the City of San Diego took  
24 samples and so the way you're characterizing it omits  
25 the fact that it's -- somebody had data from the catch

1 basin.

2 BY MR. BENSHOOF:

3 Q. No, I didn't -- we know that.

4 My question is -- and there may be information  
5 that's not in the record, Mr. Carlisle, but looking at  
6 the record, the way it looks to me is that BAE says we  
7 didn't do it, SDG&E did it. The City accepts that at  
8 face value, doesn't inquire whether that was truthful or  
9 not. The Water Board then took the City's finding at  
10 face value and nobody investigated whether or not BAE  
11 was truthful in saying that they didn't cause the  
12 discharge, but SDG&E did.

13 Now please fill in whatever information I'm  
14 missing.

15 MR. DART: Same objections.

16 MS. REYNA: Objection, document speak for  
17 themselves.

18 THE WITNESS: I don't have any personal  
19 knowledge about what happened prior to our being advised  
20 of a situation going on out here. So in other words,  
21 the beginning of your statement about BAE contacting the  
22 City and City doing such-and-such on a certain amount of  
23 data or lack of information, I have no personal  
24 knowledge of that aspect of the --

25

1 BY MR. BENSHOOF:

2 Q. Fair enough.

3 A. -- issue.

4 Q. We'll just go through what's in the record,  
5 then, that establishes what I have referred to and we'll  
6 begin with the City's letter to BAE indicating that it  
7 was BAE's responsibility dated October 14, 2005 found at  
8 SAR 285412.

9 MR. BENSHOOF: If the reporter would mark that  
10 next in order.

11 MR. DART: Do you have copies of those,  
12 Counsel?

13 MR. BENSHOOF: Same number that I have of the  
14 other.

15 MR. DART: Can we stop and take a break, make  
16 copies in the copy room?

17 (Exhibit No. 1014 marked for identification.)

18 (Discussion held off the record.)

19 MR. BENSHOOF: Thank you.

20 BY MR. BENSHOOF:

21 Q. Now, do you recognize Exhibit 1014,  
22 Mr. Carlisle, is in the administrative record and it  
23 reflects the fact that the City was intending to assess  
24 this violation against BAE, correct?

25 MR. DART: Objection. Document speaks for

1 itself.

2 MS. REYNA: Join.

3 BY MR. BENSHOOF:

4 Q. I agree with that.

5 Are you familiar with this letter that -- where  
6 the City states it appears that this unauthorized  
7 discharge into the municipal storm drain system  
8 originates from your facility addressed to BAE dated  
9 October 14, 2005?

10 A. Yes.

11 Q. So you understand that originally, the City was  
12 proposing to cite BAE, correct?

13 A. Yes.

14 Q. All right. Then next, I want you to look at  
15 SAR 285411, a letter from the City, again, to BAE dated  
16 November 18, 2005?

17 MR. BENSHOOF: And that will be marked next in  
18 order.

19 (Exhibit No. 1015 marked for identification.)

20 BY MR. BENSHOOF:

21 Q. Now, do you recognize and this is SAR  
22 Document 285411 and do you recognize this letter as the  
23 City thanking BAE for its cooperation, thanking them for  
24 providing information that SDG&E was responsible and  
25 apologizing basically for the purported notice of

1 violation and withdrawing or rescinding any intent to  
2 issue the violation against BAE?

3 MR. DART: Same objection.

4 MS. REYNA: Join.

5 MR. CARRIGAN: I will join on that one.

6 BY MR. BENSHOOF:

7 Q. I will restate it.

8 Do you recognize this letter, Mr. Carlisle, as  
9 the letter that the City wrote to BAE giving BAE notice  
10 that they intended to rescind any effort to issue a  
11 violation notice against BAE?

12 A. My reading of this letter doesn't say that they  
13 intend to rescind any notice of violation. It says that  
14 they do rescind notice of Violation 5409.

15 Q. Got it. And it says it was based upon  
16 information you provided, that is BAE, correct?

17 A. Information I provided?

18 Q. No.

19 MR. CARRIGAN: BAE.

20 BY MR. BENSHOOF:

21 Q. The statement is based upon information you  
22 provided, so the City, based upon what BAE told them,  
23 rescinds the notice of violation to BAE; is that how you  
24 understood it?

25 MR. DART: Same objection.

1 THE WITNESS: That's what one of the sentences  
2 in this letter says.

3 BY MR. BENSHOOF:

4 Q. Would you agree with me there is no indication  
5 in this letter that the City did any investigation  
6 whatsoever to determine whether or not BAE's information  
7 was truthful?

8 MS. REYNA: Lacks foundation.

9 THE WITNESS: I don't see that indicated in  
10 this letter.

11 BY MR. BENSHOOF:

12 Q. Are you aware of any documents in the record,  
13 Mr. Carlisle, that reflect the fact that the City did  
14 anything to verify truthfulness of BAE's statements?

15 A. I don't know what statements BAE made.

16 Q. Let's look at -- maybe help refresh your  
17 recollection that BAE blamed SDG&E for the discharge.

18 Let's look at SAR 5339, copy of an e-mail dated  
19 11/21/05 between your staff member, Ms. Honma and  
20 Ruth Kolb.

21 MR. BENSHOOF: That will be next in order.

22 (Exhibit No. 1016 marked for identification.)

23 BY MR. BENSHOOF:

24 Q. Have you seen this piece of the administrative  
25 record before, Mr. Carlisle?

1 A. Yes.

2 Q. And do you know Mr. Shaun Halvax at BAE?

3 A. Yes.

4 Q. So he's -- Ms. Honma is your staff member is --  
5 in her e-mail of 11/17/2005 is talking about a  
6 conversation with him, correct?

7 A. Yes.

8 Q. And he informed your staff member, that is  
9 Mr. Halvax, that the catch basin drained off of SDG&E?

10 MR. DART: Lacks foundation and the document  
11 speaks for itself.

12 BY MR. BENSHOOF:

13 Q. Do you see that?

14 A. I see that in the e-mail you recited.

15 Q. And she is now asking the City whether they did  
16 anything to follow up on that?

17 MS. REYNA: Document speaks for itself.

18 MR. DART: Join.

19 BY MR. BENSHOOF:

20 Q. You see that?

21 A. Yes.

22 Q. And then you see the City's response where  
23 Ms. Kolb tells -- good morning, Lisa, SDG&E was issued  
24 an NOV, correct?

25 A. Yes.



1 Q. You agree with me that there is nothing in that  
2 response that reflects any independent investigation by  
3 the City of the information given to the City by  
4 Mr. Halvax?

5 MS. REYNA: Misstates the document. Document  
6 speaks for itself.

7 MR. DART: Join and assumes facts.

8 THE WITNESS: Would you please read back the  
9 question.

10 (The record was read.)

11 THE WITNESS: Well, I'm hung up on the sentence  
12 that says SDG&E cleaned the catch basin or are in the  
13 process of blah, blah, blah. So that implies the City  
14 might have had more information than is suggested by  
15 these short e-mail exchanges.

16 In addition, if you look at Table 95 of the  
17 DTR, it looks like prior to this November 21 date of  
18 these e-mails, they have got data from October of the  
19 same year. So I inferred that they did do additional  
20 investigation, took samples, or someone did, and they  
21 had access to additional data besides verbal  
22 representations by Mr. Halvax.

23 BY MR. BENSHOOF:

24 Q. Really? Where do you -- so you agree that's  
25 speculation on your part?

1           A.    I agree that this communication is in November  
2 and I see data dated prior to that.  So -- and it's City  
3 of San Diego data.  So it's --

4           Q.    Somebody had data?

5           A.    The City of San Diego had data.

6           Q.    Right.  That wasn't my question.  My question  
7 agreed that there was data.  My question was did the  
8 City of San Diego do anything, to your knowledge, to  
9 test truthfulness of BAE's assertion that the material  
10 sampled came from SDG&E?

11          A.    I don't think that was your question.

12          Q.    That is the question.

13                MR. CARRIGAN:  It is now.

14                THE WITNESS:  The question now?

15 BY MR. BENSHOOF:

16          Q.    Did the City of San Diego --

17          A.    Yes.

18          Q.    -- do anything, to your knowledge, to test  
19 truthfulness of BAE's assertion that the material  
20 sampled came from SDG&E?

21          A.    Yes.

22          Q.    What?

23          A.    It is my understanding, and it is based on what  
24 I'm seeing in Table 95 in my recollection of my  
25 communications with the City back in 2005, which might

1 not be a perfect recollection is that somebody, perhaps  
2 the City, most likely the City, I don't know if BAE or  
3 SDG&E were also involved at that point, but somebody  
4 went to the catch basin.

5           The catch basin had the sample taken from the  
6 catch basin and apparently there is at least two pipes  
7 coming into the catch basin indicated by 6-inch lateral  
8 and 12-inch lateral and somebody took samples from  
9 those. And so that data could be used to -- used to  
10 draw some interpretations about potential sources of the  
11 chemicals found in the catch basin.

12       Q.    Now, was it your understanding that the data  
13 set forth in Table 9-5 was the basis for the NOV?

14       A.    I would have to look at the date of the NOV  
15 versus the date of the data because I'm not sure if one  
16 came before or after the other.

17       Q.    So as you sit here now, you're not sure?

18       A.    Correct.

19       Q.    You see the -- Ruth Kolb states that there is  
20 an investigation in process of trying to determine the  
21 origination of the 6-inch and 12-inch storm drains that  
22 enter the City as catch basin.

23           Do you see that in the e-mail?

24       MR. CARRIGAN: Which exhibit? Oh, in the  
25 e-mail.

1 BY MR. BENSHOOF:

2 Q. 1015. Excuse me, no, 1016.

3 A. Yes.

4 Q. What was the result of that inquiry, do you  
5 know?

6 A. I don't recall.

7 Q. I mean, you stayed -- looking at Section 9.9,  
8 in fact, you state, do you not, that both drains drained  
9 from the SDG&E facility? You state, the first sample  
10 was collected from inside and at the base of the 6-inch  
11 line entering the catch basin from the former Silvergate  
12 Power Plant leasehold.

13 Do you see that?

14 A. Yes, uh-huh.

15 Q. Did the Water Board ever do any inquiry to  
16 determine where on the leasehold that line entered from?

17 A. As I recall, and again, this is from 2005 or  
18 prior to it, my recollection was we relied on the City  
19 of San Diego for that information.

20 Q. And what information did the City of San Diego  
21 give you as to where it originated?

22 A. I don't recall if it was verbally or if it's  
23 anything in the record. I think my -- my recollection  
24 is that when you look in a catch basin and -- and again,  
25 if you are looking at catch basin and you see some pipes

1 coming into it and it's the City of San Diego's MS4  
2 system, they are the best person, best entity to try to  
3 understand whether that pipe outlet they see comes from  
4 this direction or that direction, meaning comes from the  
5 north or the south or the east or the west. And my  
6 guess -- not guess -- my understanding was that the City  
7 then, based on the orientation of the -- of the laterals  
8 coming into the catch basin, suggested it came from the  
9 direction of the SDG&E property or leasehold.

10 Q. Suggested?

11 A. That's the word I chose to use, because I  
12 didn't have any independent information. That's the  
13 impression I got from the work done by the City to find  
14 out who to send a violation to and how to respond to  
15 this pollutants in the catch basin.

16 Q. Did the City ever tell you that they ultimately  
17 determined that the 6-inch line drained from the roof of  
18 the SDG&E facility?

19 A. I don't recall that.

20 Q. Did your staff uncover any PCB sources on the  
21 roof of SDG&E's facility other than airborne deposit  
22 from the shipyards?

23 MR. CARRIGAN: Assumes facts not in evidence.

24 THE WITNESS: I'm confused by the question.

25

1 BY MR. BENSHOOF:

2 Q. Did your -- so you don't know, as a matter of  
3 fact, that that line drained from the roof; is that what  
4 you are saying?

5 A. Correct.

6 Q. You thought it drained from some other portion  
7 of the leasehold?

8 A. I -- no, I thought it was said that I don't  
9 know where it came from. We were relying on the City's  
10 conclusion at one point that it came from the direction  
11 of SDG&E's property.

12 Q. Yeah, but you make a statement of fact in the  
13 DTR that it came from the leasehold.

14 Is it not important to you to know,  
15 Mr. Carlisle, in terms of assigning responsibility for  
16 those discharges that, in fact, that line drained from a  
17 roof?

18 A. We rely on reports submitted by others for a  
19 lot of what our --

20 Q. Okay. I know that.

21 A. May I finish?

22 Q. No, no, but you're not answering my question.

23 I asked you not did you do an investigation,  
24 you clearly didn't. I'm asking you, would it have been  
25 important in the allegations which you supervised and

1 were made in Section 9.9 to know that the 6-inch line  
2 came from a roof as opposed to any other part of the  
3 SDG&E facility?

4 MS. REYNA: Assumes facts.

5 MR. DART: Join.

6 MR. CARRIGAN: I'm going to join that one, too.

7 THE WITNESS: Apparently you don't want me to  
8 expand my answer to take in all of the thought process  
9 that involved in being able to answer your question --

10 BY MR. BENSHOOF:

11 Q. You can answer --

12 A. -- about why --

13 Q. You can answer the question first and then do  
14 whatever you want to do.

15 But I want to know, was it important or not to  
16 you to know in making the allegations, which you did,  
17 against SDG&E in Section 9.9 that that 6-inch line  
18 turned out to have drained from the roof?

19 MR. CARRIGAN: Assumes facts, incomplete  
20 hypothetical.

21 MS. REYNA: Join.

22 MR. DART: Join.

23 MR. CARRIGAN: But you can answer.

24 THE WITNESS: I'm having trouble answering your  
25 hypothetical.

1 BY MR. BENSHOOF:

2 Q. It's actually a fact, Mr. Carlisle, and  
3 obviously nobody at the City ever told you, did they,  
4 that it was determined that the 6-inch line drained from  
5 the roof.

6 Let's start there. It's a fact, isn't it, that  
7 no one from either the City or BAE ever told you that  
8 they ultimately concluded that that 6-inch line drained  
9 from a roof. Yes or no?

10 MS. REYNA: Argumentative.

11 MR. DART: Join. Assumes facts as to "they."

12 MR. CARRIGAN: Join.

13 THE WITNESS: I don't recall ever getting that  
14 information.

15 BY MR. BENSHOOF:

16 Q. Correct. And you did not know that when you  
17 wrote Section 9.9, correct?

18 A. I don't know that today. Which SAR number was  
19 that information on?

20 Q. It is a fact, Mr. Carlisle. We will find it.

21 I'm just wondering, is it standard process,  
22 Mr. Carlisle, for you as a representative of public  
23 agency to take information from clearly an interested  
24 party. You agree here that BAE clearly had a  
25 self-interest in deflecting attention from themselves,



1 don't you?

2 MR. DART: Calls for speculation, lacks  
3 foundation.

4 BY MR. BENSHOOF:

5 Q. They didn't want to be hit with a violation,  
6 right?

7 MR. DART: Same.

8 BY MR. BENSHOOF:

9 Q. I mean, don't your antenna go up a little bit  
10 to ask yourself before making this allegation against  
11 SDG&E, perhaps I should ask is it truthful? Did that  
12 ever occur to you?

13 A. Additional information was brought to bear on  
14 this allegation.

15 Q. And what was that?

16 A. The fact that significant amount of PCBs were  
17 identified in surface materials on SDG&E's property  
18 which would likely have been eroded during rain events  
19 and entered the MS4 system.

20 Q. We are talking about Catch Basin No. 2 --  
21 No. 1, I'm on that right now, Mr. Carlisle. We'll go to  
22 the other later.

23 This is Catch Basin 1. You're making an  
24 allegation against SDG&E that it was responsible for the  
25 releases to that catch basin. Mr. Tobler testified that

1 all you did was take the City's communications at face  
2 value. So we know you didn't do anything to investigate  
3 it.

4 My question, Mr. Carlisle, in making the  
5 allegation in 9.9, can you say or not that it would have  
6 been important for you to know that the 6-inch line  
7 drained from not the ground surrounding the facility,  
8 from not the floor of the facility, from not grounds  
9 adjacent to the facility, but rather from the roof?

10 MR. CARRIGAN: Incomplete hypothetical.

11 BY MR. BENSHOOF:

12 Q. Do you have the question?

13 MR. CARRIGAN: Incomplete hypothetical.

14 MS. REYNA: Assumes facts.

15 MR. DART: Go ahead, assumes facts and  
16 misstates the testimony.

17 THE WITNESS: That would have been useful  
18 information to have.

19 BY MR. BENSHOOF:

20 Q. Right. Because in all likelihood, if there  
21 were any PCBs on that roof, they probably came from the  
22 sandblasting in, you know, operations that you have  
23 described, correct?

24 MR. CARRIGAN: Incomplete hypothetical, calls  
25 for expert opinion, assumes facts not in the record.

1 MS. REYNA: Join.

2 MR. DART: Join.

3 THE WITNESS: No.

4 BY MR. BENSHOOF:

5 Q. Would it also have been useful for you to  
6 know -- what PCB sources, then, are on a roof? Did you  
7 investigate whether there was a PCB source on the roof  
8 of the facility? You never did, did you?

9 MS. REYNA: Objection, still assumes facts.

10 MR. CARRIGAN: Just wait. Okay.

11 THE WITNESS: No.

12 BY MR. BENSHOOF:

13 Q. Now let's move to the other factual assertion  
14 that you make in Section 9.9. And that's this sentence,  
15 "The second sample was collected from inside and at the  
16 base of the 12-inch lateral entering the catch basin  
17 from another area draining storm water from the  
18 facility." And I underscore "from another area draining  
19 storm water from the facility."

20 Do you see that?

21 A. Yes.

22 Q. Would it have been useful for you to know  
23 before making that allegation against SDG&E that an  
24 investigation of the 12-inch line concluded that no one  
25 knew where it was originating from and that that

1 statement that it drained the facility was untrue; would  
2 that have been useful for you to know?

3 MR. CARRIGAN: Incomplete hypothetical.

4 MS. REYNA: Assumes facts not in evidence.

5 MR. DART: Join all.

6 THE WITNESS: Yes.

7 BY MR. BENSHOOF:

8 Q. Did you do any effort to compare the sediment  
9 results from that catch basin to any of the scores of  
10 catch basins in the -- on the BAE property to determine  
11 whether or not the constituents were similar?

12 A. No.

13 Q. Would you have wanted to have known that,  
14 Mr. Carlisle, before alleging that it was SDG&E that was  
15 responsible for the contaminants located in that catch  
16 basin?

17 A. It may or may not have had bearing on --

18 MR. CARRIGAN: Go ahead.

19 THE WITNESS: -- bearing on this section.

20 BY MR. BENSHOOF:

21 Q. You mean to tell me if you found in BAE's own  
22 storm drains and catch basins, and there are 30-some on  
23 their property, the same exact constituents in the same  
24 concentrations, that wouldn't have been important to  
25 you?

1           A.    That's why I said it may or may not.  In your  
2 hypothetical, it would; in another hypothetical, it  
3 wouldn't.

4           Q.    You agree you didn't do anything to test that  
5 out, correct?

6           A.    I think that's been asked and answered.

7           Q.    Now let me go back to the board policy.  Is it  
8 board policies to take the unsubstantiated assertions of  
9 a private party to use as an allegation of  
10 responsibility to another company?

11           MR. CARRIGAN:  Misstates the record.  Misstates  
12 the witness' testimony.  Misstates the document.

13           MR. DART:  Join.

14           MS. REYNA:  Join.

15           THE WITNESS:  I don't -- I don't recall any  
16 policies that provide guidance on that area that you are  
17 probing.

18 BY MR. BENSHOOF:

19           Q.    Would you at least want to go back and rewrite  
20 Section 9.9 considering the most current information on  
21 where the 6-inch and 12-inch lines originated from?

22           MR. CARRIGAN:  Assumes facts not in evidence.

23           MS. REYNA:  Join.

24           MR. DART:  Join.

25

1 BY MR. BENSHOOF:

2 Q. Well, if you don't have it, we'll give it to  
3 you.

4 Would you like to see further data,  
5 Mr. Carlisle, to reconsider the allegations of 9.9 in  
6 light of where, in fact, the 6- and 12-inch lines  
7 originate from?

8 A. The region accepts any and all data to consider  
9 in our actions. We welcome -- especially from a  
10 scientist. The more data, the better; the more facts,  
11 the better. At the time we were working with the  
12 information we had.

13 Q. And Ms. Honma said at Page 84 of her deposition  
14 that not only did you direct her to contact the City,  
15 she affirmed that no one at the Water Board ever  
16 verified that SDG&E was the source of the contaminants  
17 in the catch basin. And I take it you would agree with  
18 that?

19 A. Yeah, we rarely have the resources to  
20 independently confirm information, data that is  
21 submitted to us by -- by anybody.

22 Q. Now, one of the chemicals of concern in the  
23 sediments is TBT, correct?

24 A. Yes.

25 Q. And you don't have any doubt that the exclusive

1 source of all of that contaminant is the marine paints  
2 used as antifouling agents on vessels?

3 A. I'm not an expert on exclusive sources of TBT.  
4 I know that's one of the most common sources.

5 Q. But you don't allege in any portion of the DTR  
6 that SDG&E used antifouling paints on its -- in its  
7 facility, do you?

8 A. No.

9 Q. Now, you have had a lot of experience assessing  
10 environmental conditions. And I want to ask, have you  
11 ever used the technique of collocation?

12 And by that, I mean in attempting to identify  
13 sources, use as a marker a chemical released -- known to  
14 be released from only one of several sources, use that  
15 as a potential way of attempting to define or identify  
16 the source for other chemicals?

17 And I call it collocation. That's what I  
18 have -- that's the term I have read in the literature.  
19 But you may have used some other term.

20 A. Could you repeat the question?

21 Q. Yes. There's a technique that I have read  
22 about in terms of identifying sources that's referred to  
23 as collocation. And that technique involves using  
24 what's called a marker chemical that is known to have  
25 been released from only one source, amongst several

1 potential, and attempting to use that to identify  
2 whether other constituents may have come from that same  
3 source by collocating the concentrations.

4 Now that's what I have read about. That's the  
5 nature of the question.

6 If I'm asking you something you have no  
7 experience in, then, you know, you don't know. But I  
8 mean, do you recognize that as a technique rather  
9 commonly used in the field of environmental assessment  
10 to identify sources?

11 A. I don't recognize that as a technique commonly  
12 used, but I recognize the technique. I have been  
13 involved with projects that discharges have used that  
14 technique and there is a lot of pitfalls with the  
15 application of that technique.

16 Q. Was it used at all in your evaluation of the  
17 Exponent data to attempt to identify the likely source  
18 of PCB impacts?

19 A. Not that I recall. Not with any specificity.

20 Q. If it turned out that PCB hits were collocated  
21 with TBT hits, what conclusion, if any, would you draw  
22 from such a result?

23 MR. CARRIGAN: Incomplete hypothetical.

24 BY MR. BENSHOOF:

25 Q. I know you didn't look at it, but if that's



1 what the data showed, what conclusion, if any, would you  
2 arrive at?

3 MR. CARRIGAN: Same objection.

4 THE WITNESS: My conclusion would be I would  
5 need a whole lot more information about the potential  
6 sources, the timing, the depth, the age, the fate and  
7 transport of the chemicals involved. So I wouldn't -- I  
8 would be -- would need a lot more information before I  
9 get to the point of drawing a conclusion.

10 BY MR. BENSHOOF:

11 Q. So your conclusion would be you wouldn't  
12 dismiss it out of hand, I take it, but you would want to  
13 know more. Is that a fair statement?

14 A. Dismiss it? What do you mean by "it"?

15 Q. The evidence that TBT was collocated in its  
16 highest concentrations with TBT.

17 A. That's not a conclusion. That would be a fact  
18 in a hypothetical situation.

19 Q. Yeah, would you dismiss that out of hand or  
20 would you want to know more before deciding whether that  
21 showed that the PCBs likely came from the same source as  
22 the TBTs?

23 A. Well, I would, as I said, I think previously I  
24 would need a lot more information. No, I consider all  
25 evidence submitted in all situations and then see if

1 more data is needed. So there's a lot of steps before  
2 we get to the point of drawing a conclusion.

3 Q. And you would agree that at no point in this  
4 project did you ever do that, did you ever look to see  
5 whether these, the TBT hits, were collocated with PCB  
6 hits?

7 A. There was a lot of collocation done more with  
8 the mixture of all of the mixture of chemicals at the  
9 site. I don't think there is a specific TBT/PCB  
10 collocation analysis in the DTR.

11 Q. You would agree that TBT would be useful as a  
12 marker for a shipyard-caused contamination, correct?

13 MR. CARRIGAN: Incomplete hypothetical.

14 MR. DART: Join, it's vague.

15 THE WITNESS: Probably in most situations, yes.

16 BY MR. BENSHOOF:

17 Q. And specifically in the situation of the BAE  
18 shipyards that TBT -- you don't know of any other source  
19 for TBT off those shipyards then, the BAE shipyard  
20 operations, correct?

21 A. No, no, incorrect.

22 Q. Oh, what other sources of TBT contamination are  
23 you aware of?

24 A. BGBs, big gray boats, the Navy ships.

25 That's -- sorry, that's a slang term. The large Navy

1 ships that populate a large portion of the bay have a  
2 lot of wetted service and, historically, I am of the  
3 understanding that they had TBT. So there's presumably  
4 background levels of TBT in San Diego Bay that have  
5 nothing to do with NASSCO, BAE or their predecessor.

6 Q. Let's just look at an exhibit to see if you  
7 think these are due to the Navy and not the shipyard  
8 operations.

9 I would like you to look at --

10 MR. BENSHOOF: We'll mark as next in order  
11 Exponent Volume I, SAR 15417. 1017.

12 (Exhibit No. 1017 marked for identification.)

13 BY MR. BENSHOOF:

14 Q. And I would like you to turn to Page --  
15 Figure 4-15 on Page 15807. And you see that that  
16 illustrates concentrations of TBT in the location of the  
17 Southwest Marine shipyard?

18 A. Okay.

19 Q. And the highest concentrations are 2500 to  
20 32500 PCB -- or excuse me, PPBs?

21 Do you see that?

22 MR. CARRIGAN: In the legend?

23 BY MR. BENSHOOF:

24 Q. Correct. And on the figure.

25 A. Well, I can't tell because the three highest

1 values have virtually the same color in the figure.

2 Q. So just take the whole range then, 1,000 to  
3 3250 parts per billion, we'll just go with that whole  
4 range.

5 Do you believe the TBTs shown on this figure  
6 was caused by the big ships or the shipyard operations?

7 A. Most likely it's associated with the shipyard  
8 operations.

9 Q. Right. So this would be a good example of a  
10 marker, correct, something that was caused by only one  
11 source that is the shipyards? Would you agree?

12 A. I would agree that it's highly likely that it's  
13 the majority, the large majority of these concentrations  
14 came from the shipyard activities and immediately  
15 adjacent to these samples.

16 Q. And you recognize the area illustrated as being  
17 including the same area as the high PCB concentrations  
18 detected, correct?

19 A. I would want to do an overlay and actually, to  
20 do this sort --

21 Q. There is a table.

22 A. And to do this sort of analysis, I would much  
23 rather, as a scientist, not see these figures that just  
24 summarize large ranges of concentrations without showing  
25 me individual data points and Exponent did provide those

1 large-scale figures that showed the actual site station  
2 concentration for each parameter.

3 Q. Well, let's go to a report you had that has  
4 individual data points then.

5 MR. BENSHOOF: We'll mark next in order SAR  
6 56453 an SAIC sediment sampling report dated January 13,  
7 1992 as exhibit next in order.

8 (Exhibit No. 1018 marked for identification.)

9 BY MR. BENSHOOF:

10 Q. Now, this was in the administrative record,  
11 Mr. Carlisle. It's a report done by --

12 MR. CARRIGAN: Counsel, is that a copy that --

13 MR. BENSHOOF: Oh, pardon me, I didn't mean  
14 to --

15 MR. CARRIGAN: Thank you.

16 BY MR. BENSHOOF:

17 Q. Do you recall reviewing this in your  
18 contributions to the DTR, this data from the SAIC  
19 sediment sampling report?

20 A. This is -- I am trying to recall it. It's the  
21 title page you handed me says "Appendix C" so this is an  
22 entire SAIC report that was an appendix to a larger  
23 report?

24 Q. I believe so. It's Woodward Clyde appendix to  
25 a larger Woodward Clyde, but if you go to the second

1 page, you will see the title page for this particular  
2 report. I don't want to confuse you by looking at --

3 A. So this is the title of the appendix or the  
4 report that's in the appendix.

5 Q. Prepared by Science Applications International  
6 Corporation.

7 So the question is: Without spending a lot of  
8 time on titles but did you look at any of this at all  
9 before reaching the conclusions which you did in  
10 Section 9 of the DTR?

11 A. I do recall the Woodward Clyde report, which,  
12 presumably, this is a portion of, but without seeing the  
13 report -- this is an appendix, Appendix C no less.

14 Q. We'll take some examples of -- this was -- this  
15 was sampling done around Pier 1 amongst other places in  
16 the BAE shipyard, correct?

17 A. I don't know yet.

18 MR. CARRIGAN: Can you give us a specific page,  
19 Counsel?

20 BY MR. BENSHOOF:

21 Q. Yeah, you can look at -- it's the sampling  
22 locations are illustrated on SAR 6625 to 6626, 6627.

23 Take your time.

24 A. It's probably safe to assume you are correct  
25 that this is Pier 1 at BAE.

1 Q. Let's not assume anything. I mean, take a look  
2 at the executive summary. I don't want any intimations  
3 that I'm --

4 A. I'm scanning it for the word "BAE."

5 Q. Well, BAE is also referred to as  
6 Southwest Marine, correct?

7 A. Yes.

8 Q. So if you scan it for that word, you will see  
9 it in the first sentence, correct?

10 A. Oh, I'm sorry. I overlooked that. Thank you.

11 Q. If you scan the second paragraph for the word  
12 "Pier 1," you will see that?

13 A. Thank you, yeah, I was just a little slow to  
14 orient myself.

15 Q. No, no problem.

16 Now, in fact, this presents -- let's go to --  
17 we're going to go to Page 56572 as an example. There are  
18 several of these. But I want to start there. You see  
19 this shows a transect of -- they're separate pages by  
20 each layer studied, correct? You can see that from --

21 MR. CARRIGAN: Counsel, he is not to that page  
22 yet.

23 THE WITNESS: Okay. I'm on 56572.

24 MR. CARRIGAN: All right. Now you can begin  
25 your questions.

1 BY MR. BENSHOOF:

2 Q. This shows sampling results in the first layer  
3 analyzed in this report. And that you wanted individual  
4 concentrations at individual points. So that's why we  
5 have turned to this document. And you see, do you not,  
6 is it not true that both PCBs and PAHs are collocated  
7 with the TBT hit?

8 A. I'm trying to understand their graphics in this  
9 Page 56572. But it looks like, if I'm reading this  
10 correctly, samples -- is that Sample No. 6.

11 Q. Sample .6.

12 A. And above it, I see a graph or some sort of  
13 graph figure that shows TBT result of 136.9 and above  
14 that a PCB result of 324, et cetera.

15 Am I following?

16 Q. And a pH result of 1820?

17 A. Okay.

18 Q. So this is an example, is it not, at an  
19 individual sampling point, .6 along Pier 1, all three  
20 contaminants are collocated?

21 A. All three contaminants were reported in  
22 apparently that Sample 6.

23 Q. And the same is true for the following page,  
24 Layer 2, the TBT and PAHs are collocated at Sample .6.  
25 And at Sample .7 TBT PCBs and PAHs are all collocated,



1 would you agree?

2 A. For Sample 7, I see TBT and PCB in the sample;

3 Sample 6, I don't.

4 Q. You see TBT and PAH, correct?

5 A. Yes. In Sample 6.

6 Q. So in Layer 2, those are collocated at Points 6

7 and 7, correct?

8 A. Which chemicals?

9 Q. TBT and PAHs are collocated at .6, at

10 Sample .7, TBT, PCBs and PAHs are all collocated?

11 A. Yes.

12 Q. And I mean stop there. Is this the kind of

13 data that you would want to take into consideration, ask

14 further questions about, to determine whether or not the

15 PCB and PAH concentrations identified were, in fact,

16 from the same source, same sole source as contributed

17 the TBT concentrations?

18 A. This would be one element of -- one might use

19 to do as you have described.

20 Q. Now, do you know whether a decision was made

21 not to do that kind of analysis? Or was it just not

22 thought of in connection with putting together the DTR?

23 A. It wasn't necessary.

24 Q. That's a different question.

25 So are you saying that it was thought of but

1 somebody dismissed it as unnecessary?

2 A. No.

3 Q. That's what I thought.

4 In fact, isn't it true that this analysis was  
5 not thought of in connection with putting together the  
6 DTR?

7 MR. CARRIGAN: Calls for speculation, lacks  
8 foundation.

9 BY MR. BENSHOOF:

10 Q. To the extent you know.

11 MR. CARRIGAN: If he thought it.

12 BY MR. BENSHOOF:

13 Q. Well, in your cubical world, you converse with  
14 and interrelate with a lot of people. So in all of  
15 those interrelationships that occurred over ten years in  
16 connection with putting together the DTR, am I correct  
17 that this analysis was not thought of, to your  
18 knowledge?

19 A. Correct, because collocation, as I indicated,  
20 has a lot of pitfalls associated with it. And you need  
21 a lot of information. And it's used to draw certain  
22 conclusions about often allocation and identification of  
23 potential responsible parties versus others. And it  
24 wasn't a line of investigation that we thought was  
25 necessary to support the allegations.

1 Q. Right. But I just want to make sure that no  
2 one sat around and said should we do a collocation  
3 analysis and somebody else said no, don't bother. It  
4 was just never thought of?

5 A. Correct. In this specific instance because  
6 there is collocation used in the DTR.

7 Q. I know that.

8 A. Okay.

9 Q. But in this instance of attempting to determine  
10 whether the shipyards were the sole source of PCB and  
11 PAH impacts, it was not used?

12 A. Correct.

13 MR. BENSHOOF: I'm going to go into a new area.  
14 Are you okay with me continuing?

15 MR. CARRIGAN: I think we're going okay.

16 THE WITNESS: I'm fine.

17 MR. BENSHOOF: I'm trying to do it as fast as I  
18 can.

19 MR. CARRIGAN: Not too fast, Ward.

20 MR. BENSHOOF: That's the rope -- what the rope  
21 is for.

22 MR. CARRIGAN: That's what I was yanking on.

23 MR. BROWN: Can I go for faster?

24 MR. BENSHOOF: Overruled.

25

1 BY MR. BENSHOOF:

2 Q. Now, the SDG&E facility had -- I think in its  
3 description you had mentioned in Section 92 cooling  
4 waterlines, one was an intake line and one was a  
5 discharge line, correct, one in-took water from the bay  
6 and the other discharged water from the bay?

7 A. Yes.

8 Q. And I think you recognized that those were  
9 not -- that was noncontact water with only chlorine  
10 added?

11 A. I think there was some exceptions to that.

12 Q. Well, there was then a discussion of the  
13 discharge of boiler blow-down water. And a recognition  
14 that after 1978, it was tested and treated if necessary.  
15 But prior to 1978, that protocol hadn't been in place?

16 MR. CARRIGAN: DTR speaks for itself.

17 You can answer.

18 MR. BENSHOOF: Fair enough.

19 BY MR. BENSHOOF:

20 Q. My question is really this: Whether or not in  
21 connection with putting together the allegations of  
22 Section 9 against SDG&E, you looked at any of the annual  
23 NPDES reports that SDG&E had submitted to the regional  
24 board summarizing analysis of the waters from the intake  
25 and discharge lines?

1           A.    As I recall, since that was before my time, the  
2 regulation analysis reporting on the intake cooling  
3 water, David Barker was the lead on evaluating whether  
4 we should spend time looking into all of that data.  
5 Apparently there was a lot of data, a lot of water.

6           Q.    I want to just ask a couple of questions.  
7 But -- so you didn't look at the data or direct anybody  
8 to do it yourself?

9           A.    I don't recall. I might have started to do it  
10 or might have been involved a little bit in that. But  
11 again, I don't recall that that was an area I spent much  
12 time in at all, if any.

13          Q.    Go ahead and look through the DTR and let's  
14 just see if you can recall, if you could scan through it  
15 and see if that refreshes your recollection.

16          A.    Is there a particular section of finding 9 that  
17 discussed the cooling water to speed this along for  
18 the --

19          Q.    I'm looking for that.

20          A.    -- for the Court's sake.

21          Q.    9.7.

22                    Just go ahead and review that quickly and I  
23 will ask a couple questions.

24          A.    So looks like we did go through some of the  
25 reports submitted by SDG&E on their process water and

1 compared it to CTI, for example.

2 Q. Right. And we have talked about that.

3 Do you recall whether those same reports showed  
4 a number of occasions where the concentration of copper,  
5 for example, was higher in the intake line than it was  
6 in the discharge line?

7 A. I don't recall.

8 Q. Let's just look at a couple of examples because  
9 I am curious why you think that would happen.

10 MR. BENSHOOF: Please mark this January 28,  
11 1977 SDG&E report as next in order. Again, excerpts.

12 (Exhibit No. 1019 marked for identification.)

13 BY MR. BENSHOOF:

14 Q. This 1019, sir, is an excerpt of a variety of  
15 data that SDG&E reported to the Water Board on  
16 January 28, 1977. And included at the back is a chart  
17 which charted intake and discharge concentrations. And  
18 you see that, like could you turn to table at the  
19 back -- well, I'm at the graph which graphs that summary  
20 data.

21 But you will see, for example, in July 1976,  
22 the intake concentration of copper was higher than the  
23 discharge; in August, it flipped; in September,  
24 discharge was higher than intake; October, the two were  
25 the same; November, the intake concentrations of copper

1 were higher than the discharge concentrations; and  
2 December, they were the same.

3 Do you see that?

4 A. Yes.

5 Q. Would you agree that SDG&E's cooling lines were  
6 obviously drawing in contaminants from the bay?

7 A. I would agree with your observation that on  
8 occasion the intake water had higher concentration or  
9 was reported to have higher copper concentration than  
10 discharge water.

11 Q. And then as an expert, would you conclude from  
12 that fact that the line was -- the reason the line was  
13 measuring higher in the intake line on certain occasions  
14 than it was on the discharge line the fact that it was  
15 taking in copper contamination from the bay?

16 A. I'm just a little hung up on the word  
17 "contamination" because it might just be the background  
18 level of copper in San Diego Bay is around .002.  
19 Perhaps that's a detection limit. That's why maybe some  
20 of these -- a lot of these are pointed -- reported as  
21 .002. And your report doesn't tell me what the  
22 detection limits were. But I'm not disagreeing that  
23 it's the intake water on probably more than one occasion  
24 had a higher concentration than the discharge water.

25 Q. And as I say, you -- as an expert would

1 conclude that the cause for that would be the copper  
2 concentrations in the bay that the lines were taking in?

3 A. Yeah, most likely without knowing where the  
4 samples were taken. But yes.

5 Q. All right. Now my question is: In making the  
6 allegations against SDG&E that appear in 9.7, did you  
7 ask anybody on your staff to look at these data that  
8 were in the record to evaluate the extent to which the  
9 contaminants of concern were, in fact, being taken in  
10 from the bay in the first instance?

11 THE WITNESS: Would you please reread the  
12 question? I want to make sure I can answer it  
13 accurately.

14 (The record was read.)

15 THE WITNESS: No.

16 BY MR. BENSHOOF:

17 Q. As a professional, do you believe that that  
18 should have been done?

19 A. It depends.

20 Q. On what?

21 A. On what we were trying to demonstrate in the  
22 DTR, what the source of the data was, what the sampling  
23 protocols were, what the laboratory protocols were, what  
24 the detection limits were.

25 Q. Let's say that the --



1 A. What our objective was overall.

2 Q. Let's say the objective was to portray fairly  
3 the contributions that SDG&E caused through the cooling  
4 waterlines. In your view, as a professional, if your  
5 objective is a fair portrayal, would it have been  
6 important at all to you to note that concentrations  
7 observed, in fact, were being drawn in from the bay and  
8 not caused by SDG&E?

9 MR. CARRIGAN: Incomplete hypothetical.

10 THE WITNESS: If I'm running a business and I'm  
11 discharging water and the water I take in has an  
12 elevated concentration of a chemical in it and I, in  
13 turn, discharge that water, and it has even a lower  
14 concentration but above what's allowed to be discharged,  
15 I'm in violation.

16 So with that in mind, I'm having trouble giving  
17 you the answer you're expecting. In other words, the  
18 discharger is responsible for their discharge.

19 BY MR. BENSHOOF:

20 Q. So to you it's irrelevant to whether or not  
21 someone under California law is liable for causing a  
22 condition of pollution or nuisance that all they were  
23 doing was, in several instances, lowering the natural  
24 concentration in the bay?

25 A. I didn't say it's irrelevant, no. It would

1 definitely be taken into consideration.

2 Q. But yet you asked nobody to do it?

3 A. And we do it all the time at sites.

4 Q. Okay. But in this instance, you asked no one  
5 to do it, correct?

6 A. No, what I'm -- well, if you want me to expand  
7 on what I meant by doing it all the time or should we  
8 just move on to your next question?

9 Q. Well, I do want an answer to the follow-up  
10 question that I asked. But in this instance you asked  
11 no one to do it, correct?

12 A. Correct.

13 Q. And did anybody at the Water Board, including  
14 Mr. Barker, ask anyone to do it?

15 A. I have no idea.

16 Q. Good suggestion. Next question.

17 I will just keep going, you let me know when  
18 you want a break. I'm actually making good progress.

19 So --

20 MR. CARRIGAN: We don't want to interrupt that.

21 MR. BENSHOOF: Okay. Don't pull that rope.

22 BY MR. BENSHOOF:

23 Q. Would you turn to Table 9.7 in the DTR, please.

24 A. 9-7.

25 Q. 9-7, pardon me.

1           And could you describe what Table 9-7  
2 represents?

3           A.    It's soil-boring results from Pond B for PCBs  
4 and metals.

5           Q.    Okay. And your table reflects the fact that  
6 these are in terms of the PCB column, these were the sum  
7 of Aorchlors detected for Aorchlors 1254 and 1260,  
8 correct?

9           A.    Yes.

10          Q.    And your allegation was that this was evidence  
11 of a discharge by SDG&E to the bay, correct, the fact  
12 that these concentration existed in the soil underlying  
13 a location of a former pond?

14          A.    Those were two different questions. First, it  
15 was evidence of discharge to the bays and then you said  
16 it was evidence of stuff in a pond.

17          Q.    Yeah, let me clean it up.

18                These were soil borings --

19                MS. TRACY: Uh-huh.

20                MR. BENSHOOF: Oh, sorry.

21 BY MR. BENSHOOF:

22          Q.    Soil borings were taken underneath a location  
23 of a former pond that existed on this leasehold,  
24 correct?

25          A.    Yes.

1 Q. And those soil borings were taken in 2004,  
2 correct?

3 A. They were reported in 2004.

4 Q. Incidentally, by that time, 2004, do you know,  
5 Mr. Carlisle, how long the BAE shipyards had been using  
6 that leasehold?

7 A. What do you mean by "that leasehold"?

8 Q. There was a portion of property located  
9 adjacent to the shipyards immediately to the north that  
10 the pond was located on, correct?

11 A. That's my understanding.

12 Q. Now, are you aware that after 1953, that  
13 property was used by the shipyards for their shipyard  
14 activities?

15 A. I knew there was some point in time where some  
16 of that property was used by the shipyard. I don't know  
17 the timing.

18 Q. Would it be important to you to know that,  
19 Mr. Carlisle, in order to know whether or not  
20 concentrations in Table 9-7 were a result of SDG&E's use  
21 of a pond or were the result of the shipyard's use of  
22 that same location?

23 A. That information would play a factor.

24 Q. Because you would agree in your report you're  
25 solely attributing those concentrations to activities of

1 SDG&E, correct?

2 A. Yes.

3 Q. And you would want to consider in order to  
4 prepare -- in order to present a fair picture, you would  
5 want to consider the fact that after 1953, that land was  
6 used by BAE shipyards, correct, you would want to know  
7 that?

8 MR. CARRIGAN: Assumes facts not in evidence.

9 MS. REYNA: Join.

10 MR. CARRIGAN: Incomplete hypothetical.

11 MR. DART: Join.

12 BY MR. BENSHOOF:

13 Q. There are plenty of photos we can go over, but  
14 I do want to finish this.

15 You would want to know that?

16 A. It would be taken into consideration, as I said  
17 before, all the facts and reports, we like to rely on  
18 everything in our disposal.

19 Q. Am I correct that you asked no one on your  
20 staff to investigate the extent to which the area in  
21 which the soil samples were taken was, in fact, utilized  
22 by the BAE shipyards after 1953 or 1954?

23 A. Yes.

24 Q. Now, your table indicates that the Aorchlors  
25 detected were Aorchlors 1254 and 1260, correct?

1           A.    No, it just says that's the sum of the  
2 Aorchlors.  So I would have to dig deeper to make sure  
3 that's 100 percent correct.

4           Q.    You mean if there was some other Aorchlors  
5 there, you wouldn't have reported them?

6           A.    No, I just mean all I have to go on right now  
7 is looking at Footnote No. 2 to Table 9-7 that says it's  
8 the sum of Aorchlors including detected results for 1254  
9 and 1260.

10           MR. BENSHOOF:  Why don't we do this:  Why don't  
11 we take like a five-minute break.  I will try to find  
12 the reference that you relied on to make this table when  
13 we come back so I can -- did you find something that's  
14 relevant?

15           THE WITNESS:  No, I'm just pointing out that it  
16 looks like the reference is ENV America 2004 A.

17           MR. BENSHOOF:  Okay.  Well, let's see if we can  
18 find the reference.

19           MR. CARRIGAN:  Okay.  Let's go off the record.

20           MR. BENSHOOF:  Five minutes and we'll come  
21 back.

22                   (2:24 p.m.)

23                   (A brief recess was taken.)

24                   (2:34 p.m.)

25

1 BY MR. BENSHOOF:

2 Q. Moving right along, Mr. Carlisle, we're back on  
3 the record.

4 I would like you to turn to Page 9.3 of the DTR  
5 where you talk about the historical activities at the  
6 SDG&E Silvergate Substation.

7 A. 9-3.

8 Q. Yes, I keep saying "point." I meant 9-3.

9 You're at that page?

10 A. Yes.

11 Q. And I want you to look in particular at the  
12 third paragraph or second full paragraph where it reads  
13 as follows: "SDG&E reported that the facility had  
14 transformers on-site. The transformers were contained  
15 within concrete sumps as part of the spill prevention  
16 and control plan measures for secondary containment for  
17 oil storage units."

18 Do you see that?

19 A. Yes.

20 Q. And it references to the ENV America report as  
21 support for the containment structures? Is that  
22 correct?

23 A. There we go, I was waiting for the question.

24 Q. Is that correct?

25 A. Yes.

1 Q. And did you ever take into account those  
2 structures in connection with the allegations made  
3 against SDG&E in Section 9?

4 A. Which structures?

5 Q. The containment structures you are referring to  
6 on Page 9-3.

7 A. Take into account in what way?

8 Q. In terms of the allegations made in Section 9.8  
9 of the DTR of unauthorized discharge of toxic pollutants  
10 to land.

11 A. Yes.

12 Q. And why don't you describe how you took those  
13 structures into account before making those allegations  
14 of unauthorized discharge to land.

15 A. Well, let me familiarize myself with the other  
16 section you're referring to. First, I have been looking  
17 at 9.3 which is about historical activity. So it's  
18 just --

19 Q. Saying what was there?

20 A. Setting the stage based on -- I think  
21 ENV America was your consultant.

22 Q. Correct.

23 A. Or SDG&E's consultant so we were just repeating  
24 description of the facility.

25 Q. Okay. Let's just go to that report and make



1 sure we don't have any issue with regard to what they  
2 reported.

3 MR. BENSHOOF: We'll mark this as -- the ENV  
4 report as exhibit next in order.

5 (Exhibit No. 1020 marked for identification.)

6 BY MR. MR. BENSHOOF:

7 Q. It's SAR Document 193272 and I want to refer  
8 you to SAR Page 193281 where the containment, secondary  
9 containment for the oil storage units is described.

10 Are you on that page, Mr. Carlisle?

11 A. Yes.

12 Q. And you will see a description of the  
13 containment structures that were in place at the SDG&E  
14 facility where the transformers were maintained,  
15 correct?

16 A. Yes.

17 MR. BROWN: Excuse me one moment, can we look  
18 at that report?

19 MR. BENSHOOF: No.

20 MR. BROWN: No?

21 Thank you. Just a second, let me look through  
22 these for a second.

23 MR. BENSHOOF: And I'm at Page 193281.

24 BY MR. BENSHOOF:

25 Q. Now, Mr. Carlisle, you don't -- first of all,

1 the containment structures were described and you have  
2 no question with the accuracy of the description, do  
3 you?

4 A. No.

5 Q. Now, did you take that containment structure  
6 system into account at all in making the allegations set  
7 forth in Section 9.8?

8 A. Yes.

9 Q. And please describe how that was done.

10 A. When a facility tells me that they keep  
11 transformers, for example, in a secondary containment  
12 area, it raises a couple major categories of questions.  
13 One is what's the integrity of the secondary containment  
14 area? What's the maintenance of the secondary  
15 containment area? What's the configuration and its  
16 ability to contain any releases, concrete, porous,  
17 there's expansion joints, et cetera.

18 So I have done many sites where I have sampled  
19 under secondary concrete containment areas and found  
20 petroleum hydrocarbons tens of feet into the ground, for  
21 example, at Hewlett Packard facilities, big machine  
22 shops in Palo Alto.

23 And then the other big area that comes to mind  
24 is even if there was evidence or proof that these  
25 secondary containment areas were 100 percent foolproof,

1 the large industrial-type facilities that I have  
2 investigated often store transformers or whatever the  
3 item is in question in additional areas besides, in  
4 other words, not everything tends to go into the  
5 secondary containment area. Maybe there's other areas  
6 that things were stored.

7 Q. Well, you agree that --

8 A. Or they might have been off-loaded off a  
9 vehicle, off a truck, put on the ground, then moved into  
10 the secondary containment area. So you know, there's a  
11 lot of factors is all I'm saying before one might want  
12 to draw a conclusion that, oh, this thing has belt and  
13 suspenders and there no worry about the pants falling  
14 down.

15 Q. And you would apply that same set of skeptical  
16 questions to the transformers existing at the BAE  
17 shipyard, correct?

18 A. If I needed to.

19 Q. What do you mean if you needed to?

20 A. Depending upon the scope of why I would be  
21 investigating the transformers at the BAE facility.

22 Q. Well, would you be investigating them for  
23 purposes of contributing PCB contamination to the  
24 sediments?

25 A. We were investigating BAE to -- investigating

1 the record on the shipyard facilities to see if there  
2 was sufficient evidence to name them in a cleanup and  
3 abatement order. And once we reached that threshold, we  
4 don't keep investigating to the level of detail you are  
5 implying might have been necessary.

6 Q. So because of that, you didn't specifically  
7 focus on the transformers in place at the BAE shipyard  
8 to investigate whether they had any containment at all;  
9 is that accurate?

10 A. You're shifting gears pretty quick on me.  
11 We're looking at a report on SDG&E and now we're talking  
12 about BAE.

13 Q. Right. That's just the way questioning goes  
14 sometimes.

15 A. Okay.

16 Q. So the question is: Because you had already  
17 had enough and they were clearly implicated based on  
18 what you had, you didn't investigate whether or not the  
19 transformers in place at the BAE shipyard were contained  
20 at all in terms of any spill?

21 A. Yeah, my starting point was with the Exponent  
22 data and when you start looking at PCBs results in the  
23 sediment adjacent to the whole site, everybody's noticed  
24 probably that there's higher propensity or a higher  
25 presence of PCBs in the sediment samples at the BAE

1 under the shipyard sediment site, which is where SDG&E  
2 is, than there is at the NASSCO end of the shipyard  
3 sediment site.

4           And so my -- probably wasn't even a formal  
5 hypothesis, but my thought process was that, okay, so  
6 either BAE and their predecessors' activities seem to  
7 have had more releases of PCBs than NASSCO shipyard  
8 operations or there is someone else in the neighborhood  
9 that might have contributed to that.

10       Q.    You know, that's an interesting point you now  
11 raise.

12           Were you unaware of the fact that a good  
13 portion of the NASSCO shipyard had been filled in and  
14 therefore the same types of PCBs releases immediate to  
15 the shipyard would have been covered up?

16           MR. CARRIGAN:  Assumes facts not in evidence,  
17 incomplete hypothetical.

18           MR. BENSHOOF:  Just asking if he is aware of  
19 that as a fact.  I mean, it's not disputed.

20 BY MR. BENSHOOF:

21       Q.    You're saying that there's more  
22 concentrations -- that the NASSCO consultants found that  
23 there were higher concentrations in the north part than  
24 on their client's property, correct?

25       A.    The NASSCO and BAE consultants.

1 Q. And did you know that a good portion of the  
2 NASSCO shipyard had been filled in? With --

3 A. I knew that --

4 Q. -- with high concentrations of PCBs,  
5 potentially covered up?

6 A. I didn't know that there were high  
7 concentration of PCBs that got covered up.

8 Q. Because no one ever looked, right?

9 A. Right.

10 Q. Because by the time they sampled the locations  
11 where those might have been were all covered up. Did  
12 you take that into account in implying that because the  
13 north had higher concentrations, it must have been  
14 because of somebody in the neighborhood? In other  
15 words, could the difference have been, Mr. Carlisle, the  
16 fact that contamination in the south was covered up by  
17 the infilling?

18 By "cover up" I don't mean evilly, I mean, in  
19 fact, it was covered up? Did you take that into  
20 account?

21 MR. CARRIGAN: Misstates testimony.

22 MS. REYNA: Assumes facts, compound.

23 MR. CARLIN: Lacks foundation.

24 THE WITNESS: It is my understanding that this  
25 is a hypothetical and if you're asking if someone has

1 some contamination near shore and then they move the  
2 shoreline out, would that contamination not be there to  
3 be detected in a subsequent investigation, yes, I agree  
4 with that.

5 BY MR. BENSHOOF:

6 Q. And did you take that fact into account in the  
7 suspicions that you developed regarding the north that  
8 you just articulated in your testimony?

9 A. What fact?

10 Q. Of concentrations or of areas of the NASSCO  
11 shipyard being covered up with fill.

12 A. But I haven't heard about any data that got  
13 covered up. In other words, was there data on the  
14 sediments that got covered up as the shoreline was  
15 pushed out.

16 Q. Did you ever ask?

17 A. And my understanding is that -- and throughout  
18 the bay is that the shoreline, you know, the water used  
19 to go all the way to approximately where Harbor Drive  
20 and over the years, shorelines were moved out as  
21 additional land was created. I'm not personally  
22 familiar with facts about how much more was done at  
23 NASSCO versus BAE.

24 Q. Did you ever ask Exponent to do further  
25 investigation in the NASSCO shipyard to attempt to

1 determine the concentrations, if any, of PCBs that were  
2 covered up by fill?

3 A. No.

4 Q. And to you that's irrelevant to determining  
5 whether there is some imbalance between concentrations  
6 found in the south and concentrations found in the  
7 north; is that --

8 MR. CARRIGAN: Misstates.

9 BY MR. BENSHOOF:

10 Q. Is that your testimony?

11 MR. CARRIGAN: Misstates testimony  
12 argumentative.

13 BY MR. BENSHOOF:

14 Q. I mean, you were implying, were you not,  
15 Mr. Carlisle, that concentrations in the north were  
16 suspiciously higher than concentrations in the south?

17 That was your whole point in your comment back  
18 to me a few minutes ago, something strange is going on  
19 because they're a lot higher there and it must be your  
20 client, Mr. Benshoof. That's what you intended to  
21 communicate, correct?

22 MR. CARRIGAN: Misstates testimony.

23 THE WITNESS: No, I think you're overstating  
24 it. I'm just saying, as a scientist, I see higher  
25 numbers here versus there. Theoretically, similar



1 shipyard activities over similar time frame meaning, you  
2 know, a lot years, 75, 100 years. So a scientist tends  
3 to go, wow, why is there higher concentrations down  
4 here? I didn't immediately take that to say it must be  
5 one entity versus another. I just took it as to be  
6 something that is interesting.

7 BY MR. BENSHOOF:

8 Q. It's okay. We can go back to that, but you  
9 mentioned maybe it was somebody in the neighborhood.

10 Who suggested that to you? Would it have been  
11 NASSCO or BAE?

12 A. I -- no, I came to -- it wasn't hard to look at  
13 the concentration map, the reports from Exponent and see  
14 the numbers were higher down there including at depth, a  
15 lot of the high cores.

16 Q. Now let me ask, you mentioned a number of  
17 things you would want to know about a containment  
18 structure to gauge its effectiveness, fair enough?

19 I mean, you went through a number of factors  
20 and I agree with you. That that analysis might be  
21 relevant.

22 Was any of it done with respect to the SDG&E  
23 containment structures? Did you do any evaluation of  
24 its effectiveness?

25 A. No.

1 Q. And so I take it you agree that while in the  
2 section of unauthorized discharge to land, you assert  
3 that any release would have found its way into the storm  
4 system, you agree that that's speculation? You don't  
5 know whether it would have been contained or not?

6 MR. CARRIGAN: Misstates -- go ahead, finish  
7 your question.

8 BY MR. BENSHOOF:

9 Q. Is it fair to say, Mr. Carlisle, that to the  
10 extent there was a release of PCB-containing fluids at  
11 the substation, you don't know whether or not that would  
12 have been contained?

13 A. Depends upon where the release is. You said  
14 the substation. That's -- it was a pretty big facility.

15 Q. In the areas of the data relied upon by  
16 Section 9.8, you had -- you relied on Section 9.8 on  
17 certain data point located within the SDG&E containment  
18 system, correct?

19 A. No, not solely.

20 Q. Well, you don't know, do you, sir?

21 A. 9.8 is about USTs, not your containment system.

22 Q. It isn't about the USTs, it is about sampling  
23 of the soil -- this may be going too far back for you to  
24 remember, but do you recall what that investigation was  
25 that Table 9.4 was based on?

1 A. You said 9.8. Maybe you misspoke.

2 Q. I'm sorry, I meant Section 9.8, which is backed  
3 up with the Table 9.4, and there were certain selected  
4 soil samples taken in connection with a UST storage tank  
5 closure, correct?

6 A. Correct.

7 Q. That data was reported, and you relied on that  
8 data in concluding that there had been an unauthorized  
9 discharge of toxic pollutants to land, correct?

10 A. Correct.

11 Q. Am I correct that you didn't -- you have no  
12 knowledge as to whether and to what extent the 18 soil  
13 samples listed were taken within the SDG&E containment  
14 structure, correct?

15 MR. CARRIGAN: Assumes facts not in evidence.

16 THE WITNESS: I don't know what you mean by the  
17 "SDG&E containment structure." I thought previously we  
18 were talking about the containment structure apparently  
19 made of concrete that's in the ENV report that held the  
20 transformers.

21 BY MR. BENSHOOF:

22 Q. There is an area --

23 A. I didn't know this --

24 Q. The SDG&E or the report relied on by you in the  
25 DTR describes the area of the facility which the

1 containment structure was present in.

2 Do you agree with that?

3 A. The ENV report?

4 Q. Yes.

5 A. A portion.

6 Q. Now, do you know whether or not and to what  
7 extent the soil samples referred to in Table 9.4 were  
8 taken within that area or not?

9 A. I assume they weren't taken within a concrete  
10 containment structure because they were soil samples.  
11 So I'm confused.

12 Q. Okay. So your assumption in making the  
13 allegations in 9.8 was that those sample locations were  
14 outside of any secondary containment structure, yes or  
15 no?

16 A. I don't know.

17 Q. Wouldn't it be material to your conclusion and  
18 your allegation in 9.8 that these were -- that this  
19 ultimately found its way to the storm water conveyance  
20 system as to whether or not they were contained at the  
21 time the release occurred?

22 A. Might be useful depending upon your definition  
23 of containment and the integrity of such containment.

24 Q. And am I correct that in making the allegations  
25 which you did in 9.8 you didn't ask anybody to determine

1 whether or not the releases reflected by that table,  
2 9-4, were, in fact, contained?

3 A. I relied on the reports submitted on behalf of  
4 SDG&E. ENV America and TN & Associates.

5 Q. All right. And you didn't -- take it one more  
6 time, you did not bother to look at where those sample  
7 locations were specific to the containment structures  
8 that you knew existed, correct?

9 A. I didn't know this existed within containment  
10 structures, and I don't think the ENV America report or  
11 the TN & Associates report, especially the TN &  
12 Associates report, which is where this data came from,  
13 shows that these were selected within a containment area  
14 or the containment area or a containment area. You have  
15 me confused about is there one containment area? Are  
16 there multiple containment areas? How were they built?

17 Q. Okay.

18 A. And again, your report, I don't think your TN  
19 report that reports this data gives me a map figure  
20 details about a containment area. It would have made a  
21 difference if you reported that. As I said before, I  
22 consider all information submitted.

23 Q. So it would have made a difference to you if  
24 someone had told you that the sample locations were in  
25 the vicinity or were in -- within the containment

1 structure. Is that what you are saying?

2 A. It depends. Told me or put it in a report or  
3 gave me a lot of details or just gave me a verbal  
4 comment in passing?

5 Q. It would have made a difference because then  
6 that would have tended to suggest that the conclusions  
7 of 9.8 that it would have necessarily found its way to  
8 the storm water system?

9 A. It may or --

10 Q. Would be perhaps inaccurate, correct?

11 A. Perhaps.

12 Q. And you would be interested today in finding  
13 that out, correct?

14 A. We welcome any additional data to help us  
15 improve our draft technical report.

16 Q. You are open to revising Section 9.8 to the  
17 extent that the allegations relied upon or sampling  
18 relied upon can be shown to be within a containment  
19 structure, correct?

20 A. Yes, depending upon the information provided.

21 Q. And further, that the information shown to you  
22 shows that the samples relied on as evidence of a  
23 release were all within the containment structure, would  
24 you recommend that the Water Board withdraw the  
25 allegations in Section 9.8?

1       A.    It would depend upon the detail provided, the  
2 accuracy of the information and the interpretations one  
3 could reasonably draw therefrom.

4       Q.    Now, you talked about your fate and transport  
5 expert, correct?

6       A.    Yes.

7       Q.    And you're aware that there are bioturbation  
8 activities that move sediment contaminants or that cause  
9 the transport of sediment contaminants?

10      A.    Yes.

11      Q.    How did you take into account the effect of  
12 that particular effect, Mr. Carlisle, in making the  
13 allegations which you did in Section 9?

14      A.    That's a good question because we were  
15 concerned about bioturbation changing any  
16 interpretations relative to sample depth versus time at  
17 the site.  And we relied on the SPI data provided by  
18 Exponent that showed the amount, tended to show -- gave  
19 us information about the lack of bioturbation versus the  
20 development of the Benthic, B-e-n-t-h-i-c, communities.

21      Q.    You didn't consider that, though, to be a  
22 definitive analysis of the potential for bioturbation  
23 and the BAE shipyard sites and particularly the near  
24 shore areas in moving contamination around, did you?

25      A.    I'm not sure I understand the question.

1 Q. Bioturbation can transport contaminated  
2 sediments from the location where they are originally  
3 deposited to another location?

4 A. More vertically than laterally.

5 Q. But it can do so laterally as well?

6 A. Maybe not as much compared to the other  
7 transport mechanisms like tides and prop wash.

8 Q. Tides and prop wash would have influenced, in  
9 your expert opinion, the transport of sediment  
10 contaminants within the BAE shipyards?

11 A. In places.

12 Q. And what places?

13 A. Where there's prop wash and --

14 Q. Tidal influence?

15 A. And tidal influence.

16 And you know, tide influence is highly variable  
17 whether you're in a near shore eddy versus a more open  
18 area, whether there is pier pilings, ships in the way,  
19 sunken previously floating dry docks. There is a lot of  
20 factors, configuration of the shoreline, the range of  
21 the tides, the moon, sun.

22 It's complicated. I'm just trying to indicate  
23 it's complicated.

24 Q. I appreciate it is.

25 Do you know whether or not, Mr. Carlisle, that



1 the areas around the discharge point of the SDG&E  
2 cooling line would have been subject to bioturbation or  
3 not, prop wash, tidal influence, moving the davits  
4 around?

5 MR. CARRIGAN: Compound.

6 THE WITNESS: It's likely one or more of those  
7 factors would be happening.

8 BY MR. BENSHOOF:

9 Q. Now, the dredging is also another activity that  
10 affects the fate and transport of contaminants, I think  
11 you would agree?

12 A. Yes.

13 Q. And there have been -- there has been -- there  
14 have been various dredging activities in the vicinity of  
15 the BAE shipyard site that could have influenced the  
16 transport of contaminants, would you agree?

17 A. It's my understanding there haven't been a lot  
18 of dredging activities. But I would agree that any  
19 dredging activities would affect the fate and transport  
20 of the materials.

21 Q. Now, regarding the Section 9.8 that we were  
22 discussing, am I correct that that data that you used to  
23 support the allegation of unauthorized discharge to land  
24 was received by the board after it elected to name SDG&E  
25 as a potentially responsible party?



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Declaration Under Penalty of Perjury

I, CRAIG CARLISLE, the witness herein, declare under penalty of perjury that I have read the foregoing in its entirety; and that the testimony contained therein, as corrected by me, is a true and accurate transcription of my testimony elicited at said time and place.

Executed this \_\_\_\_\_ day of \_\_\_\_\_ 20\_\_, at

\_\_\_\_\_, \_\_\_\_\_.

(city)

(state)

\_\_\_\_\_  
CRAIG CARLISLE

1 STATE OF CALIFORNIA

2 COUNTY OF SAN DIEGO

3

4 I, Lynette Marie Nelson, Certified  
5 Shorthand Reporter, in and for the State of California,  
6 Certificate No. 11585, do hereby certify:

7 That the witness in the foregoing  
8 deposition was by me first duly sworn to testify to the  
9 truth, the whole truth, and nothing but the truth in the  
10 foregoing cause; that the deposition was then reported  
11 by me in shorthand and transcribed, through  
12 computer-aided transcription, under my direction; and  
13 that the above and foregoing transcript, is a true  
14 record of the testimony elicited and proceedings had at  
15 said deposition.

16 I do further certify that I am a  
17 disinterested person and am in no way interested in the  
18 outcome of this action or connection with or related to  
19 any of the parties in this action or to their respective  
20 counsel.

21 In witness whereof, I have hereunto  
22 set my hand this \_\_\_\_\_ day of \_\_\_\_\_ 20\_\_.

23

24

25

\_\_\_\_\_  
Lynette Marie Nelson, CSR No. 11585

26

27

28

29

30

31

<u>\$</u>	258:10,11	12:18 289:15	1954 336:22	2000's 262:24
\$24 186:19,20	1008 154:1 261:14,15	120 151:12	1962 194:9	2001 202:5
<u>0</u>	1009 154:3 267:9,10	123 291:6	1971 288:10	2003 188:14,17 189:13 200:23 201:9,19 286:5
0001376 155:2	100-year 266:3	124 291:7	1972 154:15 248:18	2004 155:5 215:25 216:12 335:1,3,4 337:16
002 330:18,21	101 152:4,8	1254 259:25 334:7 336:25 337:8	1974 258:13 269:17 271:6 278:20	2005 154:16,17 295:7 296:9,16 301:25 303:17
025 273:9	1010 154:6 268:9,11	1260 334:7 336:25 337:9	1976 329:21	2005/2006 226:23
035020 273:3	1011 154:8 269:18,19 278:23	12-inch 302:8,21 310:16,24 312:21 313:6	1977 155:1 329:11,16	2006 154:5 267:7,12
<u>1</u>	1012 154:11 272:24 273:1	13 154:24 282:23 290:3 320:6	1978 327:14,15	200A 152:20
1 153:16 171:16 177:25	1013 154:13 282:22,24	136.9 323:13	1979 217:8 246:3 248:2 263:18 264:14,21,25 265:6 267:21 268:2	2010 271:2
181:13,17	1014 154:16 295:17,21	14 154:16 155:5 295:7 296:9	1982 157:11	2011 149:13 150:13
182:15 183:6	1015 154:17 296:19 303:2	149 149:11	1992 154:24 320:7	208 153:7,12
186:14	1016 154:19 298:22 303:2	15 154:12 185:1 259:16	1999 154:7 200:25 201:19 268:13	20-plus 190:25
189:20,22	1017 154:21 318:11,12	150,000 260:2,10	19-teens 262:23	20s 248:1 262:23
190:6 211:1	1018 154:23 320:8	1533 152:16	1s 240:18,20 248:20 249:22,23 250:25	21 300:17
220:20 224:17	1019 155:1 329:12,14	15417 318:11	1-to-65 171:20,22 172:12,16 173:21 188:21	213-576-1000 152:13
225:7 231:3	1020 155:3 340:5	156 153:5	1-to-66 173:17	22 153:23 154:2
241:13 242:1	105787 229:12,23	15807 318:15		228 153:14
243:12	106107 233:7	16 215:20		230 153:17
249:1,14	10651 231:1	1600 152:4		2-4 229:22,24
250:4,7,11,21	11 153:19 225:12	16th 152:12		249 181:13 183:11
251:5,14,15	1-1 261:24	1700 151:20		25 230:4 231:13 249:20
308:21,23	11/17/2005 299:5	18 296:16 350:12		2500 318:19
321:15,25	11/21/05 298:19	1800 150:14 151:8		253-627-8131 152:17
322:12 323:19	11/21/2005 154:20	1820 323:16		256 153:20
1,000 176:2 319:2	11:09 255:5	1900s 194:8		258 153:22
1,000-page 228:6	11:25 255:7	191 153:6		26 154:24
1:18 289:17	110 151:12	1914 247:25 248:18 262:11		26.3 182:16
10 149:13 150:13 153:20 154:7 183:1 185:21 220:20 259:15,16 268:23 286:23 287:17	11585 149:16 150:15 359:19	1920 247:24,25		261 154:1
10:00 208:6	11585,do 359:5	1920s 241:1,6 247:22 263:8		267 154:3
100 151:4 183:1,12 247:8,9 282:14 337:3 341:25 348:2	116 215:20	1929 248:1 263:13		268 154:6
1003 153:12 208:7,16	117 215:20	193272 340:7		269 154:8
1004 153:14 228:18,19	12 189:4 268:23	193281 340:8,23		27 154:22
1005 153:17 230:19,22 255:23		1950 268:20		
1006 153:20 256:5		1953 335:12 336:5,22		
1007 153:22			<u>2</u>	
			2 159:3 176:1 181:10 190:6 211:2 224:17 225:17 256:4,14 257:5,10 308:20 323:24 324:6 337:7	
			2:24 337:22	
			2:34 337:24	
			20 185:7 231:13 268:24 281:1 287:17 288:13 358:11 359:15	
			2000 154:12 271:2 272:25 286:6	



	233:10 249:23 254:10,13 271:5 343:9 358:7	193:17 217:9 278:15 293:1 300:16	193:11 290:19 291:18 295:24 297:2,11 306:17 308:10,24 310:23 327:22 331:6 339:3	354:13 <b>allege</b> 314:5 <b>alleged</b> 290:8 <b>alleging</b> 311:14 <b>allocate</b> 220:21 <b>allocation</b> 238:12 325:22 <b>allow</b> 235:9 <b>allowed</b> 332:14 <b>Alo</b> 174:10,11 177:6 <b>already</b> 189:5 217:17 236:5,12 249:4 260:8 343:16 <b>Alston</b> 152:11 208:13 <b>altered</b> 190:21 <b>alternative</b> 157:6 165:8,18,25 <b>alternatives</b> 157:7,8 <b>Alto</b> 341:22 <b>am</b> 187:20 224:8 226:23 227:10,19 246:7 270:3 276:19 293:9 318:2 320:20 323:15 325:16 329:9 336:19 350:11 351:24 356:22 359:11 <b>Amended</b> 153:12 <b>America</b> 337:16 338:20 339:21 352:4,10 <b>among</b> 277:9 <b>amongst</b> 221:12 240:17 262:6 314:25 321:15 <b>amount</b> 163:24 181:16 285:15 294:22 308:16 354:18 <b>amounts</b> 265:21 266:9,20 <b>ample</b> 233:24 <b>analyses</b> 157:10 158:4 180:20 <b>analysis</b> 158:25	
<b>A</b>					
<b>a.m</b> 150:13 172:4,6 191:12,14 208:4,6 255:5,7	<b>accurately</b> 240:21 241:13 331:13	<b>additional</b> 163:19 170:19 178:16 198:13 199:20 216:8 236:11 300:19,21 308:13 342:3 346:21 353:14	<b>age</b> 264:11 316:6 <b>agencies</b> 256:8,9 <b>agency</b> 154:6 210:1 269:17 270:20 271:19,24 283:2 307:23 <b>agents</b> 268:21 269:13 314:2 <b>ago</b> 189:13 222:15 228:5 247:23 282:14 347:18 <b>agreed</b> 264:9 272:15 301:7 <b>ahead</b> 172:24 241:18 245:14 254:6,13 279:12 283:3 293:21 309:15 311:18 328:13,22 349:6 <b>airborne</b> 304:21 <b>al</b> 200:23,25 201:6,19,25 202:3,7 258:7 <b>allegation</b> 308:10,14,24 309:5 310:23 312:9 334:10 351:18 356:23 <b>allegations</b> 192:6,16,24 193:11 194:7,13,16 195:7,16 196:2,9 198:23 199:16,19 225:19 285:25 290:7 292:11,20 305:25 306:16 313:5 325:25 327:21 331:6 339:2,8,13 341:6 351:13,24 353:17,25	<b>across</b> 287:23 <b>act</b> 232:24 <b>action</b> 165:7,18,25 166:4 200:8 244:19 359:12 <b>actions</b> 313:9 <b>active</b> 190:8 <b>activities</b> 252:3,15 253:21 260:14 262:16,25 263:1,4 265:24 271:9 287:5 319:14 335:14,25 338:5 344:6 348:1 354:8 356:14,18,19 <b>activity</b> 266:5 267:2 339:17 356:9 <b>actual</b> 171:13 182:21 189:24 199:21 275:17 320:1 <b>actually</b> 158:10 168:2 185:24 190:3,10 196:22 197:2 200:6 202:10 242:22 261:4 273:23 307:2 319:19 333:18 <b>add</b> 201:8 218:3 243:24 244:5,9 254:9 <b>added</b> 178:1 268:21 269:8 327:10 357:3 <b>adding</b> 234:5 <b>addition</b> 163:17	<b>addresses</b> 211:10 <b>addressing</b> 210:3,4 <b>adequacy</b> 250:7 251:20 <b>adequate</b> 243:11 <b>adjacent</b> 188:23 241:24 266:16 289:3 309:9 319:15 335:9 343:23 <b>adjudicate</b> 160:24 <b>administrative</b> 175:18,22,23 176:4,9,14 177:13 180:4 183:19 184:4,20,22,2 5 186:16,25 209:5 269:25 272:23 283:7 284:12 295:22 298:24 320:10 <b>admonished</b> 235:10 <b>admonitions</b> 289:21 <b>advised</b> 292:10 294:19 <b>A-E</b> 153:19 <b>aerial</b> 266:21 <b>affect</b> 356:19 <b>affecting</b> 262:4 <b>affects</b> 356:10 <b>affirmed</b> 313:15 <b>afternoon</b> 289:19 <b>afterward</b> 211:19 <b>against</b> 192:17

159:15 160:19 162:24,25 166:25 171:15 173:7,8,13 174:6,8 180:15 187:4 188:19 190:23 214:16 317:10 319:22 324:21 325:4,17 326:3 327:24 328:2 348:20 354:22 <b>analyzed</b> 159:21 161:14 285:18 323:3 <b>analyzing</b> 171:13 <b>Anchor</b> 175:6,8,9,15 176:6,22 177:2,5,10 179:22 180:3 186:21,22 188:14,15 <b>A-n-c-h-o-r</b> 176:24 <b>and/or</b> 187:13 <b>Angeles</b> 152:12 <b>annual</b> 154:12 155:1 327:22 <b>answer</b> 163:13,15 164:20 165:1,2 166:2 169:6 171:24 184:13 189:9,11 198:24,25 201:8,10 202:12 203:12,16,19, 21 204:3 218:19 219:25 222:2,5,10,13 223:7 224:11,13,16 227:1,2,7 234:17 235:1,20 236:1,23 237:20 240:5 242:20,23 244:13 245:9,15 250:16 251:16 253:12 254:9,11,17,2 0 256:16 278:12 280:24	306:8,9,11,13, 23 327:17 331:12 332:17 333:9 <b>answered</b> 168:13,16 169:11 187:1,2,11 205:11,14 216:16 234:23 236:6 253:13 254:4 275:4 312:6 <b>answering</b> 224:13 235:2 249:18 305:22 306:24 <b>antenna</b> 308:9 <b>antifouling</b> 153:23 258:9,20,23 270:13 314:2,6 <b>anybody</b> 226:17 244:8 275:9 293:13 313:21 328:7 331:7 333:13 351:25 <b>anyone</b> 333:14 <b>anything</b> 157:20 179:24 187:5,7 201:23 236:10 257:16 284:20 292:11,20 298:14 299:16 301:8,18 303:23 309:2 312:4 322:1 <b>anyway</b> 189:11 <b>anywhere</b> 177:9 <b>Aorchlor</b> 231:12 259:25 <b>Aorchlors</b> 334:7 336:24,25 337:2,4,8 <b>apologizing</b> 296:25 <b>apparently</b> 164:8 179:7 293:23 302:6 306:7 323:22 328:5 350:18 <b>appear</b> 331:6 <b>APPEARANCE</b>	S 151:1 <b>appears</b> 201:18 202:4 231:25 233:1 296:6 <b>appendices</b> 153:19 159:2 182:10 184:3,19 186:2 188:7 228:6 <b>appendix</b> 154:23 159:4,11 172:20,21 175:24 179:8,12 183:23 184:7,15 188:12 320:21,22,24 321:3,4,13 <b>applicable</b> 276:10 <b>application</b> 315:15 <b>applications</b> 240:15 257:12 265:7 321:5 <b>applied</b> 157:18 280:15 286:15 <b>applies</b> 203:24 <b>apply</b> 161:1 278:3 289:21 342:15 <b>applying</b> 158:11 193:5 220:3 225:13 242:5 243:10 248:19 249:17 250:6,23,24 <b>apportion</b> 238:13 <b>appreciate</b> 161:4 176:21 179:14 180:16 218:18 221:18 226:21 256:16 355:24 <b>approach</b> 220:12,15 <b>approaching</b> 247:11 265:3 <b>appropriate</b> 216:19,21 229:3 <b>approvals</b> 188:10 189:18	<b>approximately</b> 194:9 263:18 280:14 346:19 <b>aquatic</b> 162:23 163:2 <b>ARCOs</b> 158:16 <b>area</b> 156:16 157:12 166:23 170:5 176:7 177:17,19 178:2,5,9,10 181:21 189:5,6 192:3 195:25 199:8,14 202:9,10,19,2 0 203:8,9 204:3 206:18 282:3 286:22 310:17,18 312:16 319:16,17 326:13 328:11 336:20 341:12,14,15, 23 342:5,10 350:22,25 351:8 352:13,14,15, 20 355:18 <b>areas</b> 156:15,24,25 157:15,23 188:25 191:25 194:20 198:8,13,18 199:3,5,10,12 206:2 288:25 341:19,25 342:3,5 346:10 349:15 352:16 354:24 356:1 <b>aren't</b> 168:12 <b>argue</b> 250:15 <b>argumentative</b> 242:2 293:16 307:10 347:12 <b>aromatic</b> 253:22 <b>arrive</b> 316:2 <b>arrived</b> 182:12 224:24 <b>arsenic</b> 285:18 <b>articulated</b> 346:8 <b>Artificial</b> 154:4	267:14 <b>Ash</b> 152:8 <b>Aside</b> 199:17 204:14 <b>aspect</b> 214:1,5 237:12 284:21 294:24 <b>aspects</b> 193:13 <b>assembling</b> 209:5 <b>assert</b> 349:2 <b>assertion</b> 230:18 301:9,19 310:13 <b>assertions</b> 226:3 312:8 <b>assess</b> 295:23 <b>assessed</b> 167:4 <b>assessing</b> 314:9 <b>assessment</b> 163:4,19 165:4,6,11,22, 24 166:9 169:23 239:24 315:9 <b>assessments</b> 239:25 <b>assigned</b> 215:18 <b>assigning</b> 305:15 <b>associated</b> 154:13 178:18 193:18 244:24 245:20 249:11 260:14 266:6 267:2 319:7 325:20 <b>Associates</b> 352:4,11,12 <b>associating</b> 262:23 <b>assume</b> 168:4,5 172:13 174:6 175:18 177:22 178:25 180:5 181:15 182:9 184:24 187:25 188:3 192:13 197:12 199:23 227:8 257:6 264:17 274:18 321:24 322:1 351:9 <b>assumed</b> 276:19
---	--	---	---	--



<b>assumes</b> 234:15 291:21 293:17 300:7 304:23 306:4,19 307:11 309:14,15,25 310:9 311:4 312:22 336:8 344:16 345:22 350:15	262:15 265:23 266:13 269:12,14 270:16 271:10,18,24 272:2,7 280:17 281:6,15,19,2 2 298:12 317:23 335:12 344:18 354:7	317:17,19 318:5 321:16,25 322:4,5 335:5 336:6,22 342:16,21,25 343:7,12,19,2 5 344:6,25 346:23 348:11 354:23 355:10 356:15	299:9 300:12 302:4,5,6,7,11, 22 303:11,24,25 304:8,15 308:20,23,25 310:16 311:9,16 313:17	352:3 <b>believe</b> 156:17 163:19 167:20 168:2 171:22 177:1 181:1 186:24 187:2,23 188:12,14 195:23 199:17 200:7,12 211:1 217:8 218:5 219:1 254:19 269:16 270:1 274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17
<b>assuming</b> 268:24	<b>away</b> 189:23	<b>BAE's</b> 240:10	<b>basins</b> 198:4 311:10,22	200:7,12 211:1 217:8 218:5 219:1 254:19 269:16 270:1 274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17
<b>assumption</b> 351:12	<b>awful</b> 277:8	292:11,20 295:7 298:6,14 301:9,19 311:21	<b>basis</b> 182:1 207:9 215:24 220:4 302:13	270:1 274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17
<b>assumptions</b> 179:5 186:15,17,18 187:8,10 188:20 190:19,22	<hr/> <b>B</b> <hr/>	<b>ban</b> 246:3 267:21	<b>bay</b> 154:14 201:3,21 202:10 203:9 204:18 207:1,6 234:1,14 237:1,15 238:5,16,22 270:10 274:23 277:7 281:7,17,24 282:1 285:17 286:25 287:7,18,21 288:25 290:10 318:1,4 327:5,6 330:6,15,18 331:2,10 332:7,24 334:11 346:18	274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17
<b>assumptions</b> 179:5 186:15,17,18 187:8,10 188:20 190:19,22	<b>BI-7</b> 230:25	<b>banned</b> 248:2 263:17,20,23 264:14	<b>basins</b> 198:4 311:10,22	274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17
<b>attempt</b> 285:14 315:17 346:25	<b>backed</b> 350:2	<b>bar</b> 181:1	<b>basis</b> 182:1 207:9 215:24 220:4 302:13	274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17
<b>attempting</b> 275:11 314:12,15 315:1 326:9	<b>background</b> 157:14 159:17,22 161:15 165:7,18,25 166:10 177:18,21 180:19 183:12 208:24 225:20 241:1,5 257:17 318:4 330:17	<b>barge</b> 190:3	<b>basins</b> 198:4 311:10,22	274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17
<b>attention</b> 268:16 307:25	<b>backward</b> 220:16	<b>Barker</b> 180:13 192:18 194:22,23 195:11,14,18, 23 211:2 212:8,25 213:6,7,15 221:16 224:17,25 225:7 226:20 227:15 328:3 333:14	<b>basins</b> 198:4 311:10,22	274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17
<b>attorney</b> 150:12 156:7 221:21 250:14	<b>bad</b> 236:21	<b>base</b> 209:10 218:14 248:24 303:10 310:16	<b>basins</b> 198:4 311:10,22	274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17
<b>attorneys</b> 191:22 225:18 245:14	<b>BAE</b> 151:18 177:11 179:2 212:14,15 217:2 218:15 219:3,16,17,2 3 220:5 226:1 234:13 238:8 242:7,17 243:15,23 244:15,18 262:7,10,20 263:24 264:1,5,9,21 265:1,4,24 266:13 268:2 275:11 291:16 293:5,8,14 294:6,10,21 295:6,24 296:8,12,15,2 3 297:2,9,11,16, 19,22,23 298:15,17 299:2 302:2 307:7,24 311:10	<b>based</b> 169:14,24 170:6,24 171:4 190:25 216:10 217:21 219:9 220:9 231:25 236:9 262:22 272:14 280:23 297:15,21,22 301:23 304:7 339:20 343:17 349:25	<b>basins</b> 198:4 311:10,22	274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17
<b>attributed</b> 239:5	<b>backward</b> 220:16	<b>bases</b> 206:4 207:20	<b>basins</b> 198:4 311:10,22	274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17
<b>attributing</b> 335:25	<b>BAE</b> 151:18 177:11 179:2 212:14,15 217:2 218:15 219:3,16,17,2 3 220:5 226:1 234:13 238:8 242:7,17 243:15,23 244:15,18 262:7,10,20 263:24 264:1,5,9,21 265:1,4,24 266:13 268:2 275:11 291:16 293:5,8,14 294:6,10,21 295:6,24 296:8,12,15,2 3 297:2,9,11,16, 19,22,23 298:15,17 299:2 302:2 307:7,24 311:10	<b>basically</b> 211:25 291:14 296:25	<b>basins</b> 198:4 311:10,22	274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17
<b>August</b> 154:12 272:25 329:23	<b>bad</b> 236:21	<b>basin</b> 194:1 277:12,13 290:8 291:13 292:21 294:1	<b>basins</b> 198:4 311:10,22	274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17
<b>author</b> 194:11,15,18 257:1	<b>BAE</b> 151:18 177:11 179:2 212:14,15 217:2 218:15 219:3,16,17,2 3 220:5 226:1 234:13 238:8 242:7,17 243:15,23 244:15,18 262:7,10,20 263:24 264:1,5,9,21 265:1,4,24 266:13 268:2 275:11 291:16 293:5,8,14 294:6,10,21 295:6,24 296:8,12,15,2 3 297:2,9,11,16, 19,22,23 298:15,17 299:2 302:2 307:7,24 311:10		<b>basins</b> 198:4 311:10,22	274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17
<b>authority</b> 225:23	<b>backward</b> 220:16		<b>basins</b> 198:4 311:10,22	274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17
<b>authorship</b> 195:3	<b>BAE</b> 151:18 177:11 179:2 212:14,15 217:2 218:15 219:3,16,17,2 3 220:5 226:1 234:13 238:8 242:7,17 243:15,23 244:15,18 262:7,10,20 263:24 264:1,5,9,21 265:1,4,24 266:13 268:2 275:11 291:16 293:5,8,14 294:6,10,21 295:6,24 296:8,12,15,2 3 297:2,9,11,16, 19,22,23 298:15,17 299:2 302:2 307:7,24 311:10		<b>basins</b> 198:4 311:10,22	274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17
<b>available</b> 168:6 171:13 197:8 199:2 213:9 271:25 287:2 357:2	<b>backward</b> 220:16		<b>basins</b> 198:4 311:10,22	274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17
<b>average</b> 181:22 182:1,4	<b>BAE</b> 151:18 177:11 179:2 212:14,15 217:2 218:15 219:3,16,17,2 3 220:5 226:1 234:13 238:8 242:7,17 243:15,23 244:15,18 262:7,10,20 263:24 264:1,5,9,21 265:1,4,24 266:13 268:2 275:11 291:16 293:5,8,14 294:6,10,21 295:6,24 296:8,12,15,2 3 297:2,9,11,16, 19,22,23 298:15,17 299:2 302:2 307:7,24 311:10		<b>basins</b> 198:4 311:10,22	274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17
<b>aware</b> 246:2,7,15 247:3,14 258:4 259:12 260:6,9,12,22 261:8,10	<b>backward</b> 220:16		<b>basins</b> 198:4 311:10,22	274:1,9,11 280:17 284:16 287:22 291:2,5 319:5 320:24 331:17

263:5,13,16 267:9,11 268:9,12 269:18,22 273:2,13 275:7 276:13 278:23,24 279:12,16,23 283:1 285:1,4,6 289:12,18 292:1,16 293:3,11 294:2 295:1,9,13,19, 20 296:3,17,20 297:6,20 298:3,11,21,2 3 299:12,19 300:23 301:15 303:1 305:1 306:10 307:1,15 308:4,8 309:11,19 310:4,12 311:7,20 312:18 313:1 315:24 316:10 317:16 318:10,13,23 320:5,9,13,16 321:20 323:1 325:9,12 326:13,17,20, 24 327:1,18,19 329:10,13 331:16 332:19 333:21,22 334:20,21 336:12 337:10,17,20 338:1 340:3,6,19,23, 24 344:18,20 346:5 347:9,13,20 348:7 349:8 350:21 356:8 357:6  <b>Benthic</b> 354:20 <b>B-e-n-t-h-i-c</b> 354:20 <b>besides</b> 300:21 342:3 <b>best</b> 154:3 179:2 221:11 267:13 304:2	<b>better</b> 158:24 165:15 179:24 185:7 216:25 217:13,22 238:11 313:10,11 <b>beyond</b> 202:17 204:2,7 205:15 254:6 267:3 <b>BGBs</b> 317:24 <b>biased</b> 272:13 <b>bigger</b> 172:22 <b>billion</b> 231:14,19 319:3 <b>bioturbation</b> 354:7,15,19,2 2 355:1 356:2 <b>biphenyls</b> 153:20 258:8 259:6 260:19 <b>BIRD</b> 152:11 <b>Birmingham</b> 151:12 <b>bit</b> 169:18 189:22 213:8 254:22 308:9 328:10 <b>black</b> 197:16 <b>blackboard</b> 261:20 <b>blah</b> 300:13 <b>blamed</b> 298:17 <b>blasted</b> 271:14 <b>blasting</b> 246:16 265:20 266:1,4,9,14 267:1 <b>blind</b> 217:22 <b>blow-down</b> 327:13 <b>blown</b> 286:24 <b>blur</b> 213:8 <b>board</b> 149:1 150:1 151:2,3 154:9 158:5,20 159:20,24 160:3,6,12,17, 18,23,25 162:14 210:5,6,12,18 214:25 215:22	216:6,13,21 229:13,17 232:24 238:12 239:14 243:11 269:24 273:16 274:2 283:5 286:21 288:9 291:18 293:7,13 294:9 303:15 312:7,8 313:15 327:24 329:15 333:13 353:24 356:24 357:3 <b>Board's</b> 287:17 <b>boat</b> 259:17 261:5 <b>boats</b> 260:20 317:24 <b>body</b> 160:24 <b>boiler</b> 327:13 <b>borings</b> 334:18,22 335:1 <b>bother</b> 326:3 352:6 <b>bottom</b> 169:2 259:5 260:19 273:9 285:15 <b>Box</b> 151:4 152:16 <b>break</b> 172:3,10 186:10 191:8 252:21 254:23 279:1 285:8 289:12 295:15 333:18 337:11 <b>brief</b> 163:23 172:5 191:13 208:5 209:14 255:6 337:23 <b>brieger</b> 254:24 <b>briefly</b> 208:3 267:6,8 268:8 <b>bright</b> 223:24 <b>bring</b> 170:19 229:2 290:17 <b>bringing</b> 170:23 <b>broad</b> 159:19 <b>broader</b> 263:2 <b>Broadway</b> 150:14 151:8 152:4	<b>broke</b> 178:12 <b>brought</b> 159:7 255:21 308:13 <b>Brown</b> 151:11,12 192:1 223:10 284:24 285:2 326:23 340:17,20 <b>Brown's</b> 200:5 207:3 <b>build</b> 290:18 <b>built</b> 352:16 <b>bulk</b> 213:25 <b>bulky</b> 229:7 <b>bullet</b> 202:1 244:1 <b>bunch</b> 218:20 <b>business</b> 240:2,3,21 332:10 <b>Byphenyls</b> 153:22 <b>Byrd</b> 208:13 <hr/> <b>C</b> <hr/> <b>CA</b> 151:4,9,13,17, 21 152:4,8,12,20 155:2 <b>calculate</b> 275:17 286:8 <b>calculated</b> 182:20 185:21 <b>calculation</b> 181:20,25 182:19 183:1,3 185:4,8 <b>calculations</b> 176:7 177:8 182:11,17,22 183:5,17,22 184:3,8,16,23 185:15,17,25 <b>California</b> 149:1,12 150:1,14,16 155:5 157:3 192:21 197:18,24 258:15 260:20 276:2,6,9 332:21	359:1,4 <b>Campbell</b> 152:14 212:18 218:16 219:3 <b>capture</b> 282:19 <b>Cardiff-by-the-Sea</b> 151:13 <b>Carlin</b> 151:7 192:1,4,12 196:12 345:23 <b>Carlisle</b> 149:10 150:11 153:2,13 156:1,6 161:2 191:16,21 196:8 208:11,25 216:2 221:7 222:8 223:17 224:7 226:22 228:21 229:11 233:6 234:8 237:13,24 241:11 246:3 255:9 256:7 258:13 261:22 265:14 270:2 275:10 283:3 285:8 289:19,23 294:5 295:22 297:8 298:13,25 305:15 307:2,20,22 308:21 309:4 311:14 313:5 320:11 335:5,19 338:2 340:10,25 345:15 347:15 349:9 354:12 355:25 357:7 358:4,19 <b>carries</b> 156:13 <b>Carrigan</b> 151:3 159:23 160:22 164:5 166:11 168:13,16,22 171:25 172:3,23 179:23 180:13 182:25 183:7,24 187:1,19 189:8 191:10 193:1 196:6 202:11
---	---	---	---	---

203:2,10 207:12 208:2,20 216:16 228:25 229:5 232:5,8 235:3,5,15,18 236:22 239:18 241:15,18,25 242:11,18 243:7,16 245:2,23 248:7,25 249:3,4,8 250:1 251:3,10,21 252:10,16,19 253:13 254:25 260:21 263:10,14 268:10 273:10 276:12 278:21 279:8,13,19,2 2 289:14 291:23 293:20 297:5,19 301:13 302:24 304:23 306:6,19,23 307:12 309:10,13,24 310:10 311:3,18 312:11,22 315:23 316:3 317:13 318:22 320:12,15 321:18 322:21,24 325:7,11 326:15,19,22 327:16 332:9 333:20 336:8,10 337:19 344:16 345:21 347:8,11,22 349:6 350:15 356:5  <b>Carrigan's</b> 249:18 255:11  <b>carry</b> 186:1  <b>case</b> 181:23 209:10 290:18  <b>cases</b> 212:3  <b>catch</b> 194:1 198:4 290:8 291:13 292:21 293:25 299:9 300:12 302:4,5,6,7,11,	22 303:11,24,25 304:8,15 308:20,23,25 310:16 311:9,10,15,2 2 313:17  <b>categories</b> 192:3 252:15,18 341:12  <b>categorizing</b> 257:12  <b>category</b> 193:19  <b>cause</b> 294:11 331:1 354:8 359:7  <b>caused</b> 238:16 266:20 267:2 281:20 319:6,10 332:3,8  <b>causing</b> 200:11 332:21  <b>CD</b> 159:12  <b>centimeters</b> 273:8  <b>CERCLA</b> 157:16,17 236:16  <b>certain</b> 181:16 202:9 204:18 205:17 244:10 258:24 259:18 281:21 290:7 294:22 325:21 330:13 349:17 350:3  <b>certainly</b> 196:7 198:12  <b>certainty</b> 265:4  <b>Certificate</b> 155:8 359:5  <b>Certified</b> 150:15 359:4  <b>certify</b> 359:5,11  <b>cetera</b> 178:17 253:23 323:14 341:17  <b>CFR</b> 154:6  <b>Chadwick</b> 200:24 201:19 204:15  <b>chain</b> 213:3,11  <b>chance</b> 230:17	279:19  <b>change</b> 190:22,24 192:14 232:22 271:1 278:9,10  <b>changed</b> 190:21  <b>changes</b> 214:18  <b>changing</b> 354:15  <b>chapters</b> 163:20,21  <b>characterization</b> 192:11 196:18 264:23  <b>characterize</b> 185:9 233:22  <b>characterized</b> 164:21 249:9  <b>characterizing</b> 293:24  <b>charge</b> 223:20  <b>chart</b> 167:7,14 172:21 173:2,10,15,1 7 174:21,23 175:1,12 329:16  <b>charted</b> 329:17  <b>chase</b> 251:17  <b>check</b> 170:14 226:2  <b>chemical</b> 197:21 238:23 239:14 240:1,14,21 241:21,22 249:23 252:4 255:22 276:16 277:5,6,17,18 286:17 314:13,24 332:12  <b>chemicals</b> 181:22 204:25 241:14 243:13,15,20, 23 252:1,2,4,6 253:15,19 256:11 276:7 286:9 302:11 313:22 314:16 316:7 317:8 324:8  <b>chemistry</b> 169:9 170:7 171:3,5	207:14 278:10  <b>chip</b> 273:5 274:17  <b>chips</b> 246:16,21 265:22 266:10 271:14,21 272:9,20,21 273:17,18,22 274:7,22 275:14  <b>chloride</b> 244:7  <b>chlorine</b> 327:9  <b>Chollas</b> 193:20 194:13 199:15 200:10 201:3,13,21 202:8,9,15,21 203:8 204:3,6,17 205:7,9,11,23 206:5,7,10,12, 15,18,22 278:8  <b>chose</b> 304:11  <b>CHRISTIAN</b> 151:3  <b>chromium</b> 285:19  <b>CIO</b> 192:17  <b>circumstance</b> 216:20  <b>citations</b> 184:6,22  <b>cite</b> 205:16 296:12  <b>cited</b> 167:25 199:11 200:14,23 201:16  <b>citing</b> 167:17 253:18  <b>city</b> 152:2 191:23 192:6,17 193:4,11,12 194:4,7 195:17 197:11,21 198:9,14,23 199:9,12,16,2 1 200:9 205:1,20,25 275:25 290:13,25 291:15,17 292:10,19,25 293:6,13,23	294:7,22 295:23 296:6,11,15,2 3 297:9,22 298:5,13 299:15 300:3,13 301:2,5,8,16,2 5 302:2,22 303:18,20 304:1,6,13,16 307:3,7 313:14 358:13  <b>City's</b> 199:19 290:22 291:7,19 293:20 294:9 295:6 299:22 305:9 309:1  <b>clarification</b> 161:5 250:17  <b>clarify</b> 168:18  <b>clarifying</b> 256:17  <b>classes</b> 157:12,14,15, 17  <b>clean</b> 159:17 177:17 190:5 334:17  <b>cleaned</b> 170:16 217:4 300:12  <b>cleaning</b> 159:21 161:14 166:10  <b>cleanup</b> 149:5 150:5 158:3,12 159:20 160:18 161:1,8,13 162:8,25 165:7,8,17,18, 19,25 166:1,24 169:22 171:10 177:21 180:14 184:11 190:10 192:7 197:3,7 209:7 210:1,6,14 217:12,14 218:10 221:1 237:7,9 238:9 245:3 284:6 290:17 343:2  <b>cleanups</b> 158:16  <b>clear</b> 231:21 260:16 269:5 287:4
---	--	--	---	--

<b>clearly</b> 214:1 231:23 237:20 245:17 305:24 307:23,24 343:17	173:18,19 174:12 177:24 181:6,12 182:14 268:18 334:6	190:15 223:14 231:5,7 242:24 252:1 265:8	286:17 311:24 315:3 316:16 318:16,19 319:13,17,24 323:4 324:15,17 329:17,25 330:1 331:2 332:6 335:20,25 344:22,23 345:4,13 346:10 347:1,5,6,15,1 6 348:3	<b>concrete</b> 338:15 341:16,19 350:19 351:9 <b>condition</b> 217:20 220:7 275:22 277:19 278:17 286:10 332:22 <b>conditions</b> 166:5 314:10 <b>conducted</b> 262:6 <b>conducting</b> 240:18 <b>configuration</b> 341:15 355:20 <b>confirm</b> 169:25 313:20 <b>confirmation</b> 170:4 <b>confuse</b> 321:2 <b>confused</b> 203:14 250:2 292:23 304:24 351:11 352:15 <b>confusing</b> 245:11 <b>connection</b> 262:16 324:22 325:5,16 327:21 339:2 350:4 359:12 <b>consider</b> 194:14 220:25 239:21,23,25 313:8 316:24 336:3,5 352:22 354:21 <b>consideration</b> 176:1 324:13 333:1 336:16 <b>considered</b> 162:18 170:9 171:9 187:18 188:5 189:1 229:17 <b>considering</b> 312:20 <b>consistency</b> 286:7 <b>consistent</b> 235:3 262:14 280:9 283:13 287:18 <b>consistently</b> 235:6 286:4 <b>constituent</b> 241:22,23
<b>client</b> 211:11 212:19 284:25 347:20	<b>columns</b> 174:14 176:10	<b>completed</b> 220:23,24	<b>concept</b> 223:22 224:8 236:16 <b>concern</b> 212:20 226:11 230:9 238:17,23 240:1 245:21 251:20 255:14 256:11 313:22 331:9 <b>concerned</b> 211:10,11 219:22 221:3 225:2 228:15 229:13 244:20 245:1,25 354:15 <b>concerns</b> 229:3 <b>conclude</b> 218:12 219:9,20 277:4,16 281:3 285:7 286:20 330:11 331:1 <b>concluded</b> 218:24 220:4 270:10 307:8 310:24 357:11 <b>concluding</b> 220:9 350:8 <b>conclusion</b> 196:7 202:7 206:13 244:9 266:8 270:20,23 271:3 276:12 277:22,24 287:16 305:10 315:21 316:1,4,9,11,1 7 317:2 342:12 351:17 <b>conclusions</b> 201:13 321:9 325:22 353:6	
<b>client's</b> 288:2 344:24	<b>comes</b> 181:14,15 182:16 304:3,4 341:23	<b>completely</b> 201:10	<b>complex</b> 185:5 <b>Compliance</b> 154:1 <b>complicated</b> 277:8 355:22,23 <b>components</b> 244:10 <b>comport</b> 168:8 <b>compound</b> 345:22 356:5 <b>comprehensive</b> 239:8 <b>comprehensively</b> 236:24 238:3 <b>computer-aided</b> 359:8 <b>concentration</b> 181:22 182:4,5 226:12 230:12 231:12,16 233:3 259:24 260:4,10,18 268:19 269:2,9 271:20 272:9 273:17 277:1 286:16 320:2 329:4,22 330:8,9,24 332:12,14,24 334:12 345:7 348:13 <b>concentrations</b> 181:25 198:3,5 231:23 232:17,20 233:13 237:15 238:22 241:24 246:5,10 259:6 260:13,22 261:11 264:13 268:6,23 269:3,6,7 276:7,16,20 277:11 278:6	
<b>close</b> 288:25	<b>comfortable</b> 219:15,18 280:25	<b>completing</b> 267:15	<b>concept</b> 223:22 224:8 236:16 <b>concern</b> 212:20 226:11 230:9 238:17,23 240:1 245:21 251:20 255:14 256:11 313:22 331:9 <b>concerned</b> 211:10,11 219:22 221:3 225:2 228:15 229:13 244:20 245:1,25 354:15 <b>concerns</b> 229:3 <b>conclude</b> 218:12 219:9,20 277:4,16 281:3 285:7 286:20 330:11 331:1 <b>concluded</b> 218:24 220:4 270:10 307:8 310:24 357:11 <b>concluding</b> 220:9 350:8 <b>conclusion</b> 196:7 202:7 206:13 244:9 266:8 270:20,23 271:3 276:12 277:22,24 287:16 305:10 315:21 316:1,4,9,11,1 7 317:2 342:12 351:17 <b>conclusions</b> 201:13 321:9 325:22 353:6	
<b>closer</b> 233:16 272:14	<b>comforting</b> 267:15	<b>components</b> 244:10	<b>concept</b> 223:22 224:8 236:16 <b>concern</b> 212:20 226:11 230:9 238:17,23 240:1 245:21 251:20 255:14 256:11 313:22 331:9 <b>concerned</b> 211:10,11 219:22 221:3 225:2 228:15 229:13 244:20 245:1,25 354:15 <b>concerns</b> 229:3 <b>conclude</b> 218:12 219:9,20 277:4,16 281:3 285:7 286:20 330:11 331:1 <b>concluded</b> 218:24 220:4 270:10 307:8 310:24 357:11 <b>concluding</b> 220:9 350:8 <b>conclusion</b> 196:7 202:7 206:13 244:9 266:8 270:20,23 271:3 276:12 277:22,24 287:16 305:10 315:21 316:1,4,9,11,1 7 317:2 342:12 351:17 <b>conclusions</b> 201:13 321:9 325:22 353:6	
<b>closeups</b> 278:25	<b>coming</b> 213:14 278:8 302:7 304:1,8	<b>comport</b> 168:8	<b>concept</b> 223:22 224:8 236:16 <b>concern</b> 212:20 226:11 230:9 238:17,23 240:1 245:21 251:20 255:14 256:11 313:22 331:9 <b>concerned</b> 211:10,11 219:22 221:3 225:2 228:15 229:13 244:20 245:1,25 354:15 <b>concerns</b> 229:3 <b>conclude</b> 218:12 219:9,20 277:4,16 281:3 285:7 286:20 330:11 331:1 <b>concluded</b> 218:24 220:4 270:10 307:8 310:24 357:11 <b>concluding</b> 220:9 350:8 <b>conclusion</b> 196:7 202:7 206:13 244:9 266:8 270:20,23 271:3 276:12 277:22,24 287:16 305:10 315:21 316:1,4,9,11,1 7 317:2 342:12 351:17 <b>conclusions</b> 201:13 321:9 325:22 353:6	
<b>closure</b> 350:5	<b>command</b> 213:4,11	<b>compound</b> 345:22 356:5	<b>concept</b> 223:22 224:8 236:16 <b>concern</b> 212:20 226:11 230:9 238:17,23 240:1 245:21 251:20 255:14 256:11 313:22 331:9 <b>concerned</b> 211:10,11 219:22 221:3 225:2 228:15 229:13 244:20 245:1,25 354:15 <b>concerns</b> 229:3 <b>conclude</b> 218:12 219:9,20 277:4,16 281:3 285:7 286:20 330:11 331:1 <b>concluded</b> 218:24 220:4 270:10 307:8 310:24 357:11 <b>concluding</b> 220:9 350:8 <b>conclusion</b> 196:7 202:7 206:13 244:9 266:8 270:20,23 271:3 276:12 277:22,24 287:16 305:10 315:21 316:1,4,9,11,1 7 317:2 342:12 351:17 <b>conclusions</b> 201:13 321:9 325:22 353:6	
<b>Clyde</b> 320:24,25 321:11	<b>commencing</b> 150:12	<b>comprehensive</b> 239:8	<b>concept</b> 223:22 224:8 236:16 <b>concern</b> 212:20 226:11 230:9 238:17,23 240:1 245:21 251:20 255:14 256:11 313:22 331:9 <b>concerned</b> 211:10,11 219:22 221:3 225:2 228:15 229:13 244:20 245:1,25 354:15 <b>concerns</b> 229:3 <b>conclude</b> 218:12 219:9,20 277:4,16 281:3 285:7 286:20 330:11 331:1 <b>concluded</b> 218:24 220:4 270:10 307:8 310:24 357:11 <b>concluding</b> 220:9 350:8 <b>conclusion</b> 196:7 202:7 206:13 244:9 266:8 270:20,23 271:3 276:12 277:22,24 287:16 305:10 315:21 316:1,4,9,11,1 7 317:2 342:12 351:17 <b>conclusions</b> 201:13 321:9 325:22 353:6	
<b>Coalition</b> 156:8	<b>comment</b> 347:17 353:4	<b>comprehensively</b> 236:24 238:3	<b>concept</b> 223:22 224:8 236:16 <b>concern</b> 212:20 226:11 230:9 238:17,23 240:1 245:21 251:20 255:14 256:11 313:22 331:9 <b>concerned</b> 211:10,11 219:22 221:3 225:2 228:15 229:13 244:20 245:1,25 354:15 <b>concerns</b> 229:3 <b>conclude</b> 218:12 219:9,20 277:4,16 281:3 285:7 286:20 330:11 331:1 <b>concluded</b> 218:24 220:4 270:10 307:8 310:24 357:11 <b>concluding</b> 220:9 350:8 <b>conclusion</b> 196:7 202:7 206:13 244:9 266:8 270:20,23 271:3 276:12 277:22,24 287:16 305:10 315:21 316:1,4,9,11,1 7 317:2 342:12 351:17 <b>conclusions</b> 201:13 321:9 325:22 353:6	
<b>Coast</b> 156:7	<b>comments</b> 212:8,10	<b>computer-aided</b> 359:8	<b>concept</b> 223:22 224:8 236:16 <b>concern</b> 212:20 226:11 230:9 238:17,23 240:1 245:21 251:20 255:14 256:11 313:22 331:9 <b>concerned</b> 211:10,11 219:22 221:3 225:2 228:15 229:13 244:20 245:1,25 354:15 <b>concerns</b> 229:3 <b>conclude</b> 218:12 219:9,20 277:4,16 281:3 285:7 286:20 330:11 331:1 <b>concluded</b> 218:24 220:4 270:10 307:8 310:24 357:11 <b>concluding</b> 220:9 350:8 <b>conclusion</b> 196:7 202:7 206:13 244:9 266:8 270:20,23 271:3 276:12 277:22,24 287:16 305:10 315:21 316:1,4,9,11,1 7 317:2 342:12 351:17 <b>conclusions</b> 201:13 321:9 325:22 353:6	
<b>coastal</b> 258:15,25	<b>commercial</b> 162:23 163:1	<b>concentration</b> 181:22 182:4,5 226:12 230:12 231:12,16 233:3 259:24 260:4,10,18 268:19 269:2,9 271:20 272:9 273:17 277:1 286:16 320:2 329:4,22 330:8,9,24 332:12,14,24 334:12 345:7 348:13 <b>concentrations</b> 181:25 198:3,5 231:23 232:17,20 233:13 237:15 238:22 241:24 246:5,10 259:6 260:13,22 261:11 264:13 268:6,23 269:3,6,7 276:7,16,20 277:11 278:6	<b>concept</b> 223:22 224:8 236:16 <b>concern</b> 212:20 226:11 230:9 238:17,23 240:1 245:21 251:20 255:14 256:11 313:22 331:9 <b>concerned</b> 211:10,11 219:22 221:3 225:2 228:15 229:13 244:20 245:1,25 354:15 <b>concerns</b> 229:3 <b>conclude</b> 218:12 219:9,20 277:4,16 281:3 285:7 286:20 330:11 331:1 <b>concluded</b> 218:24 220:4 270:10 307:8 310:24 357:11 <b>concluding</b> 220:9 350:8 <b>conclusion</b> 196:7 202:7 206:13 244:9 266:8 270:20,23 271:3 276:12 277:22,24 287:16 305:10 315:21 316:1,4,9,11,1 7 317:2 342:12 351:17 <b>conclusions</b> 201:13 321:9 325:22 353:6	
<b>Coastkeeper</b> 152:18,19	<b>commercially</b> 267:19	<b>concentration</b> 181:22 182:4,5 226:12 230:12 231:12,16 233:3 259:24 260:4,10,18 268:19 269:2,9 271:20 272:9 273:17 277:1 286:16 320:2 329:4,22 330:8,9,24 332:12,14,24 334:12 345:7 348:13 <b>concentrations</b> 181:25 198:3,5 231:23 232:17,20 233:13 237:15 238:22 241:24 246:5,10 259:6 260:13,22 261:11 264:13 268:6,23 269:3,6,7 276:7,16,20 277:11 278:6	<b>concept</b> 223:22 224:8 236:16 <b>concern</b> 212:20 226:11 230:9 238:17,23 240:1 245:21 251:20 255:14 256:11 313:22 331:9 <b>concerned</b> 211:10,11 219:22 221:3 225:2 228:15 229:13 244:20 245:1,25 354:15 <b>concerns</b> 229:3 <b>conclude</b> 218:12 219:9,20 277:4,16 281:3 285:7 286:20 330:11 331:1 <b>concluded</b> 218:24 220:4 270:10 307:8 310:24 357:11 <b>concluding</b> 220:9 350:8 <b>conclusion</b> 196:7 202:7 206:13 244:9 266:8 270:20,23 271:3 276:12 277:22,24 287:16 305:10 315:21 316:1,4,9,11,1 7 317:2 342:12 351:17 <b>conclusions</b> 201:13 321:9 325:22 353:6	
<b>coatings</b> 246:7	<b>common</b> 314:4	<b>concentration</b> 181:22 182:4,5 226:12 230:12 231:12,16 233:3 259:24 260:4,10,18 268:19 269:2,9 271:20 272:9 273:17 277:1 286:16 320:2 329:4,22 330:8,9,24 332:12,14,24 334:12 345:7 348:13 <b>concentrations</b> 181:25 198:3,5 231:23 232:17,20 233:13 237:15 238:22 241:24 246:5,10 259:6 260:13,22 261:11 264:13 268:6,23 269:3,6,7 276:7,16,20 277:11 278:6	<b>concept</b> 223:22 224:8 236:16 <b>concern</b> 212:20 226:11 230:9 238:17,23 240:1 245:21 251:20 255:14 256:11 313:22 331:9 <b>concerned</b> 211:10,11 219:22 221:3 225:2 228:15 229:13 244:20 245:1,25 354:15 <b>concerns</b> 229:3 <b>conclude</b> 218:12 219:9,20 277:4,16 281:3 285:7 286:20 330:11 331:1 <b>concluded</b> 218:24 220:4 270:10 307:8 310:24 357:11 <b>concluding</b> 220:9 350:8 <b>conclusion</b> 196:7 202:7 206:13 244:9 266:8 270:20,23 271:3 276:12 277:22,24 287:16 305:10 315:21 316:1,4,9,11,1 7 317:2 342:12 351:17 <b>conclusions</b> 201:13 321:9 325:22 353:6	
<b>co-counsel</b> 208:13	<b>commonly</b> 266:6 315:9,11	<b>concentration</b> 181:22 182:4,5 226:12 230:12 231:12,16 233:3 259:24 260:4,10,18 268:19 269:2,9 271:20 272:9 273:17 277:1 286:16 320:2 329:4,22 330:8,9,24 332:12,14,24 334:12 345:7 348:13 <b>concentrations</b> 181:25 198:3,5 231:23 232:17,20 233:13 237:15 238:22 241:24 246:5,10 259:6 260:13,22 261:11 264:13 268:6,23 269:3,6,7 276:7,16,20 277:11 278:6	<b>concept</b> 223:22 224:8 236:16 <b>concern</b> 212:20 226:11 230:9 238:17,23 240:1 245:21 251:20 255:14 256:11 313:22 331:9 <b>concerned</b> 211:10,11 219:22 221:3 225:2 228:15 229:13 244:20 245:1,25 354:15 <b>concerns</b> 229:3 <b>conclude</b> 218:12 219:9,20 277:4,16 281:3 285:7 286:20 330:11 331:1 <b>concluded</b> 218:24 220:4 270:10 307:8 310:24 357:11 <b>concluding</b> 220:9 350:8 <b>conclusion</b> 196:7 202:7 206:13 244:9 266:8 270:20,23 271:3 276:12 277:22,24 287:16 305:10 315:21 316:1,4,9,11,1 7 317:2 342:12 351:17 <b>conclusions</b> 201:13 321:9 325:22 353:6	
<b>code</b> 225:13,23 244:17 286:11	<b>communicate</b> 347:21	<b>concentrations</b> 181:25 198:3,5 231:23 232:17,20 233:13 237:15 238:22 241:24 246:5,10 259:6 260:13,22 261:11 264:13 268:6,23 269:3,6,7 276:7,16,20 277:11 278:6	<b>concept</b> 223:22 224:8 236:16 <b>concern</b> 212:20 226:11 230:9 238:17,23 240:1 245:21 251:20 255:14 256:11 313:22 331:9 <b>concerned</b> 211:10,11 219:22 221:3 225:2 228:15 229:13 244:20 245:1,25 354:15 <b>concerns</b> 229:3 <b>conclude</b> 218:12 219:9,20 277:4,16 281:3 285:7 286:20 330:11 331:1 <b>concluded</b> 218:24 220:4 270:10 307:8 310:24 357:11 <b>concluding</b> 220:9 350:8 <b>conclusion</b> 196:7 202:7 206:13 244:9 266:8 270:20,23 271:3 276:12 277:22,24 287:16 305:10 315:21 316:1,4,9,11,1 7 317:2 342:12 351:17 <b>conclusions</b> 201:13 321:9 325:22 353:6	
<b>collaborative</b> 194:19 195:2,9 212:12 221:14 223:5	<b>communication</b> 213:10 301:1	<b>concentrations</b> 181:25 198:3,5 231:23 232:17,20 233:13 237:15 238:22 241:24 246:5,10 259:6 260:13,22 261:11 264:13 268:6,23 269:3,6,7 276:7,16,20 277:11 278:6	<b>concept</b> 223:22 224:8 236:16 <b>concern</b> 212:20 226:11 230:9 238:17,23 240:1 245:21 251:20 255:14 256:11 313:22 331:9 <b>concerned</b> 211:10,11 219:22 221:3 225:2 228:15 229:13 244:20 245:1,25 354:15 <b>concerns</b> 229:3 <b>conclude</b> 218:12 219:9,20 277:4,16 281:3 285:7 286:20 330:11 331:1 <b>concluded</b> 218:24 220:4 270:10 307:8 310:24 357:11 <b>concluding</b> 220:9 350:8 <b>conclusion</b> 196:7 202:7 206:13 244:9 266:8 270:20,23 271:3 276:12 277:22,24 287:16 305:10 315:21 316:1,4,9,11,1 7 317:2 342:12 351:17 <b>conclusions</b> 201:13 321:9 325:22 353:6	
<b>collaboratively</b> 212:24	<b>communications</b> 301:25 309:1	<b>concentrations</b> 181:25 198:3,5 231:23 232:17,20 233:13 237:15 238:22 241:24 246:5,10 259:6 260:13,22 261:11 264:13 268:6,23 269:3,6,7 276:7,16,20 277:11 278:6		

<b>constituents</b> 202:9 204:18 206:18 239:16 311:11,23 315:2	353:18,23	<b>contributed</b> 200:12,13 219:22 220:20 233:25 234:13 246:18 257:18,25 258:6 259:12 260:7 261:9 275:12,15,22 277:6,18 287:3 324:16 344:9	<b>copied</b> 284:18 291:19 <b>copies</b> 159:7 228:23 295:11,16 <b>copper</b> 153:23 205:4 214:14 258:8 259:4 285:19 329:4,22,25 330:9,15,18 331:1 <b>copy</b> 159:6 172:24 229:1 284:24 295:16 298:18 320:12 <b>core</b> 231:7 232:3 233:6,11 285:17 <b>cores</b> 285:18 348:15 <b>Corporation</b> 321:6 <b>corporations</b> 158:2 <b>correct</b> 170:1,25 173:11 190:19,20 199:25 200:11,16 205:18 206:19 207:6 209:10 212:1 221:23 226:23 227:19 229:18,23 230:5,14 231:8,9,25 232:15,17 233:12,18 234:1 237:11 238:1,16 241:3,9 247:8,16 251:8 252:25 253:3 260:24 261:12 263:8,18 264:8,23 266:16,22 269:14,15 270:3,17,21 272:5 273:22 274:8 275:8,20 276:17,19 278:1 280:19 283:3 287:21,25 288:3,11,14	289:5 290:10,14,18, 23 292:6,12,18 295:24 296:12 297:16 299:6,24 302:18 305:5 307:16,17 309:23 312:5 313:23 317:12,20 318:24 319:10,18 321:16,24 322:6,9,20 324:4,7 325:16,19 326:5,12 327:5 333:5,11,12 334:8,11,24 335:2,10 336:1,6,19,25 337:3 338:22,24 339:22 340:15 342:17 344:24 347:21 349:18 350:5,6,9,10,1 1,14 351:24 352:8 353:10,13,19 354:5 356:22 357:1,4 <b>corrected</b> 249:3 358:7 <b>correcting</b> 160:11 175:3 <b>correctly</b> 156:20 162:13 233:2 323:10 <b>cost</b> 162:9 178:18,22 179:15,20 180:2 186:19 187:10,13,14, 24 188:1,2 189:3,7,12,21 190:1,11,17,2 5 <b>costing</b> 191:5 <b>costs</b> 162:15 179:3,5 187:15,16 188:8,9,10,11, 16 189:14 <b>counsel</b> 228:23 229:4 242:17
<b>constitute</b> 258:24 278:16	<b>contaminant</b> 167:5 258:25 314:1	<b>contributes</b> 157:21 193:21		
<b>Construction</b> 181:7,13,17 182:15 183:6 212:17 218:15 219:4 248:17 288:2,10	<b>contaminants</b> 182:8 193:21 248:23 311:15 313:16 323:20,21 330:6 331:9 354:8,9 355:10 356:10,16	<b>contributing</b> 202:8 204:17 217:19 237:5 267:1 269:12 270:17 283:9 342:23		
<b>consultant</b> 218:21 339:21,23	<b>contaminated</b> 171:17 181:24 188:22 220:18,24 355:1	<b>contribution</b> 206:5 287:20		
<b>consultants</b> 177:11,12 344:22,25	<b>contamination</b> 198:6 200:11,13 201:14 206:6 207:5 218:13 219:1,21 220:7,25 233:25 234:14 237:1,6,10 238:4,15 239:10 246:24 272:18 275:12 278:11 287:21 317:12,22 330:15,17 342:23 345:16 346:1,2 354:24	<b>contributions</b> 239:9 283:11 320:18 332:3		
<b>consulted</b> 272:5	<b>contend</b> 206:13	<b>contributor</b> 201:13 207:5 220:6,10,16		
<b>consulting</b> 191:3	<b>content</b> 223:2 263:25 264:5	<b>control</b> 149:1 150:1 151:2,3 154:9,10 158:19 269:24 283:5 338:16		
<b>consume</b> 264:7	<b>contents</b> 164:12	<b>Convair</b> 209:13		
<b>contact</b> 290:13 313:14	<b>context</b> 202:21 224:7 251:13	<b>convenience</b> 159:5		
<b>contacted</b> 292:8	<b>continually</b> 182:2	<b>convenient</b> 289:13		
<b>contacting</b> 294:21	<b>continue</b> 163:13 259:3	<b>conversation</b> 211:21 215:13,24 216:1 286:1 299:6		
<b>contain</b> 246:5,8 253:5 267:25 341:16	<b>continuing</b> 326:14	<b>converse</b> 325:13		
<b>contained</b> 164:17 239:16 240:11 241:8 246:14 260:8 264:10,12 338:14 343:19 349:5,12 351:20 352:2 358:6	<b>contractor</b> 179:1 187:12	<b>conveyance</b> 351:19		
<b>containing</b> 272:9,18	<b>contradicting</b> 291:8	<b>cooling</b> 233:16 327:3 328:2,17 330:5 332:3 356:2		
<b>containment</b> 338:16,21 339:5 340:8,9,13 341:1,5,11,13, 15,19,25 342:5,10 343:8 348:17,23 349:17,21 350:13,17,18 351:1,10,14,2 3 352:7,9,13,14, 15,16,20,25	<b>contribute</b> 198:5 236:10 278:11,17 280:18	<b>cooperation</b> 296:23		
		<b>coordination</b> 190:9		

252:16,19 255:14 263:12 275:25 278:22 279:8 295:12 320:12 321:19 322:21 359:13	5,18,22 278:8 <b>Creek-related</b> 194:13 <b>Creek's</b> 206:5 <b>Crescent</b> 212:18 248:16,21 251:25 252:13,24 253:16 <b>criteria</b> 160:4 162:14,17,21 164:13,16,24 165:17,20 192:22 197:18 240:20 277:2 <b>criticize</b> 229:10 <b>CSR</b> 149:16 150:15 359:19 <b>CTI</b> 329:1 <b>CTR</b> 277:5,9,17 278:16 <b>CTRs</b> 278:2 <b>cubic</b> 188:2 189:15 190:12 <b>cubical</b> 325:13 <b>cubicles</b> 213:10 <b>cumulative</b> 178:8,9,10,23 186:9 <b>curious</b> 209:1 329:9 <b>current</b> 166:5 179:3 209:18 312:20 <b>cutting</b> 241:7 251:17 <b>Cynthia</b> 211:15	295:11,15,25 297:3,25 299:10,18 300:7 306:5,22 307:11 308:2,7 309:15 310:2 311:5 312:13,24 317:14 336:11 <b>data</b> 167:21 168:1,3,5,22,2 5 169:8,9,14 170:8,17,24 171:3,8,13 173:16 175:14,16 176:5 177:1,5,9 179:18 180:7 185:20,24 186:7,9,13 192:21 193:24 197:23 198:10 204:24 205:17,20,22, 23,25 207:10 214:3 218:22 219:19,20 220:9 228:11,13,17 230:3,7,25 231:2,5,7,9 232:1 233:3,6,11 246:9 259:20 260:16 265:14 273:4 285:11,12,22 286:6 293:25 294:23 300:18,21 301:2,3,4,5,7 302:9,12,15 313:4,8,10,20 315:17 316:1 317:1 319:25 320:4,18 324:13 328:4,5,7 329:15,20 331:7,22 343:22 346:12,13 349:15,17 350:7,8 352:12,19 353:14 354:17 356:22 <b>date</b> 188:17	300:17 302:14,15 <b>dated</b> 295:7 296:8,15 298:18 301:2 320:6 <b>David</b> 192:18 194:22,23 212:25 221:16 224:17 226:20 328:3 <b>davits</b> 356:3 <b>day</b> 250:15 358:11 359:15 <b>deal</b> 211:12 <b>deals</b> 206:17 <b>debris</b> 286:23 <b>December</b> 154:7 330:2 <b>decide</b> 190:5 <b>decided</b> 217:17 <b>deciding</b> 316:20 <b>decision</b> 210:13 218:10 232:25 324:20 <b>Declaration</b> 358:1 <b>declare</b> 358:4 <b>deeper</b> 337:2 <b>Defense</b> 158:1 <b>deficient</b> 248:22 249:15 250:4,9,12 251:1,8,9,16 253:11 <b>define</b> 202:22 209:2 273:23 314:15 <b>defined</b> 274:18 <b>defining</b> 216:11 <b>definitely</b> 188:13 286:17 333:1 <b>definition</b> 184:9 196:25 197:14 209:25 221:22 266:11,23 273:25 274:15 282:8 351:22 <b>definitive</b> 224:16 354:22 <b>deflect</b> 293:15	<b>deflecting</b> 307:25 <b>degree</b> 190:11 238:3 275:11 <b>Del</b> 259:18 <b>Delaney</b> 283:5 <b>delete</b> 222:18 <b>demonstrate</b> 275:21 331:21 <b>demonstrating</b> 182:12 <b>denying</b> 244:14 <b>Department</b> 158:1 256:3 <b>depend</b> 354:1 <b>dependent</b> 190:18 <b>depending</b> 213:8 240:23 264:11 266:11,23 342:20 351:22 353:20 <b>depends</b> 240:5 277:20 282:8 331:19 349:13 353:2 <b>depicts</b> 177:16 <b>deployed</b> 267:21 <b>deposit</b> 304:21 <b>deposited</b> 266:21 355:3 <b>deposition</b> 149:10 150:11 153:13 208:17,18 215:15 224:25 266:21 275:17 283:16,22 284:2 291:7 313:13 357:11 359:6,7,10 <b>depositions</b> 211:14,16,19, 24 <b>depth</b> 177:22,24,25 178:1,3,6 316:6 348:14 354:16 <b>describe</b> 171:1 195:1 218:19 219:24 236:15 239:14 240:21 334:1 339:12
---	--	---	--	---

341:9	<b>determine</b> 162:14 217:3 238:12 277:10 298:6 302:20 303:16 311:10 324:14 326:9 347:1 351:25	301:3,5,8,16 303:19,20 318:4 330:18 359:2	276:1,6 277:5,10,17 289:25 290:7 294:12 296:7 298:17 327:5,13,25 329:6,17,23,2 4	<b>dismiss</b> 316:12,14,19
<b>described</b> 166:4 194:14 210:23 213:13 220:2 239:22 249:20 273:9 309:23 324:19 340:9 341:1	<b>determined</b> 286:12 304:17 307:4	<b>Diego's</b> 194:7 199:16 304:1	330:1,10,14,2 4 332:13,18 334:11,15 339:9,14 349:2 350:9 356:1,23	<b>dismissed</b> 325:1
<b>describes</b> 257:22 350:25	<b>determines</b> 197:19	<b>difference</b> 223:10,19 232:14 237:14,16 238:21 239:3 345:15 352:21,23 353:5	<b>discharged</b> 205:21 207:1 236:13 237:14,18,19 280:16 281:23 287:5 290:9 327:6 332:14	<b>displayed</b> 229:22
<b>describing</b> 220:17 236:6,11 241:13 253:11	<b>determining</b> 286:18 347:4	<b>different</b> 170:21,22 171:15 198:16 211:7 224:23 232:2 233:6 241:2 250:10 256:10 259:22 265:11 271:3 324:24 334:14	<b>discharger</b> 244:18 253:16,18 286:3 332:18	<b>displaying</b> 259:20
<b>description</b> 213:24 240:11 242:7 243:12,14 248:16,21 249:23 250:8,25 251:7 252:2 258:19 267:25 273:6 288:1 327:3 339:24 340:12 341:2	<b>develop</b> 156:22 171:5 238:14	<b>difficult</b> 221:15	<b>dischargers</b> 217:10,11 237:5 238:9	<b>disposal</b> 187:16 336:18
<b>descriptions</b> 253:25	<b>developed</b> 346:7	<b>dig</b> 168:17 238:10 337:2	<b>discharges</b> 193:17,18 198:1 199:15 205:8 206:14 207:4 218:1,15 219:2 226:11 228:9 237:17 262:16 276:6 284:15 305:16 315:13	<b>disputed</b> 344:19
<b>description's</b> 243:18	<b>developing</b> 192:16 225:24	<b>digging</b> 168:1,4	<b>discuss</b> 201:12 215:13 292:9	<b>distance</b> 189:23
<b>design</b> 272:12	<b>development</b> 157:2 192:5,24 193:10 194:11 195:15 198:22 282:7 354:20	<b>dimensional</b> 177:19	<b>discussed</b> 207:2 209:15 279:17 328:17	<b>distinction</b> 197:2,8 199:1
<b>designated</b> 180:13 195:24 202:20 273:20 274:2	<b>dialing</b> 163:5	<b>direct</b> 268:16 275:16 313:14 328:7	<b>discussing</b> 207:21 213:15 356:22	<b>disturb</b> 285:4
<b>detail</b> 161:17 162:24 188:7,12 271:9 343:4 354:1	<b>Diego</b> 149:2,12 150:2,14 151:9,10,15,1 7,21 152:2,4,6,8,18, 19,20 154:8,14 155:5 156:7 158:21 160:12,16,18, 23 162:13 191:23 192:7,17 193:4,11,12 194:5 195:7,17 197:11,22 198:10,15 199:9,13 200:9 201:3,21 205:1,20 207:1,6 212:17 218:15 219:3 224:20 225:25 248:17 269:23 270:10 284:16,18 285:17 287:7 288:2,9 290:10,13 293:23	<b>directed</b> 194:21 290:12	<b>discussion</b> 202:2 251:15 295:18 327:12	<b>DLA</b> 151:19
<b>detailed</b> 153:15,18 154:21	<b>direction</b> 204:10 212:2,5,23,25 213:4,5,6 304:4,9 305:10 359:8	<b>directing</b> 176:21	<b>discussions</b> 160:23	<b>doable</b> 289:10
<b>details</b> 159:19 163:20 352:20 353:3	<b>directions</b> 212:2,5,23,25 213:4,5,6 304:4,9 305:10 359:8	<b>direction</b> 204:10 212:2,5,23,25 213:4,5,6 304:4,9 305:10 359:8	<b>discussing</b> 207:21 213:15 356:22	<b>doc</b> 256:22
<b>detected</b> 204:5 238:15 278:6 319:18 334:7 336:25 337:8 346:3	<b>directly</b> 194:23 206:2 276:25 278:3 281:7,17,23 289:2	<b>direction</b> 204:10 212:2,5,23,25 213:4,5,6 304:4,9 305:10 359:8	<b>discussion</b> 202:2 251:15 295:18 327:12	<b>docks</b> 280:15 286:22 287:10,14
<b>detection</b> 330:19,22 331:24	<b>disagreeing</b> 330:22	<b>direction</b> 204:10 212:2,5,23,25 213:4,5,6 304:4,9 305:10 359:8	<b>discussing</b> 207:21 213:15 356:22	<b>docks</b> 260:20 266:15 288:19 355:19
<b>determination</b> 181:19 238:21	<b>disappear</b> 263:20,24 264:15,18,19, 24	<b>direction</b> 204:10 212:2,5,23,25 213:4,5,6 304:4,9 305:10 359:8	<b>discussing</b> 207:21 213:15 356:22	<b>document</b> 162:16 170:1 183:9,16 184:14,18 202:11 226:5 227:19 228:6 229:1,2 239:19 240:12 241:25 242:2 245:2,24 248:25 249:7,19 251:21 256:18 257:3,6 258:13 261:13,21 269:20 270:4 273:11 279:1,10 283:21 289:11 294:16 295:25 296:22 299:10,17 300:5 312:12 323:5 340:7
	<b>discharge</b> 155:1 192:21 197:23 206:2 214:3,9 217:19 230:11 275:21	<b>discharge</b> 155:1 192:21 197:23 206:2 214:3,9 217:19 230:11 275:21	<b>disinterested</b> 359:11	<b>documented</b> 271:20

229:7 239:17 284:1,4,9,12,1 4 292:4 298:12 <b>dollar</b> 186:18 236:17 <b>dollars</b> 189:17 190:24 <b>done</b> 171:20 174:6 175:14 185:15,17 187:5 188:11,14,16 190:1 191:9 206:9,10 239:24 244:21 259:17 266:14 269:17 271:2 283:4 287:9 288:16,18 289:2 292:11,19,25 304:13 317:7 320:11 321:15 331:18 341:9,18 346:22 348:22 <b>door</b> 213:9 <b>doubt</b> 287:5 313:25 <b>dozen</b> 265:11 284:8,9 <b>dozens</b> 157:5 <b>draft</b> 154:8 159:1,14 161:7 164:18 192:8 212:3,6,9 214:12,16,20 232:10,23,25 269:23 284:5 353:15 <b>drafts</b> 195:4 212:5 213:14,15,17, 23 <b>drag</b> 217:22 <b>drain</b> 226:13 290:9 296:7 <b>drained</b> 299:9 303:8 304:17 305:3,6,16 306:18 307:4,8 309:7 311:1 <b>draining</b>	310:17,18 <b>drains</b> 302:21 303:8 311:22 <b>draw</b> 197:1 206:13 302:10 315:21 325:21 342:12 354:3 <b>drawing</b> 277:21 316:9 317:2 330:6 <b>drawn</b> 332:7 <b>dredge</b> 179:4 181:16 <b>dredging</b> 177:17 178:9,10 179:3,4 187:13,15,23, 24,25 188:2,7,8,25 190:3 356:9,14,18,1 9 <b>drive</b> 151:12 176:9,16 284:7,8,10 346:19 <b>dry</b> 260:20 266:15 280:15 287:10,14 288:18 355:19 <b>drying</b> 268:21 269:13 <b>DTR</b> 159:4,9 163:20 172:11,14 174:7 182:9 183:9,23 184:3,7,15,19 192:17 194:4,15,18 200:20 211:7 212:4,13 214:4,14 221:13 222:24 223:2 224:12 225:15,16,25 226:8 229:16 230:9 231:21 232:10,22 240:10 245:3 248:10 251:20 257:18,25 258:6 259:13 260:7 261:9 263:13 267:1 269:12 270:2,17 271:11,25	276:20 280:18 283:9,11,13 284:5 285:11,23,24 286:7 287:3,4 289:23 300:17 305:13 314:5 317:10 320:18 321:10 324:22 325:6,16 326:6 327:16 328:13 331:22 333:23 338:4 339:9 350:25 <b>due</b> 175:25 238:24 263:3 318:7 <b>duly</b> 156:2 235:10 359:6 <b>during</b> 175:14 181:17 200:5 201:2 262:19 281:21 286:1 308:18 <b>dust</b> 265:21 266:9 267:2,3 <hr/> <b>E</b> <hr/> <b>earlier</b> 165:3 185:14 187:23 200:6 214:1 262:15 268:6 275:24 280:9 <b>earliest</b> 156:25 <b>early</b> 160:14 194:8 <b>easier</b> 159:6,8 172:23 176:12 203:3,4 <b>easily</b> 184:8,9 244:9 264:17 <b>east</b> 304:5 <b>ecological</b> 163:18 165:4 <b>economic</b> 156:18 157:1,6,15,22 158:4,25 159:15,21 161:14 162:25 166:25 167:4 171:14 173:6,7,13 178:12 180:15,19 186:1 187:4,7 190:23	<b>economically</b> 159:16 <b>economics</b> 156:18 <b>economies</b> 189:4,6 <b>eddy</b> 355:17 <b>education</b> 256:12 <b>effect</b> 234:18 239:6 247:13 354:11,12 <b>effectiveness</b> 348:18,24 <b>effects</b> 162:22 164:24 167:22 <b>effort</b> 194:19 195:2,9 290:18 297:10 311:8 <b>eight</b> 154:7 <b>either</b> 169:21 183:23 184:7 230:1 238:6 247:1 248:6 258:4 266:14 274:3 292:11 307:7 344:6 <b>elected</b> 356:24 <b>Electric</b> 152:6 224:20 226:1 <b>electrical</b> 244:1,3,10 <b>electricity</b> 264:8 <b>element</b> 324:18 <b>elements</b> 188:1 <b>elevated</b> 332:12 <b>elicited</b> 358:8 359:9 <b>eliminate</b> 282:4 <b>else</b> 157:20 177:9 216:5 227:17 326:3 344:8 <b>elsewhere</b> 164:18 231:24 <b>em</b> 186:3 196:10 245:16 <b>e-mail</b> 154:19 298:18 299:5,14 300:15 302:23,25	<b>e-mails</b> 300:18 <b>emissions</b> 266:20 282:5,20 <b>employees</b> 210:18 <b>encapsulate</b> 282:3 <b>encompass</b> 202:19 <b>ENERGY</b> 152:7 <b>enter</b> 302:22 <b>entered</b> 303:16 308:19 <b>entering</b> 303:11 310:16 <b>entire</b> 171:24 182:3 201:11 265:25 320:22 <b>entirely</b> 292:7 <b>entirety</b> 358:6 <b>entities</b> 187:16 214:2 220:15 <b>entitled</b> 153:14 173:16 179:18 180:7 254:9,10 289:24 <b>entity</b> 179:1 220:22 274:6 293:23 304:2 348:5 <b>ENV</b> 337:16 338:20 339:21 340:3 350:19 351:3 352:4,10 <b>environmental</b> 154:6 156:8 157:4 158:3 187:13 239:24 256:3,8,9 262:3 314:10 315:9 <b>EPA</b> 246:9 261:17,21 265:9,10,15,1 9,20 267:7,12,15,1 8 268:6,13,14,2 0,22 269:17 270:20 271:2 278:20 279:3,24
--	---	--	--	---



281:2	<b>evaluation</b>	225:13 233:14	265:9 267:10	178:21 180:8
<b>EPA's</b> 266:8	170:19 239:9	259:3 272:22	268:11 269:19	187:17 216:24
267:24 270:22	244:21 315:16	273:15 274:19	273:1 282:24	220:13 225:7
271:10	348:23	288:8 319:9	295:17,21	238:7 250:10
<b>equally</b> 185:11	<b>evaluations</b>	322:17 323:18	296:19 298:22	254:3 255:12
<b>equals</b> 183:1	201:1	329:1,5,21	302:24	<b>explained</b> 182:17
<b>equate</b> 276:23	<b>event</b> 202:5	341:11,21	318:6,12	218:14 219:2
<b>equated</b>	<b>events</b>	<b>examples</b> 321:14	320:7,8	244:16 248:8
276:24,25	201:2,6,24	329:8	329:12	253:14
<b>equation</b> 183:13	216:25 218:6	<b>exceeded</b> 276:1,6	340:4,5	<b>explaining</b> 234:4
<b>equipment</b> 241:8	308:18	277:5,17	<b>exhibits</b> 229:6	<b>explains</b> 166:24
<b>eroded</b> 308:18	<b>eventually</b>	<b>exceedence</b>	<b>exist</b> 184:13	169:7 182:19
<b>especially</b> 191:2	286:25	197:23	<b>existed</b>	184:15
246:12 278:7	<b>everybody</b>	<b>exceedences</b>	334:12,23	<b>explanation</b>
285:25 313:9	164:10 248:13	198:11	352:8,9	238:14
352:11	<b>everybody's</b>	<b>exceeds</b> 278:16	<b>existing</b> 342:16	<b>explicitly</b> 166:5
<b>ESQ</b>	343:23	<b>Excel</b>	<b>exists</b> 169:15	<b>exploration</b>
151:3,7,8,12,1	<b>everything</b>	176:8,12,13,1	<b>exits</b> 205:21	157:1
6,20	189:17 194:3	7	<b>exonerate</b> 244:21	<b>Exponent</b>
152:3,7,11,15,	262:10 267:16	<b>except</b> 256:22	245:1,22	153:14,17
19	336:18 342:4	<b>exceptions</b>	254:2	154:21
<b>essentially</b>	<b>evidence</b> 205:5	327:11	<b>expand</b> 203:23	188:6,13,17
282:19	226:11 228:9	<b>excerpt</b> 228:21	217:12 306:8	189:13 204:24
<b>establishes</b> 295:5	230:11	229:10 275:8	333:6	207:11 217:5
<b>estimate</b> 179:3	237:4,6	329:14	<b>expansion</b>	218:25
189:12 190:12	239:4,6	<b>excerpts</b> 229:7	341:17	228:11,13,17,
191:5	244:18 249:1	230:19 329:11	<b>expect</b> 212:2	22 229:22
223:11,18	251:22 253:17	<b>excess</b> 202:8	<b>expecting</b> 332:17	230:20 272:13
280:23	254:2 290:16	204:17	<b>experience</b>	286:5,6
<b>estimates</b> 181:21	291:21 304:23	<b>exchanges</b>	156:18,23,25	315:17 318:11
190:1,17	311:4 312:22	300:15	157:22 173:13	319:25 343:21
191:1 280:13	316:15,25	<b>excluded</b> 219:21	187:14 190:25	346:24 348:13
<b>estimation</b> 225:3	334:10,15,16	<b>excluding</b> 219:12	210:3,4 244:8	354:18
<b>et</b> 178:17	336:8 341:24	<b>exclusive</b> 313:25	314:9 315:7	<b>exposure</b>
200:23,25	343:2 344:16	314:3	<b>expert</b> 169:24	182:14,19
201:6,19,25	350:15 353:22	<b>excuse</b> 161:23	196:13,16,22	183:6 185:21
202:3,7	<b>evident</b> 271:15	162:25 175:7	197:3,8,10,13,	<b>expressed</b> 193:9
253:23 258:7	<b>evilly</b> 345:18	209:6 212:15	14,22	<b>expression</b>
323:14 341:17	<b>evolution</b> 271:8	231:6 241:17	198:2,9,20	276:15 278:3
<b>evaluate</b> 157:1	<b>exact</b> 311:23	244:12 252:12	199:2,6,8	<b>extend</b> 204:2
159:16 162:7	<b>exactly</b> 168:21	268:13 284:24	200:1 239:23	<b>extending</b> 231:4
164:13 165:24	171:1 178:20	285:16 290:1	240:17 309:25	<b>extends</b> 201:2
167:2 169:22	190:14 200:3	303:2 318:20	314:3	<b>extensive</b> 180:19
170:8 236:25	223:23 274:3	340:17	330:11,25	<b>extensively</b>
331:8	280:22	<b>Executed</b> 358:11	354:5 355:9	259:4
<b>evaluated</b> 160:4	<b>examination</b>	<b>executive</b> 322:2	<b>expertise</b> 156:15	<b>extent</b> 160:24
162:14,18	153:4 156:4	<b>exercise</b> 227:3	157:21	192:23 201:20
182:1 193:22	191:19 208:9	<b>exhibit</b>	220:2,3	207:3 274:21
241:14	271:11,13	159:3,10,12	239:22 242:6	325:10 331:8
<b>evaluates</b> 165:7	<b>examine</b> 208:19	208:7,16	246:3	336:20 349:10
<b>evaluating</b> 157:6	<b>examined</b> 240:22	228:19 229:22	249:20,21	350:12 351:7
165:16,17	<b>example</b> 166:4	230:22 256:5	<b>explain</b> 156:23	353:17
328:3	188:24 193:24	258:10,11	159:18	<b>extrapolate</b>
	197:16 202:1	261:14,15	160:5,16	186:12 204:24
	214:10 223:10		161:13 165:14	
			174:14 175:9	

271:7	345:16,19 346:6,9 352:2	346:22	7	<b>five</b> 230:12 337:20
<b>extrapolating</b> 280:25	<b>factor</b> 335:23	<b>familiarize</b> 339:15	<b>filed</b> 208:17	<b>five-minute</b> 337:11
<b>extrapolation</b> 205:16	<b>factors</b> 188:1 278:14 342:11 348:19 355:20 356:7	<b>fashion</b> 211:25	<b>files</b> 271:18 273:16 275:10	<b>fixed</b> 188:10 189:14
<b>extremely</b> 175:21 244:14 264:4 265:3	<b>facts</b> 227:11 234:15,18 235:14,21 245:23 249:1 251:22 291:21 293:17 300:7 304:23 306:4,19 307:11 309:14,15,25 310:9 311:4 312:22 313:10 336:8,17 344:16 345:22 346:22 350:15	<b>fast</b> 255:11 326:17,19	<b>fill</b> 294:13 346:11 347:2	<b>flip</b> 180:7
<b>EZ</b> 158:16	<b>factual</b> 192:5,16 193:10 194:6 195:16 196:1 198:22 199:19 226:3,10,15 230:8 310:13	<b>faster</b> 326:23	<b>filled</b> 174:21 344:13 345:2	<b>flipped</b> 329:23
<hr/>		<b>fate</b> 200:8 316:6 354:4 356:10,19	<b>final</b> 195:4 214:22 225:8,14 232:25	<b>floating</b> 355:19
<b>F</b>		<b>fault</b> 239:23,25 291:17	<b>finalize</b> 232:24	<b>floor</b> 152:12 309:8
<b>face</b> 291:8,19 293:6,7 294:8,10 309:1	<b>fail</b> 240:1	<b>feasibility</b> 156:19 157:1,6,18,22 158:4,25 159:15,21 161:14 167:4 171:14 173:6,7,13 178:12 180:15,20 190:23	<b>finally</b> 190:5 282:21	<b>fluids</b> 241:7 349:10
<b>facetious</b> 225:5	<b>failing</b> 242:8	<b>feasible</b> 159:16	<b>finding</b> 159:5 232:15 271:10 294:9 328:16 353:12	<b>focus</b> 156:16 257:11 343:7
<b>facilities</b> 154:14 241:8 243:12 246:12 341:21 342:1 343:1	<b>fair</b> 164:15 192:11 195:6 196:18 198:8 211:4 212:11 213:23 228:14 244:20 249:25 255:4 257:2 261:8 263:6 266:25 269:10 278:4 295:2 316:13 327:18 332:5 336:4 348:18 349:9	<b>February</b> 149:13 150:13	<b>findings</b> 158:25 224:23 259:16 283:13	<b>focusing</b> 265:13
<b>facility</b> 211:13 219:14 241:14 243:24 247:16 248:18 262:1 288:2 296:8 303:9 304:18,21 306:3 309:7,8,9 310:8,18,19 311:1 314:7 327:2 338:13 339:24 340:14 341:10 342:21 349:14 350:25	<b>fairly</b> 167:2 210:17 212:22 213:21 332:2	<b>february</b> 149:13 150:13	<b>finish</b> 193:1 222:2,10 232:6,9 234:22 235:1,9 236:18 241:18 305:21 336:14 349:6	<b>folder</b> 176:16
<b>fact</b> 153:20 176:11 177:24 206:7 226:2 234:7 243:21 251:18 256:2,9,10,25 269:12,14 271:18,20 272:4 277:18 278:15 280:17 290:12 293:25 295:23 298:13 303:8 305:3,12,16 307:2,6,20 308:16 313:6 316:17 322:16 324:15 325:4 330:12,14 331:9 332:7 334:5,11 336:5,21 344:12,19	<b>fairness</b> 227:4	<b>feasible</b> 159:16	<b>fine</b> 160:20 180:23 200:21 287:17 326:16	<b>follow-up</b> 192:2 333:9
	<b>fall</b> 193:19	<b>February</b> 149:13 150:13	<b>firm</b> 208:13	<b>foolproof</b> 341:25
	<b>falling</b> 342:13	<b>federal</b> 262:2 268:14	<b>first</b> 156:2 165:16 167:3,20 170:15,16 171:21 173:18 178:13 180:24 181:1 188:24 198:17,21 202:1 212:22 229:16 242:23 245:9 247:21 275:5 285:13 287:9 303:9 306:13 322:9 323:2 331:10 334:14 339:16 340:25 359:6	<b>foot</b> 177:25 178:1 189:15
	<b>familiar</b> 173:2 180:10,11 187:13 246:25 256:8 257:14,20,24 258:17 261:18,21 270:4,7 296:5	<b>feeds</b> 178:11	<b>firing</b> 245:15	<b>footer</b> 256:22
		<b>feel</b> 227:1	<b>firm</b> 208:13	<b>Footnote</b> 337:7
		<b>feeling</b> 227:24	<b>first</b> 156:2 165:16 167:3,20 170:15,16 171:21 173:18 178:13 180:24 181:1 188:24 198:17,21 202:1 212:22 229:16 242:23 245:9 247:21 275:5 285:13 287:9 303:9 306:13 322:9 323:2 331:10 334:14 339:16 340:25 359:6	<b>footprint</b> 167:10 170:15,20 171:6,8,14 190:4
		<b>feet</b> 341:20	<b>fit</b> 180:21 181:4	<b>foregoing</b> 358:5 359:6,7,9
		<b>felt</b> 244:17	<b>FITZGERALD</b> 151:16	<b>form</b> 274:11 276:3
		<b>fencing</b> 222:9 224:7		<b>formal</b> 344:4
		<b>field</b> 157:5 190:2 239:22,23 291:3 315:9		<b>former</b> 303:11 334:13,23
		<b>fields</b> 157:2		<b>forming</b> 157:14
		<b>figure</b> 178:18 181:1 185:12 187:24 229:22,24 318:15,24 319:1,5 323:13 352:19		<b>formulations</b> 268:17
		<b>figures</b> 178:7 204:11 319:23 320:1		<b>forth</b> 257:11,13 265:14 302:13 341:7
		<b>figuring</b> 178:22 188:1		<b>foundation</b> 159:24 223:17 234:16 293:18 298:8 299:10 308:3 325:8 345:23
		<b>file</b> 176:8,12,13,1		<b>fourth</b> 287:8,13
				<b>frame</b> 194:9 226:23 262:22 263:2,4

268:21 281:22 282:2 348:1 <b>frames</b> 281:21 <b>frankly</b> 271:5 <b>free</b> 227:1 <b>freshly</b> 271:14 <b>freshwater</b> 278:7 <b>front</b> 159:3 195:22 212:22 <b>fugitive</b> 266:20 282:4,20 <b>full</b> 169:8 228:22 229:2 244:20 270:9 285:13 338:12 <b>fully</b> 236:23 <b>fundamentally</b> 248:22 <b>funds</b> 205:22 <b>future</b> 281:1	252:12,24 253:11,20,22 <b>generates</b> 265:21 266:9 <b>geometry</b> 178:7 <b>gerbils</b> 264:7 <b>gets</b> 232:10,21 <b>getting</b> 160:14 163:10 189:17 217:5 251:17 307:13 <b>gigabytes</b> 185:1,2 <b>given</b> 184:6,24 221:14 300:3 <b>gives</b> 223:16 273:20 288:16 352:19 <b>giving</b> 212:23 278:12 297:9 332:16 <b>glanced</b> 284:8 <b>glasses</b> 161:24 <b>gone</b> 210:8 291:12 <b>GORDON</b> 152:3 <b>Gorham</b> 211:15 212:7 <b>gotta</b> 245:16 <b>gotten</b> 219:4 <b>grading</b> 241:12 <b>grams</b> 273:22 274:7,18 <b>graph</b> 181:1 323:12,13 329:19 <b>graphics</b> 323:8 <b>graphs</b> 329:19 <b>graving</b> 286:22 <b>gray</b> 317:24 <b>great</b> 178:20 188:11 <b>grit</b> 280:8 287:5 <b>ground</b> 227:6 309:7 341:20 342:9 <b>grounds</b> 309:8 <b>group</b> 163:10 221:10,12 222:24,25	224:10 <b>guess</b> 179:22,25 197:1 199:14 214:15 223:8,9,11,14, 19 236:15 291:10 304:6 <b>guessed</b> 211:9 <b>guessing</b> 179:23 223:20 <b>guidance</b> 154:3 267:13 312:16 <b>guide</b> 154:1 261:18,25 <b>Guidelines</b> 154:9	306:24 332:16 <b>havoc</b> 242:24 <b>hazardous</b> 244:4 <b>head</b> 169:17 174:19 <b>headings</b> 174:12 <b>health</b> 156:8 163:4 165:4,6,21,24 166:9 262:3 <b>hear</b> 156:20 164:7 175:7 <b>heard</b> 156:17 221:24 222:7 346:12 <b>hearing</b> 167:2 175:13 <b>heavy</b> 270:10 279:5,25 <b>hedging</b> 278:12 <b>height</b> 178:2 <b>held</b> 207:4 295:18 350:19 <b>help</b> 158:24 166:22 173:14 193:15 203:19 224:15 244:13 245:18 298:16 353:14 <b>helpful</b> 161:20 <b>helping</b> 280:18 <b>helps</b> 200:20,21 <b>hereby</b> 359:5 <b>herein</b> 358:4 <b>here's</b> 190:4 <b>hereunto</b> 359:14 <b>he's</b> 299:4 <b>hesitant</b> 227:9 <b>hesitation</b> 270:22 <b>Hewlett</b> 341:21 <b>Hi</b> 163:7,8,9 <b>high</b> 232:19 259:5,23 260:10,13,22 264:12 269:3 319:17 345:4,6 348:15 <b>higher</b> 231:23 329:5,22,24	330:1,8,9,13,2 4 343:24 344:23 345:13 347:16,19,24 348:3,14 <b>highest</b> 226:13 230:13 231:12,16 232:12 316:16 318:19,25 <b>highlighted</b> 178:15 186:8 <b>highly</b> 184:1 190:18 319:12 355:16 <b>Highway</b> 151:16 <b>historical</b> 192:6,16 193:10 194:7 195:16 196:1 198:23 199:19 338:5 339:17 <b>historically</b> 318:2 <b>history</b> 225:10 265:25 266:3 <b>hit</b> 308:5 323:7 <b>hits</b> 315:20,21 317:5,6 <b>Hold</b> 235:5,15 279:8 <b>Honestly</b> 241:11 <b>Honma</b> 211:15 212:7 298:19 299:4 313:13 <b>Hope</b> 152:12 <b>hopefully</b> 265:2 <b>hoping</b> 158:24 178:20 <b>hour</b> 150:12 252:20 254:25 255:3 <b>HQ12</b> 152:8 <b>hull</b> 260:3,17 280:15 <b>hulls</b> 246:18 263:21 270:13 <b>human</b> 163:4 165:3,6,21,23 166:8 <b>hundred</b> 158:21 <b>hundreds</b> 157:5
<hr/> <b>G</b> <hr/> <b>gallons</b> 288:10 <b>gas</b> 152:6 157:2 158:15 224:20 225:25 <b>gaskets</b> 241:6 <b>Gate</b> 155:2,4 <b>gather</b> 210:12 <b>gauge</b> 348:18 <b>gears</b> 343:10 <b>gee</b> 189:20 217:22 <b>general</b> 159:19 161:3,12,21 181:4 194:3 226:6 246:23 260:14 265:14 288:17,19 <b>generalization</b> 211:4 212:11,21 <b>generally</b> 159:18 161:13 257:24 262:15 263:7 266:2,11 270:6 287:22 290:20,21 <b>generate</b> 253:21 <b>generated</b> 170:14	<hr/> <b>H</b> <hr/> <b>habit</b> 236:22 <b>haggling</b> 253:5 <b>half</b> 284:8 <b>Halvax</b> 217:7 299:2,9 300:4,22 <b>hand</b> 159:2 255:11 316:12,19 359:15 <b>handed</b> 320:21 <b>handle</b> 229:8 <b>handled</b> 158:19 206:21 <b>Handmacher</b> 152:15 163:5,8,11 164:4,7 <b>happen</b> 329:9 <b>happened</b> 259:17 285:22 291:14 292:3,6,7 294:19 <b>Harbor</b> 346:19 <b>hard</b> 176:8,16 284:7,8,10 289:7 348:12 <b>hate</b> 285:4 <b>haven't</b> 170:1 207:22 219:4 257:6 261:3 346:12 356:17 <b>having</b> 156:2 220:8 221:19 233:23 258:14			

284:11	<b>II</b> 149:11 153:18 159:10 230:19	280:24 282:2 283:10 285:7,12 287:1,11 291:10 292:5,18,23 294:13 300:11 301:24 302:15 304:24 305:24 306:6,24 307:21 308:21 314:3 315:6 322:3,4,10,23 323:8,9 326:13,16,17 328:19 329:19 330:16,22 332:10,15,16 333:6,18 337:15 340:23 342:11 346:21 347:24 350:2 351:11 354:25 355:22	306:15 309:6 311:24 332:6 335:18 <b>impression</b> 199:11 304:13 <b>improve</b> 353:15 <b>inaccurate</b> 227:13 231:22 353:10 <b>inadequate</b> 242:8 243:13 <b>inappropriate</b> 216:4 218:3 <b>incidentally</b> 276:9 335:4 <b>include</b> 157:15 168:7 186:15 201:21 217:13 243:24 272:17 <b>included</b> 159:1 165:11 167:14 180:3 183:17,23,25 184:22 248:23 329:16 <b>includes</b> 159:15 <b>including</b> 195:11 201:4 202:2 244:4,6 270:12 272:8 319:17 333:13 337:8 348:14 <b>incomplete</b> 189:8 229:1 242:12 306:19 309:10,13,24 311:3 315:23 317:13 332:9 336:10 344:17 <b>incorporated</b> 168:5 <b>incorrect</b> 171:19 230:17 250:21 291:11 317:21 <b>increasing</b> 178:19 <b>increasingly</b> 162:8 <b>incremental</b> 162:8,9 179:20 <b>incrementally</b> 178:18 <b>indeed</b> 169:25	241:6 262:9 <b>independent</b> 280:3 300:2 304:12 <b>independently</b> 313:20 <b>index</b> 176:19 <b>indicate</b> 277:23 355:22 <b>indicated</b> 178:14 181:18 184:10 292:7 298:9 302:7 325:19 <b>indicates</b> 336:24 <b>indicating</b> 217:7 268:20 280:14 295:6 <b>indication</b> 298:4 <b>indications</b> 268:19 <b>individual</b> 167:8 181:22 182:18 186:2,10 221:12 223:15 226:19 319:25 320:4 323:3,4,19 <b>individually</b> 165:6,24 166:9 <b>individuals</b> 158:2 <b>indust</b> 243:22 <b>industrial</b> 162:23 163:1 243:22 252:15 260:14 <b>industrial-type</b> 342:1 <b>Industries</b> 152:14 <b>inferred</b> 218:24 240:16 300:19 <b>infilling</b> 345:17 <b>influence</b> 355:14,15,16 356:3 <b>influenced</b> 355:8 356:15 <b>information</b> 170:22 174:20 175:4 193:6 195:21 210:12
<b>hung</b> 300:11 330:16 <b>hydraulic</b> 241:7 264:20,22 <b>hydrocarbon</b> 253:22 <b>hydrocarbons</b> 341:20 <b>hypothesis</b> 344:5 <b>hypothetical</b> 189:8 242:12 306:20,25 309:10,13,24 311:3 312:2 315:23 316:18 317:13 332:9 336:10 344:17 345:25 <b>hypothetically</b> 180:2	<b>III</b> 154:6 <b>I'll</b> 230:6 243:4,6,24 246:12 257:19 282:22 <b>illustrated</b> 229:15,19 319:16 321:22 <b>illustrates</b> 229:12 318:16 <b>I'm</b> 156:7 158:17,23,24 159:2 160:14,22 161:23 162:19 164:3,19 165:14 166:3,17,22 169:4,12 171:18,19 180:10,11 183:2,5,9,13 184:10 185:22 189:10,25 190:8 191:22 195:1 197:1,8,13 198:20 199:2,6,7,11 200:1 202:15 203:14,23 207:13 208:12,13 210:3,4 211:10,11 213:21 214:24 218:23 219:2,7,10,12, 13,15,18 220:8,22 221:19 222:20 223:8 224:3,6 225:22 227:8,13 228:24 234:2,21 235:10,17 236:15 237:21 242:25 244:14 245:10 246:6,25 249:17 250:2,12,17 254:10,22 256:11 262:9 267:5 268:24 270:1,4,8 271:12 279:9	<b>imagine</b> 216:14,20 <b>imbalance</b> 347:5 <b>immediate</b> 282:1 344:14 <b>immediately</b> 289:3 319:14 335:9 348:4 <b>impacts</b> 166:10 246:19 315:18 326:11 <b>impair</b> 277:11 <b>impairment</b> 286:18 <b>implement</b> 190:10 <b>implementation</b> 157:7 <b>implementing</b> 191:1 <b>implicated</b> 343:17 <b>implication</b> 232:15 233:5 <b>implies</b> 217:22 300:13 <b>implying</b> 218:22 223:24 343:5 345:12 347:14 <b>important</b> 249:22 305:14,25	<b>I</b> <b>i.e</b> 169:9 170:17 236:13 <b>I'd</b> 201:9 <b>idea</b> 176:3 189:20 220:15 224:11 333:15 <b>identification</b> 153:11 208:7 228:19 229:14 230:22 256:5 258:11 261:15 267:10 268:11 269:19 273:1 282:24 295:17 296:19 298:22 318:12 320:8 325:22 329:12 340:5 <b>identified</b> 195:8 215:23 229:11 236:13 238:8 308:17 324:15 <b>identify</b> 194:20 218:8 220:18 236:8,11 238:18 240:1 253:15 276:5 314:12,15 315:1,10,17 <b>identifying</b> 238:7 256:20 314:22 <b>ignored</b> 237:24	

216:8 218:9,12 251:4 256:13,21 271:8 287:2 290:22 291:1,8,19 294:4,13,23 296:24 297:16,17,21 298:6 300:3,14 303:19,20 304:12 307:14,19,23 308:13 309:18 312:20 313:12,20 316:5,8,24 325:21 335:23 352:22 353:20,21 354:2,19	<b>insufficient</b> 250:22 <b>intake</b> 327:4,24 328:2 329:5,17,22,2 4,25 330:8,13,23 <b>integrity</b> 341:13 351:23 <b>intend</b> 297:13 <b>intended</b> 154:4 209:7 252:1,5 261:25 267:14 297:10 347:20 <b>intending</b> 295:23 <b>intent</b> 252:8 253:14,15 297:1 <b>interest</b> 211:6 <b>interested</b> 219:13 307:23 353:12 359:11 <b>interesting</b> 344:10 348:6 <b>International</b> 321:5 <b>interpretations</b> 302:10 354:2,16 <b>interpreting</b> 225:22 <b>interrelate</b> 325:14 <b>interrelationship</b> s 325:15 <b>interrupt</b> 333:20 <b>interrupted</b> 222:13 <b>interrupting</b> 235:7 <b>intimations</b> 322:2 <b>in-took</b> 327:5 <b>introducing</b> 255:18 <b>introduction</b> 258:19 261:24 <b>investigate</b> 212:3 217:3 238:3 244:17 247:2 309:2 310:7 336:20	343:8,18 <b>investigated</b> 294:10 342:2 <b>investigating</b> 293:8 342:21,22,25 343:4 <b>investigation</b> 153:16,18 154:22 155:4 195:25 217:5 240:5 298:5 300:2,20 302:20 305:23 310:24 325:24 346:3,25 349:24 <b>investigative</b> 217:1,15 218:6,20 219:10,20 221:1 <b>involve</b> 209:18 244:3 <b>involved</b> 157:10 161:9 175:12 192:5 193:3 194:1 209:2,9,13 210:10,11,25 216:23 218:4 221:16 225:18 247:4 302:3 306:9 315:13 316:7 328:10 <b>involvement</b> 192:15,23 193:10 194:6 198:22 209:22,23 210:6,8 <b>involves</b> 314:23 <b>Involving</b> 209:4 <b>irrelevant</b> 332:20,25 347:4 <b>isn't</b> 199:14 218:17 227:3 240:20 249:5,6,19 285:12 307:6 325:4 349:22 <b>issue</b> 206:17 217:15 291:18 295:3 297:2,10 340:1	<b>issued</b> 219:10 299:23 <b>issues</b> 209:14 268:15 293:6 <b>issuing</b> 217:14 220:25 290:14 291:15 <b>item</b> 273:24 342:3 <b>items</b> 255:17 267:25 <b>it's</b> 159:9,12,16 160:14 164:19 165:2 172:13,20,21 173:18 175:18,21 176:19 182:9 183:10,19 184:1 188:12,15,17 189:21 194:19 197:5,16 205:19,24 206:8 208:20 212:19,22 214:16 216:24 217:8 223:5 226:10,25 227:7 228:21 230:2,4 231:5,6,21 232:2 242:15 244:14 245:12 248:12 249:24 250:12 251:13 252:14 254:11 255:14 258:14 260:3,17 261:18 264:4 265:3 267:15 268:9,18 269:5,7,24,25 270:6,8 272:12 273:24 275:8 276:15 278:2 282:10,23 283:4 285:23,24 286:10 287:4 289:7,10,23 293:5,25 301:2,3 303:22 304:1 307:2,6 317:14 319:7,12 320:11,20,24 321:21,24	325:21 330:23 332:20,25 334:3 337:7 339:17 340:7 344:19 348:8 355:22,23 356:6,17 <hr/> <b>J</b> <b>jailhouse</b> 224:14 <b>JAMES</b> 152:15 <b>January</b> 154:24 155:1 320:6 329:10,16 <b>JEFFREY</b> 151:7 <b>jerk</b> 255:11 <b>Jill</b> 152:7,19 156:7 <b>Jim</b> 163:8,9 164:6 <b>job</b> 215:7 220:21 227:18 <b>join</b> 239:18 242:2 245:6 251:23 291:22 292:14 293:20 296:2 297:4,5 299:18 300:7 306:5,6,21,22 307:11,12 310:1,2 311:5 312:13,14,23, 24 317:14 336:9,11 <b>Joinder</b> 153:12 <b>joining</b> 208:17 243:11 <b>joint</b> 236:16 <b>joints</b> 341:17 <b>judgment</b> 221:3,4 <b>July</b> 155:5 329:21 <b>jumped</b> 291:17 <b>June</b> 154:15 <hr/> <b>K</b> <b>Katz</b> 200:23,24 201:6,19,25 202:2,6 204:2,4,14 <b>Katz's</b> 200:14 <b>Keeper</b> 156:8
---	---	--	---	---

<b>Kelly</b> 151:8 163:7,8	350:9 356:23	334:23 335:6,7	343:4	312:21 313:6 327:25 330:5 331:2
<b>key</b> 222:18	<b>language</b> 160:5 161:7	<b>least</b> 171:17 173:17 188:22 204:12 211:3 213:25 268:20 281:21 302:6 312:19	<b>levels</b> 161:15 162:9 165:8,19 166:1 167:6 197:19 198:6,10 202:8 204:17 207:14 226:12 230:12 318:4	<b>lining</b> 189:17
<b>kick</b> 255:15	<b>large</b> 157:25 158:15 164:19 185:1 190:11 265:21 266:9,12 317:25 318:1 319:13,24 342:1	<b>leave</b> 233:5,8	<b>LHonma@water boards.ca.gov</b> 154:19	<b>link</b> 180:12 232:16 237:8
<b>kilometer</b> 204:5,6,10	<b>larger</b> 263:4 320:22,25	<b>leaves</b> 218:5	<b>liability</b> 199:16 236:16	<b>liquid</b> 268:1
<b>Kinder</b> 158:12	<b>large-scale</b> 320:1	<b>led</b> 266:19	<b>liable</b> 332:21	<b>Lisa</b> 211:15 299:23
<b>kinds</b> 265:16	<b>last</b> 158:9 169:19 235:20,23 236:1 283:12,15,24	<b>left-hand</b> 268:18	<b>lifted</b> 251:14	<b>list</b> 169:15 252:5 265:10
<b>knew</b> 223:20 310:25 335:15 345:3 352:8	<b>late</b> 241:1 247:22 248:1 263:7	<b>legal</b> 196:7 197:14 221:7,22 224:8 276:12	<b>light</b> 313:6	<b>listed</b> 164:16 165:20 168:12 181:10 350:13
<b>knowledge</b> 195:8 196:9 197:17 213:18 244:9 246:20 255:20 258:5 263:25 264:6,21 280:3 294:19,24 301:8,18 325:18 350:12	<b>later</b> 159:19 231:8 249:11 286:19 308:22	<b>legend</b> 318:22	<b>likelihood</b> 236:10 264:12 272:19 309:20	<b>listen</b> 250:23
<b>knowledgeable</b> 174:9 177:7 180:12,14 184:11 195:15 197:13 221:2,7,20,25 225:10	<b>laterally</b> 355:4,5	<b>length</b> 220:2	<b>likely</b> 175:21 184:1 244:10,15 264:4,23 265:3 267:4,20 272:12 288:25 302:2 308:18 315:17 316:21 319:7,12 331:3 356:6	<b>listening</b> 156:9
<b>known</b> 187:15 220:18 311:13 314:13,24	<b>laterals</b> 304:7	<b>lengthy</b> 228:22	<b>limit</b> 164:20 330:19	<b>listing</b> 173:19
<b>Kolb</b> 154:19 291:2,5,12 292:8,10,19 298:20 299:23 302:19	<b>LATHAM</b> 151:7	<b>LESLIE</b> 151:16	<b>limitation</b> 175:25	<b>lists</b> 162:17 265:11
<b>Kristen</b> 191:22	<b>law</b> 223:18 332:21	<b>less</b> 189:21 191:5 233:13 321:13	<b>limited</b> 205:22 244:5 254:11 263:3 270:24	<b>literally</b> 266:14
<b>KRISTIN</b> 152:3	<b>lawyer</b> 224:14	<b>let's</b> 159:13 160:8 161:8 162:11 164:22 166:21 169:2 172:3,18 181:23 189:16 208:2 222:18,19 228:17 229:16 230:7 233:8 240:7,9 254:23 257:10 261:13 263:6 269:16 282:21 286:19 298:16,18 307:6 310:13 318:6 320:3 322:1,16 328:13 329:8 331:25 332:2 337:17,19 339:25	<b>limits</b> 330:22 331:24	<b>literature</b> 260:9 314:18
<hr/> <b>L</b> <hr/>	<b>lawyers</b> 224:2	<b>let</b> 154:16,17 284:17 295:6 296:5,15,22 297:8,9,12 298:2,5,10	<b>line</b> 194:25 223:23,24 224:1 263:11 277:21 303:11,16 304:17 305:3,16 306:1,17 307:4,8 309:6 310:24 325:24 327:4,5 329:5,6 330:12,13,14 356:2	<b>liters</b> 273:19
<b>laboratory</b> 331:23	<b>lay</b> 221:8 222:19 224:14	<b>letters</b> 293:1	<b>lines</b> 213:8 247:1	<b>little</b> 156:22 189:22 203:14 221:19 226:25 244:14 246:6 254:22 256:22 292:23 308:9 322:13 328:10 330:16
<b>lack</b> 294:23 354:19	<b>layer</b> 322:20 323:2,24 324:6	<b>letting</b> 222:10 235:18 236:18		<b>live</b> 164:10
<b>lacks</b> 234:15 293:18 298:8 299:10 308:2 325:7 345:23	<b>lead</b> 158:17 205:4 218:23,25 237:1 259:4 285:19 328:3	<b>level</b> 198:5,21 210:7 330:18		<b>LiveNotes</b> 203:1
<b>Ladin</b> 283:5	<b>lead-in</b> 252:14			<b>Lloyd</b> 154:16,18
<b>lagoon</b> 209:13	<b>leading</b> 246:24			<b>LLP</b> 151:7,19 152:3,11
<b>land</b> 237:18 336:5 339:10,14 346:21 349:2	<b>leads</b> 171:21			<b>load</b> 206:8
	<b>lead-up</b> 243:21			<b>loaded</b> 284:7
	<b>leaking</b> 158:21			<b>local</b> 158:20
	<b>learned</b> 191:2			<b>localities</b> 273:20 274:2,6
	<b>leasehold</b> 202:17 303:12,16 304:9 305:7,13			<b>locate</b> 179:13 229:24 279:9
				<b>located</b> 167:16 172:12 179:6 184:8,9 185:12 273:10 311:15 335:8,10 349:17
				<b>locating</b> 176:4

<b>location</b> 233:13 262:20 275:4 318:16 334:13,22 335:22 355:2,3	359:4,19	228:19 230:2,20,22 256:5 258:11 261:15 267:10 268:11 269:19 273:1 282:24 295:17 296:17,19 298:22 318:12 320:8 329:12 340:5	188:25 191:9 194:10 217:19 222:2 235:8 236:13 237:17,18 243:19 246:11 254:3 256:17 261:17 274:17 275:22 286:9,23 287:18 294:4 305:21 311:17 312:1 314:19 315:2 349:23 353:9	348:1 <b>meaningless</b> 249:24 <b>means</b> 196:24 227:1 <b>meant</b> 160:11 203:7 254:1 276:20 288:23 333:7 338:8 350:2 <b>measured</b> 204:10 260:19 269:2 281:3 <b>measures</b> 338:16 <b>measuring</b> 250:7 330:13 <b>mechanism</b> 272:20 276:25 <b>mechanisms</b> 355:7 <b>media</b> 276:10 <b>median</b> 167:22 <b>meet</b> 156:10 286:2 291:4 <b>meeting</b> 212:5 <b>member</b> 298:19 299:4,8 <b>members</b> 291:3,12 <b>memory</b> 169:10,20 <b>mention</b> 241:17,21 242:8 243:19 248:11 249:2,5,8,9 253:9,12,19 <b>mentioned</b> 166:7 187:23 199:3 200:6,24 209:12 211:1 212:23 214:1 240:14 242:13,15 251:18 253:8 327:3 348:9,16 <b>mentioning</b> 248:22 251:2,12 281:10 <b>MEQ</b> 169:25 <b>mercury</b> 259:4
<b>locations</b> 244:15 265:11 272:13 285:16 321:22 345:10 351:13 352:7,24	<b>main</b> 176:16 202:7 <b>maintained</b> 340:14 <b>maintenance</b> 244:2,3 341:14 <b>major</b> 217:24 218:4 239:23,25 341:12 <b>majority</b> 257:19 319:13 <b>Management</b> 154:3 267:13 <b>manner</b> 210:23 241:8 <b>map</b> 178:4 348:13 352:19 <b>Marie</b> 359:4,19 <b>Marina</b> 259:18 <b>marine</b> 153:15,18,22 154:11,21 212:17 215:22 216:13 217:2 218:15 219:4,16 246:4,7,18 248:11,17 258:7,25 260:8,15,17,2 2 261:9 266:14 272:24,25 274:16 288:2,9,24 289:4 314:1 318:17 322:6 <b>Marine/BAE</b> 217:6 <b>mark</b> 219:7,8 228:18 230:19 255:23 269:18 272:24 282:22 295:9 318:10 320:5 329:10 340:3 <b>marked</b> 153:11 208:7,16	<b>marker</b> 314:13,24 317:12 319:10 <b>marking</b> 208:21 <b>mass</b> 275:17 286:12,16 <b>masses</b> 286:8 <b>Master</b> 159:3,10,12 <b>match</b> 180:25 <b>material</b> 179:4 239:15 261:5 266:21 267:25 269:7,8 281:7 287:6,18 301:9,19 351:17 <b>materials</b> 200:18 242:7 244:4,25 246:8 248:21,23 249:11 250:8 251:1,19,25 256:15 257:22,23 259:7 265:16 267:2 270:12 308:17 356:20 <b>mathematical</b> 184:2 <b>mathematics</b> 157:16 <b>matter</b> 149:4 150:4 160:25 176:11 177:24 191:23 208:13 234:7 243:21 305:2 <b>matters</b> 272:8 <b>MATTHEW</b> 151:20 <b>maximum</b> 206:8 <b>may</b> 154:4 166:20 177:10	<b>maybe</b> 169:9 173:13 185:7 192:10 203:18,20 205:7 244:13 253:5 265:2 274:15 284:9 291:5 298:16 330:19 342:5 348:9 350:1 355:6 <b>MCGOLDRICK</b> 152:15 <b>mean</b> 175:1,2 183:2 184:17 188:9 197:8 198:24,25 208:25 209:3 216:10 219:17 220:2 221:6,7 227:5 228:14 230:5 232:16 233:7,19 235:16 237:10 239:21 243:14 250:14 251:13 254:5,8 261:6 266:2 270:6 271:1 274:3 275:15,16,18 276:14 278:8 282:12 292:4 303:7 308:9 311:21 314:12 315:8 316:14 320:13 322:1 324:12 335:7 337:4,6 342:19 344:19 345:18 347:14 348:19 350:16 <b>meaning</b> 206:1 238:8 272:12,21 283:12 304:4	

285:19	312:11,12	308:19	<b>necessary</b> 244:16	300:17 301:1
<b>met</b> 208:12	345:21	<b>multiple</b> 195:9	253:9 254:10	329:25
291:12	347:8,11,22	214:17 240:2	324:23 325:25	<b>nowhere</b> 168:25
<b>metal</b> 253:20	349:6	242:13 287:24	327:14 343:5	239:13
263:21	<b>mistaken</b> 270:2	352:16	<b>neighborhood</b>	<b>NPDES</b> 154:11
<b>metals</b> 205:4	<b>misunderstand</b>	<b>multiplication</b>	217:10 218:1	155:1,2
270:11 279:6	212:1	178:7	220:17 344:8	193:19
280:1 285:15	<b>misunderstandi</b>	<b>multiply</b> 178:2,5	345:14 348:9	214:3,6
334:4	<b>ng</b> 293:9	183:12	<b>neither</b> 184:15	271:19,25
<b>method</b> 176:12	<b>mix</b> 195:2	<b>multi-year</b> 209:4	<b>Nelson</b> 149:16	272:2,24
281:16	<b>mixture</b> 317:8	<b>municipal</b> 290:9	150:15	275:19 276:5
<b>methodology</b>	<b>mixtures</b> 171:5	296:7	359:4,19	327:23
171:6 261:4	<b>mobilization</b>	<b>myself</b> 169:4	<b>nevertheless</b>	<b>nuisance</b> 217:20
<b>methylene</b> 244:6	189:18	171:23 322:14	281:5	220:7
<b>Microscopic</b>	<b>moment</b> 161:23	339:15	<b>nickel</b> 285:19	277:7,19
271:13	166:15,17	<hr/>	<b>nobody</b> 293:8	278:17 286:11
<b>middle</b> 183:13	169:5 340:17	<b>N</b>	294:10 307:3	332:22
<b>mike</b> 164:9	<b>monitoring</b>	<hr/>	333:2	<b>numerous</b> 244:4
<b>mikes</b> 164:8,10	271:19,25	<b>NA22</b> 202:20	<b>noncontact</b>	<hr/>
<b>million</b> 185:21	272:25 274:20	204:3,7	327:9	<b>O</b>
189:17	275:19 276:5	<b>Nails</b> 261:19	<b>nonriad</b>	<b>oath</b> 156:12
260:2,11	<b>moon</b> 355:21	<b>narrative</b> 214:8	170:7,11	<b>object</b> 160:22
269:4	<b>Morgan</b> 158:12	<b>narratives</b>	<b>nor</b> 184:15	245:12,13
<b>mind</b> 162:1	<b>morning</b> 156:6	277:13	<b>north</b> 304:5	<b>objection</b> 159:23
179:10 191:8	160:14 191:21	<b>NASSCO</b> 150:12	335:9 344:23	234:15 239:17
202:19 261:7	208:11 299:23	153:15,17	345:13 346:7	241:15,25
332:16 341:23	<b>MORTON</b>	179:2 215:21	347:7,15	242:10,16,20
<b>minds</b> 253:18	152:15	216:12 217:1	<b>northern</b>	243:1 247:17
<b>minimal</b> 240:20	<b>mostly</b> 214:8	219:12 238:8	219:3,11	262:17,21
<b>minus</b>	<b>most-senior</b>	318:5	<b>note</b> 258:18	291:20 292:13
183:1,11,12	225:9	344:2,7,13,22,	332:6	294:16 295:25
191:3 217:25	<b>mouth</b> 201:3	25 345:2	<b>noted</b> 257:4	297:3,25
225:12	202:9,15,21	346:10,23,25	265:19 270:8	310:9 316:3
<b>minute</b> 172:1	203:8 204:2,5	348:11	<b>notes</b> 191:9	<b>objections</b>
180:22 211:20	206:7,10,12,1	<b>NASSCO's</b>	<b>nothing</b> 208:18	242:11 250:1
273:10	8,22 278:8	153:12	223:12 290:21	251:3,10
<b>minutes</b> 337:20	<b>move</b> 172:18	<b>National</b> 151:6	300:1 318:5	292:13,22
347:18	189:20,22	154:3	357:2 359:7	293:10,16,20
<b>mischaracterize</b>	190:7 310:13	<b>natural</b> 157:2	<b>notice</b> 153:12	294:15
<b>d</b> 249:7	333:8 346:1	332:23	208:17 243:19	<b>objective</b> 240:23
<b>miss</b> 199:7	354:8	<b>nature</b> 315:5	268:15 291:16	286:7
<b>missed</b> 204:21	<b>moved</b> 157:4	<b>Naval</b> 204:13	296:25	332:1,2,5
<b>missing</b> 294:14	342:9 346:20	<b>Navy</b> 202:2	297:9,11,13,1	<b>observation</b>
<b>Mission</b> 158:12	<b>moving</b> 281:5	317:24,25	4.23	330:7
<b>misspoke</b> 350:1	338:2 354:24	318:7	<b>noticed</b> 343:23	<b>Observations</b>
<b>misstates</b> 166:11	356:3	<b>NE22</b> 207:18	<b>notice's</b> 268:16	288:17,20
183:24 239:17	<b>MS4</b> 192:20	<b>nearest</b> 271:15	<b>notion</b> 217:22	<b>obtain</b> 290:13,16
245:2,3,23,24	193:3,17	<b>necessarily</b>	<b>NOV</b> 290:13	<b>obviously</b> 223:15
248:25 249:1	194:12 198:1	188:23 205:7	291:18 293:6	283:6 307:3
251:21,22	199:15 205:8	218:25 220:5	299:24	330:6
291:20 300:5	206:1,15	277:6,18,23	302:13,14	<b>occasion</b> 283:14
309:16	214:5 226:13	278:4 281:13	<b>November</b>	330:8,23
	289:25 304:1	284:19 353:7	154:17 296:16	<b>occasions</b> 291:4
				292:8 329:4



330:13	287:15 290:5	309:25 355:9	<b>outcome</b> 190:22 359:12	167:3,9,11,18, 24.25 169:2
<b>occur</b> 308:12	305:20 310:10	<b>opinions</b>	<b>outfall</b> 207:15,17	172:21 182:17
<b>occurred</b> 261:9	318:18 322:23	196:13,16,22	228:12	183:14 202:3
262:16 293:9	323:17	197:3,6,9,10	229:15,18,25	226:8
325:15 351:21	326:8,14,15	198:12,13,21	233:16	229:12,15,23
<b>occurs</b> 237:22	333:4,21	199:3,6,21	<b>outflow</b> 193:20	230:21,23
<b>ocean</b> 246:13	334:5	200:2,7,10	201:2	231:1,4
<b>October</b> 154:16	337:17,19	<b>opposed</b> 197:14	<b>outflows</b> 201:20	232:11 240:9
295:7 296:9	339:25 343:15	204:13 236:7	<b>outlet</b> 304:3	243:14,16,18, 19,21,22
300:18 329:24	344:5 348:8	278:12 306:2	<b>output</b> 214:11	248:15 252:9
<b>offering</b> 200:1	351:12 352:17	<b>order</b> 149:5	<b>outset</b> 211:9	259:16 261:24
<b>offhand</b> 182:9,13	<b>old</b> 280:7	150:5 155:4	<b>outside</b> 171:7	265:9,19
<b>office</b> 213:4	<b>omission</b> 253:25	191:3 192:7	174:16,18	267:17,19
224:10	<b>omits</b> 293:24	209:8	202:20 266:21	273:14,15,17
<b>off-loaded</b> 342:8	<b>onerous</b>	210:2,7,15	351:14	279:11 280:12
<b>offshore</b> 157:3	185:3,10,11	217:1,13,15	<b>overall</b> 162:7	284:23 285:13
<b>oftentimes</b>	<b>ones</b> 157:25	218:6,11,13,2	263:1 266:3	287:8,9,25
214:21,23	193:4 218:7	0 219:10,20	332:1	288:4,8
256:10	219:13 236:12	221:1 237:7,9	<b>overlap</b> 202:15	289:24 290:1
<b>oh</b> 189:4 234:3	<b>onshore</b> 157:3	238:10 245:3	<b>overlapped</b>	291:6 313:13
244:12 284:19	<b>on-site</b> 338:14	255:24 284:6	203:8	318:14,15
288:6 290:3	<b>on-the-job</b>	290:16,17	<b>overlay</b> 319:19	320:21
302:24 317:22	157:24	295:10 296:18	<b>overlooked</b>	321:1,18
320:13 322:10	<b>open</b> 213:9,10	298:21 318:10	322:10	322:17,21
334:20 342:12	353:16 355:17	320:5,7	<b>overruled</b>	323:9,23
<b>oil</b> 157:1,2	<b>operated</b> 248:18	329:11 335:19	326:24	338:4,9 339:6
264:10 338:17	<b>operation</b> 217:25	336:3,4 340:4	<b>oversight</b> 158:20	340:8,10,23
340:9	248:1 262:20	343:3	<b>overspray</b>	<b>pages</b> 149:11
<b>oils</b> 241:7 253:4	287:20	<b>ordered</b> 190:5	246:17,23	153:13,16,19, 21,23
264:20,22	<b>operational</b>	<b>ordinary</b> 224:9	282:4	154:2,5,7,10,1
<b>okay</b> 160:21	190:9	<b>Oregon</b> 256:2	<b>overstating</b>	2,15,16,22,24
161:25 162:11	<b>operations</b>	<b>organization</b>	347:23	155:2,5
164:22 167:13	233:18,20,21,	211:5	<b>overview</b> 159:19	176:1,2
169:3 171:2	23,25	<b>orient</b> 322:14	161:12,21	179:11 215:20
172:25 173:12	234:5,12	<b>orientation</b>	<b>overlooked</b>	242:15 275:6
174:4,25	236:25 238:24	304:7	347:23	322:19
176:18 180:24	240:2,10,11	<b>original</b> 160:13	<b>overstating</b>	<b>paging</b> 172:10
183:7 190:2,4	241:2 243:12	176:9	347:23	<b>PAH</b> 324:4,15
192:15 195:20	245:21 246:17	<b>originally</b> 296:11	<b>overview</b> 159:19	326:11
199:4 200:3	247:4,5,7,13	355:2 357:3	161:12,21	<b>PAHs</b>
206:25	248:4,5,7,13	<b>originate</b> 313:7	<b>overstating</b>	323:6,24,25
208:2,20,22	257:23	<b>originated</b>	347:23	324:9,10
213:2,22	262:4,6	303:21 312:21	<b>oversight</b> 158:20	<b>paint</b> 246:16,20
220:1 222:18	266:19 274:22	<b>originates</b> 296:8	<b>overspray</b>	247:16 248:11
223:4	281:24 282:13	<b>originating</b>	246:17,23	259:18
227:5,18,21	309:22 317:20	310:25	282:4	260:3,17
228:7 232:5,7	318:8 319:6,8	<b>origination</b>	<b>oversight</b> 158:20	261:5,6,9
235:15,25	344:8	302:21	<b>overspray</b>	265:22 266:10
240:7 246:22	<b>operators</b> 212:20	<b>others</b> 194:22	246:17,23	268:17,25
247:13 250:18	226:1	195:12 212:25	<b>overstating</b>	269:4,6,8
253:10 257:21	<b>opinion</b>	228:16 291:5	347:23	271:14,21
258:18 262:12	197:13,14,15,	305:18 325:23	<b>overview</b> 159:19	272:9,20,21
263:15 269:10	22 198:3	<b>Otherwise</b>	159:11	273:5,17,18,2
263:15 269:10	199:8	249:24	160:2,8,9	2 274:7,17,22
270:5 273:11	200:15,19	<b>Otherwise</b>	162:12 164:17	275:13 279:4
279:24 281:14	204:16 206:5	249:24	166:21,22	280:7,13,15
282:21 284:19	207:4,9,20			281:7,17

282:3 287:6 288:11 <b>paint-chip</b> 272:18 <b>painting</b> 246:18 247:5,15 281:9,11,12,1 3,15,16,24,25 <b>paints</b> 153:23 241:6 246:4,23 247:14,21 251:7 258:9,20,23 259:5 260:8,15,19,2 3 268:22 269:14 270:13 281:22 314:1,6 <b>Palo</b> 341:22 <b>pants</b> 342:13 <b>paragraph</b> 160:3 162:12 164:17 169:5,7 226:10 228:10 232:11 258:19 270:9 279:14 281:11 285:13 287:1,8,12,13 322:11 338:12 <b>parameter</b> 320:2 <b>pardon</b> 236:20 269:24 274:10 320:13 333:25 <b>participate</b> 238:9 <b>participated</b> 193:14 221:4 <b>participation</b> 230:3 <b>particles</b> 280:7 <b>particular</b> 156:16 164:23 209:10 212:3 213:13 226:11 227:12 240:2 246:4 255:21 257:6 259:24 260:15 265:8 274:7 276:7 321:1 328:16 338:11 354:12 <b>particularly</b> 212:13 354:23 <b>parties</b> 177:12	196:2,14,17,2 3 197:4 217:17,18 220:19 225:19,23 236:9,12 238:19 285:25 325:23 359:12 <b>party</b> 210:14 292:20 307:24 312:9 356:25 357:4 <b>passing</b> 353:4 <b>past</b> 281:1 <b>pathway</b> 185:4,7 220:24 <b>pathways</b> 185:10 <b>pattern</b> 271:14 <b>PC</b> 274:17 <b>PCB</b> 181:12 182:15 183:6 198:3 226:11,12 228:9 230:10,11 231:2 232:17,19 233:2,12,25 234:9,13 237:1,9,14,22 238:4 239:9,16 240:13 246:18,24 249:2,9,10 251:12 253:25 256:2,14 257:13,16,22 258:2 259:6 262:15 267:21 268:13 269:7 304:20 310:6,7 315:18,20 317:5 318:20 319:17 323:14 324:2,15 326:10 334:6 342:23 <b>PCB-containing</b> 269:8 271:21 349:10 <b>PCBs</b> 181:23 183:10 194:1 234:6 236:14 237:17 238:22 239:4 241:2,8 242:9	244:11,15,21, 24 245:20 246:5,8,14 247:14,21 248:1,11,24 249:5 251:2,18 253:3,5,8,12 255:18 257:12,20 259:24 260:8,10,13 261:9 263:3,7,17 264:13,14,16, 19,22,24 265:4,10,11,1 6,17,18 267:20 268:1,15,21 269:13 272:9,19 308:16 309:21 316:21 323:6,25 324:10 334:3 343:22,25 344:7,14 345:4,7 347:1 <b>penalty</b> 358:1,5 <b>pending</b> 242:18,19 243:8 <b>penny</b> 236:17 <b>people</b> 159:8 195:9 215:22 216:13 217:23 221:8 222:20,21 223:14 224:10 325:14 <b>per</b> 174:15,16,17 185:21 188:2 231:13,14,18 260:2,11 269:4 319:3 <b>percent</b> 182:16,19 220:20,21 268:23,24 280:14,20 286:23 287:17 288:13 337:3 341:25 <b>percentage</b> 281:6,7,16 <b>perfect</b> 200:3 302:1	<b>perform</b> 215:4 <b>performance</b> 215:2 <b>performed</b> 205:17 215:9 287:14 <b>perhaps</b> 169:17,18 217:2 232:10 286:23 287:17 291:11 302:1 308:11 330:19 353:10,11 <b>period</b> 167:22 209:14 210:5 271:5,6 <b>perjury</b> 358:1,5 <b>permit</b> 154:11 192:20 193:18 <b>permits</b> 193:3,5 214:6 <b>person</b> 158:17 174:9 177:7 180:12,14 184:10,11 195:15 221:2,6,15,20, 24 224:9,22 225:9 304:2 359:11 <b>personal</b> 294:18,23 <b>personally</b> 246:25 346:21 <b>personnel</b> 210:25 <b>person's</b> 216:10 <b>persuasive</b> 235:9 <b>pertinent</b> 262:2 <b>perusing</b> 284:7 <b>petroleum</b> 341:20 <b>pH</b> 323:16 <b>Phase</b> 240:18,20 241:13 242:1 243:12 248:20 249:1,14,22,2 3 250:4,7,11,21, 25 251:5,14,15 <b>phone</b> 156:9 <b>photos</b> 336:13	<b>phrase</b> 220:9 221:7,20 222:8,19 <b>pHs</b> 194:1 <b>physically</b> 229:8 <b>pick</b> 284:12 <b>picture</b> 336:4 <b>piece</b> 298:24 <b>pieces</b> 261:5 <b>pier</b> 321:15,25 322:12 323:19 355:18 <b>pilings</b> 355:18 <b>pin</b> 221:15 <b>pipe</b> 304:3 <b>PIPER</b> 151:19 <b>pipes</b> 302:6 303:25 <b>PIPs</b> 238:7 <b>pitfalls</b> 315:14 325:20 <b>places</b> 321:15 355:11,12 <b>plan</b> 277:12,13 338:16 <b>planning</b> 200:1 <b>plans</b> 232:22 <b>plant</b> 155:2,5 217:24 303:12 <b>plasticizers</b> 268:22 269:13 <b>play</b> 335:23 <b>please</b> 162:4 163:13,15,23 171:20 172:19 193:15,16 220:14 222:3 232:8 235:9,12 238:25 242:17,25 254:14 259:15 274:25 279:9 294:13 300:8 329:10 331:11 333:23 341:9 <b>plenty</b> 336:13 <b>plot</b> 179:18 185:20 <b>plume</b> 193:20 201:20 204:2
---	--	--	---	--

205:11,16	<b>polychlorinated</b> 153:22 258:8 260:19	<b>possibly</b> 177:11 195:11	<b>present</b> 219:1 237:15 238:22 265:4,16 267:20 268:1 279:6 280:1,7 286:9 336:4 351:1	186:23 188:16 189:19 194:17 200:24 211:20 212:25 221:11 226:24 231:10 238:11 263:22,23 264:10 284:8 285:24 286:1 289:9,10 309:21 317:15 321:24 330:23 343:24 344:4
<b>plumes</b> 205:10	<b>polygons</b> 170:9,17,22 173:20,22 178:6,13,17 180:25 181:16 185:14,15,17 188:22,24	<b>potentially</b> 196:14,16,23 197:4,19 198:14 204:23 207:5 220:23 225:17 228:10 265:17 275:3 286:13 345:5 356:25	<b>presently</b> 274:23	<b>probing</b> 312:17
<b>plural</b> 262:9	<b>polynuclear</b> 253:21	<b>power</b> 155:2,4 217:24 303:12	<b>presents</b> 322:16	<b>problem</b> 322:15
<b>plus</b> 177:25 191:3 217:25 225:12	<b>pond</b> 334:3,13,16,2 3 335:10,21	<b>PPBs</b> 318:20	<b>presumably</b> 318:3 321:12	<b>proceeding</b> 289:20
<b>Plychlorinated</b> 153:20	<b>populate</b> 318:1	<b>practices</b> 154:3 262:25 267:13	<b>prevention</b> 338:15	<b>proceedings</b> 359:9
<b>PMK</b> 195:19,22,24 221:25	<b>pore</b> 183:8 214:1,2,5	<b>preceding</b> 271:6	<b>previous</b> 166:6 185:22 201:8,10 203:13 235:15 243:22 245:14 278:12 280:24	<b>process</b> 157:17 161:21 162:7 167:21,25 170:10 171:4 186:7 210:24 212:12,21 213:13,22 217:12 218:17 219:24 221:14 223:5 226:2 236:6,8 238:7 246:17 272:15 286:4 290:14 291:15 300:13 302:20 306:8 307:21 328:25 344:5
<b>point</b> 167:7 184:12 190:24 202:1 206:23 219:5 232:16,18,19 236:5 237:24 242:14 248:15 257:9,10 274:5 302:3 305:10 316:9 317:2,3 323:19 335:15 338:8 343:21 344:10 347:17 349:17 356:1	<b>porous</b> 341:16	<b>precipitate</b> 278:10	<b>previously</b> 316:23 350:17 355:19	<b>processes</b> 243:20,23,25
<b>pointed</b> 167:23 198:18 330:20	<b>Port</b> 151:10,15 199:24 284:15,18,20 286:2	<b>predecessor</b> 318:5	<b>primarily</b> 286:5	<b>produced</b> 267:19
<b>pointing</b> 233:15 273:24 337:15	<b>portion</b> 164:1 167:24 206:1,15 233:21 305:6 314:5 318:1 321:12 335:8 344:13 345:1 351:5	<b>predecessors</b> 219:17 234:13 344:6	<b>primary</b> 194:11,14,18 201:18 257:12	<b>product</b> 218:23
<b>points</b> 186:13 229:14,17,21 230:4,8,13 231:3,13,17,2 3 240:3 319:25 320:4 323:4 324:6	<b>portions</b> 212:4 227:20,23 228:2 240:12 266:3	<b>prefer</b> 160:17,20	<b>primer</b> 288:11	<b>professional</b> 158:18 210:11 243:10 253:10 257:17 331:17 332:4
<b>pointed</b> 167:23 198:18 330:20	<b>portray</b> 332:2	<b>preparation</b> 174:7 188:9 280:18	<b>primers</b> 259:5 270:13	<b>program</b> 158:20
<b>pointing</b> 233:15 273:24 337:15	<b>portrayal</b> 332:5	<b>prepare</b> 214:15 283:21 284:2 336:4	<b>principally</b> 211:7	<b>progress</b> 333:18
<b>points</b> 186:13 229:14,17,21 230:4,8,13 231:3,13,17,2 3 240:3 319:25 320:4 323:4 324:6	<b>position</b> 221:11	<b>prepared</b> 272:1,3 321:5	<b>principles</b> 161:3	<b>project</b> 158:10,13 184:25 185:5 191:4 206:9 209:24,25 210:8 215:12,18 223:16 225:9,10 255:21 258:16
<b>policies</b> 312:8,16	<b>positive</b> 164:19 189:10	<b>preparing</b> 154:4 248:20 250:25 271:11,25 276:19 283:9,15 286:7	<b>printed</b> 159:6 172:20,22	
<b>policy</b> 312:7	<b>possibility</b> 219:21	<b>presence</b> 263:3 277:4,16 343:25	<b>prior</b> 188:17 199:24 203:7 209:18 280:23 294:19 300:17 301:2 303:18 327:15	
<b>pollutants</b> 154:10 206:11,24 214:9 270:12 279:5,25 289:25 304:15 339:9 350:9	<b>possible</b> 252:6 277:21		<b>privately</b> 158:2 210:4 312:9	
<b>polluted</b> 270:10			<b>probably</b> 157:5,17 165:3 169:18 175:25 178:2 180:11 184:11	
<b>pollution</b> 217:20 220:7 275:22 277:7,19 278:17 280:8 281:20 286:11 332:22				
<b>polycarbonated</b> 259:6				

317:4	<b>public</b> 307:22	203:13,15,20	179:10 255:19	259:23 260:4
<b>projects</b>	<b>publication</b>	204:20	343:10	309:9 315:8
157:19,25	261:17 268:6	205:9,12,14	<b>quicker</b> 184:12	319:23
158:3,15,19	<b>publish</b> 256:10	216:11 217:21	<b>quickly</b> 285:7	<b>RE</b> 149:4 150:4
209:1,13,18,1	<b>published</b>	218:5,21	328:22	<b>reach</b> 286:25
9,21 210:1,7	256:2,21	219:6,7,8	<b>quite</b> 165:12	<b>reached</b> 220:1
315:13	268:14	222:1 223:7	169:18 176:5	343:3
<b>pronoun</b> 202:23	<b>publisher</b> 257:3	226:6	213:8 236:22	<b>reaches</b> 278:9
<b>proof</b> 341:24	<b>publishes</b> 267:16	227:5,7,25	271:23	<b>reaching</b> 176:1
<b>prop</b> 355:7,8,13	<b>pull</b> 289:6	234:11	<b>quote</b> 266:9	321:9
356:3	333:21	235:2,16,23	<b>quotient</b> 167:22	<b>readily</b> 218:7
<b>propensity</b>	<b>pump-out</b>	239:1	<hr/>	<b>reading</b> 168:9
343:24	286:24	242:18,19,22,	<b>R</b>	172:10 203:18
<b>properties</b>	<b>pure</b> 190:11	23 243:4,7	<b>R9-2004-0026</b>	211:24 271:12
266:22	261:6	245:8,9,15,16,	155:4	297:12 323:9
<b>property</b> 267:3	<b>purely</b> 202:17	17 246:21	<b>R9-2011-0001</b>	<b>reads</b> 338:12
304:9 305:11	<b>purported</b>	248:5 249:14	149:5 150:5	<b>real</b> 240:8
308:17	296:25	250:2,12,17,2	<b>railways</b> 266:15	<b>realize</b> 255:13
311:10,23	<b>purpose</b> 256:23	4 253:7	288:24 289:4	<b>really</b> 166:23
335:8,13,16	<b>purposes</b> 241:12	254:4,11,15,2	<b>rain</b> 308:18	219:13 223:6
344:24	263:11 275:20	1 255:1	<b>raise</b> 228:14	257:10 271:7
<b>proposing</b>	286:7 342:23	256:17 257:9	344:11	291:17 300:24
296:12	<b>pushed</b> 346:15	260:21 270:19	<b>raises</b> 341:12	327:20
<b>pro's</b> 214:16	<b>putting</b> 212:12	274:11,25	<b>raising</b> 269:3	<b>reask</b> 293:12
<b>Protection</b> 154:6	230:3 234:12	276:3	<b>range</b> 186:19	<b>reason</b> 158:23
<b>protocol</b> 327:15	238:17 249:21	277:8,14	263:3 319:2,4	161:6 182:24
<b>protocols</b> 261:7	270:2 324:22	291:23 292:24	355:20	183:22
331:23	325:5,16	294:4 300:9	<b>ranged</b> 268:23	184:6,14,21,2
<b>provide</b> 174:20	327:21	301:6,7,11,12,	<b>ranges</b> 319:24	4 217:24
185:3,7	<hr/>	14 304:24	<b>rank</b> 171:4	244:24 245:20
196:15,22	<b>Q</b>	305:22	173:18 178:14	248:10,12
197:3,6,8,22	<b>quality</b> 149:1	306:9,13	222:25	270:19 330:12
198:2,12,14	150:1 154:9	309:4,12	223:3,13	<b>reasonable</b> 281:2
199:2,5	158:19 225:11	314:20 315:5	224:16 225:6	<b>reasonably</b>
205:25 254:2	256:3 269:24	321:7 324:24	<b>ranked</b> 167:10	354:3
261:25 312:16	283:5	327:20	168:2	<b>recall</b> 173:5,10
319:25	<b>quantify</b> 285:14	331:5,12	169:14,16	175:13
<b>provided</b> 161:18	286:3	333:8,10,16	170:11 171:16	176:14,17
175:15 188:18	<b>quantity</b> 253:3	338:23 341:2	225:7,17	191:4 192:18
197:21	<b>question</b> 160:13	342:3 343:16	<b>ranking</b> 167:4,7	193:2 195:18
198:3,4	161:11	349:7	168:1 169:24	197:7 204:11
297:16,17,22	162:2,4	354:14,25	170:6,23,24	205:10,19
353:20	165:13,23	<b>questioning</b>	171:16,19,20,	214:12 215:23
354:1,17	168:18	191:25 263:11	22 172:12,16	216:17,25
<b>providing</b>	169:6,10	343:13	173:17,22,24	217:5 246:11
196:13 199:8	181:3,4 183:2	<b>questions</b> 156:14	174:2,5	256:12 258:14
296:24	184:13	158:23 180:18	188:22 223:9	272:11 275:9
<b>PRP</b> 215:15	185:13,23	191:7,15	<b>rapid-firing</b>	282:9 283:6,8
216:5,22	187:21 188:20	192:2 196:8	255:1	284:22
218:3	189:1 190:14	198:17 200:6	<b>rarely</b> 313:19	303:6,17,22
<b>PRPs</b> 216:22	192:10	207:3,24	<b>rates</b> 275:17	304:19 307:13
217:13	198:16,21	208:15 219:13	<b>rather</b> 228:22	312:15 315:19
218:4,7	201:10	236:21 245:13		320:17,20
220:11	202:23,25	250:16 256:4		321:11
		262:22 275:4		328:1,9,11,14
		285:9 289:11		
		322:25 324:14		
		328:6,23		
		334:14 341:12		
		342:16		
		<b>quick</b> 172:3		

329:3,7 349:24 <b>received</b> 289:21 356:24 <b>receiving</b> 280:16 <b>recent</b> 282:6,8,15 <b>recently</b> 282:1,11,12 283:12 <b>recess</b> 172:5 191:13 208:5 255:6 289:16 337:23 <b>recited</b> 299:14 <b>recognition</b> 327:13 <b>recognize</b> 197:18 228:21 232:8 251:8 253:2 266:25 290:6 295:21 296:21,22 297:8 315:8,11,12 319:16 <b>recognized</b> 327:8 <b>recognizing</b> 287:22 <b>recollection</b> 165:5 170:10 188:6 193:16 200:21 201:24 216:24 228:4 263:19 291:10 298:17 301:24 302:1 303:18,23 328:15 <b>recommend</b> 176:11 353:24 <b>reconsider</b> 313:5 <b>reconstruct</b> 274:4,21 275:11 <b>record</b> 159:9 162:5,16 163:16 171:25 172:7 175:19,22,23 176:4,9,15 177:14 180:4 183:20 184:4,20,22,2 5 186:16,25 191:10 203:5,22	204:22 208:2,18,21 209:5 222:14 228:25 236:3 239:2 243:2 245:23 249:5 252:20 254:16,18 255:1,9 270:1 272:23 274:20 275:1 282:17 283:7 284:9,10,12 289:20 293:2,4 294:5,6 295:4,18,22 298:12,25 300:10 303:23 309:25 312:11 320:10 331:8,14 337:19 338:3 343:1 359:9 <b>records</b> 185:2 <b>recreational</b> 162:22 163:1 <b>recycling</b> 257:23 <b>reduce</b> 189:7 <b>reduction</b> 182:14,20 183:6 185:21 <b>Reefs</b> 154:4 267:14 <b>REES</b> 152:3 <b>refamiliarize</b> 171:23 201:16 <b>refamiliarizing</b> 169:4 <b>refer</b> 162:11 166:6,21 177:6 201:7 262:10 273:4 340:7 <b>reference</b> 197:25 200:20,25 201:9,18 203:7,12 268:17 270:14 286:12 337:12,16,18 <b>referenced</b> 285:11,23 <b>references</b> 201:12,16 338:20	<b>referred</b> 165:21 176:13 185:13 231:18 234:10 270:1 285:13 295:5 314:22 322:5 351:7 <b>referring</b> 164:2,13 166:12 167:17 179:17 183:13 199:23 202:16,24 207:18 219:15 274:1 281:11 283:8 339:5,16 <b>refers</b> 162:13 228:10 <b>reflect</b> 228:25 233:2 298:13 <b>reflected</b> 224:23 258:5 352:1 <b>reflects</b> 231:2 272:23 295:23 300:2 334:5 <b>reform</b> 203:14 <b>refresh</b> 169:9,20 193:15 200:21 201:23 298:16 <b>refreshes</b> 328:15 <b>regard</b> 242:5,6 243:13 248:20 253:10 340:1 <b>regarding</b> 192:6 194:4,7 195:15,16 196:2,12 197:4,11 198:10,14,20, 23 199:16,17,19, 21 200:8 201:20 204:17 205:17 206:5 207:21 228:9 255:21 258:2 290:7 346:7 356:21 <b>region</b> 149:2 150:2 313:8 <b>regional</b> 149:1 150:1 154:9 158:19 238:12 269:23 327:23 357:3 <b>register</b> 268:14	<b>registered</b> 158:17 <b>regulated</b> 274:6 <b>regulation</b> 328:2 <b>regulations</b> 193:20 225:11 <b>regulatory</b> 154:1 210:1 <b>reiterate</b> 236:5 <b>relate</b> 276:15 <b>related</b> 165:17 245:8 268:15 286:16 359:12 <b>relates</b> 168:15 <b>relative</b> 354:16 <b>relatively</b> 214:21 259:5 <b>release</b> 349:3,10,13 351:21 353:23 <b>released</b> 314:13,14,25 <b>releases</b> 308:25 341:16 344:7,14 352:1 <b>relevant</b> 337:14 348:21 <b>relied</b> 283:10 286:5 303:18 337:12 349:15,16 350:7,24 352:3 353:17,18,22 354:17 <b>rely</b> 200:19 204:16 207:8 305:18 336:17 <b>relying</b> 283:8 305:9 <b>remainder</b> 167:15 <b>remained</b> 264:17 <b>remaining</b> 181:17,25 <b>remains</b> 169:11 <b>remedial</b> 157:7 167:10 170:15 171:6,8 202:20 <b>remediation</b>	170:9,18 178:19 179:1 191:2 <b>remember</b> 189:13 213:25 216:1 292:17 349:24 <b>remind</b> 156:11 <b>remove</b> 178:13 181:15,23 189:15 190:12 280:2 <b>removed</b> 260:20 270:13 272:20 <b>repair</b> 154:14 244:2 <b>repaired</b> 264:25 <b>repeat</b> 161:17 162:3 163:14 204:20 208:25 231:15 254:14 274:25 314:20 <b>repeating</b> 162:1 339:23 <b>rephrase</b> 222:1 <b>replicate</b> 273:7 285:17 <b>report</b> 153:14 154:8,12,24 155:1,3 159:1,14 161:8 164:18,19 169:19 171:24 188:7,17 189:14 192:8 195:5 197:21 200:14,24 209:7 213:5,6,7 214:13 217:5,16 218:8 228:22 230:5,20 233:1 242:1 258:7 269:2,16,23 270:20 272:13,22,25 273:7,16,18 274:4,20 275:5,6 278:20 283:2,4 284:6 285:11 286:5,6,21 320:3,6,11,19, 22,23
---	---	---	--	--

321:2,4,11,13 323:3 329:11 330:21 335:24 338:20 339:25 340:4,18 343:11 350:19,24 351:3 352:10,11,12, 18,19 353:2,15 <b>reported</b> 149:16 205:1 272:8 273:22 274:6 286:6 323:21 329:15 330:9,20 335:3 337:5 338:13 340:2 350:7 352:21 359:7 <b>reporter</b> 150:16 162:3 203:16 255:2,25 282:23 295:9 359:4 <b>reporting</b> 274:13 284:14 288:9 328:2 <b>reports</b> 188:14 192:20 204:15 207:8 214:3 232:23 258:2 271:19,20 272:1,2,7 273:7 275:19 276:5 305:18 327:23 328:25 329:3 336:17 348:13 352:3,19 <b>representation</b> 263:10 <b>representations</b> 228:8 300:22 <b>representative</b> 307:22 <b>represented</b> 249:4 <b>represents</b> 174:18 191:22 334:2 <b>requesting</b> 217:16 <b>require</b> 216:7 <b>required</b> 181:7 254:12	<b>requirements</b> 193:5,18,19 214:6,9 <b>requires</b> 157:18 262:3 <b>reread</b> 331:11 <b>rescind</b> 297:10,13,14 <b>rescinding</b> 297:1 <b>rescinds</b> 297:23 <b>research</b> 255:17 258:15 <b>Resolution</b> 158:5,11 <b>Resource</b> 151:2 <b>resources</b> 151:3 162:23 163:2 313:19 <b>respect</b> 159:23 194:12,15 198:9 200:15 207:9 348:22 <b>respective</b> 359:12 <b>respond</b> 212:9 224:9 235:19 304:14 <b>responded</b> 191:25 192:9,13 196:15 291:16 <b>response</b> 192:12 235:7 299:22 300:2 <b>responsibilities</b> 195:3 209:16 <b>responsibility</b> 226:16 227:21,25 228:3,8 230:10 238:13 293:15 295:7 305:15 312:10 <b>responsible</b> 196:2,14,16,2 3 197:4 210:14 217:16,18 220:19 227:14 236:9,12 238:4,19 296:24 308:24 311:15 325:23 332:18 356:25	357:4 <b>rest</b> 159:8 168:11,19,23, 25 275:5 <b>restate</b> 297:7 <b>result</b> 186:1 218:13 246:2 281:8,24 303:4 315:22 323:13,14,16 335:20,21 <b>results</b> 219:9 311:9 323:2 334:3 337:8 343:22 <b>return</b> 286:19 <b>revealed</b> 271:12,13 <b>review</b> 174:23 193:12 195:3 225:8 226:4 227:19,21 228:1,3,8 255:19 256:15 257:5 284:21 328:22 <b>reviewed</b> 194:10 199:18 220:4 258:14 283:11 <b>reviewer</b> 225:14 <b>reviewing</b> 189:13 256:12 320:17 <b>revised</b> 232:11,21,23 233:2 <b>revising</b> 353:16 <b>revision</b> 195:3 <b>reworking</b> 213:16 <b>rewrite</b> 312:19 <b>Rey</b> 259:18 <b>Reyna</b> 152:3 153:6 191:20,22 193:8 196:11 202:18 203:3,6,11,18 204:8 205:13 207:16,24 291:22 292:14 293:18 294:16 296:2 297:4 298:8 299:17 300:5	306:4,21 307:10 309:14 310:1,9 311:4 312:14,23 336:9 345:22 <b>RICHARDSON</b> 151:8 163:5,9 164:6,8 <b>risk</b> 162:15 163:4,18 165:4,6,21,24 166:9 <b>role</b> 194:20 209:9 <b>rolled</b> 171:10 <b>roof</b> 304:17,21 305:3,17 306:2,18 307:5,9 309:9,21 310:6,7 <b>room</b> 164:10 213:14 295:16 <b>Roosevelt</b> 152:20 <b>rope</b> 255:10 326:20 333:21 <b>roughly</b> 265:15 <b>routinely</b> 272:8 <b>row</b> 178:14 186:2,6,7 <b>rows</b> 186:8 <b>rule</b> 192:22 197:18,24 198:11 227:6 276:2,7,10 <b>rules</b> 289:20 <b>run</b> 186:7 205:2 247:20 255:11 264:7 <b>running</b> 332:10 <b>runoff</b> 205:3,6 <b>rush</b> 236:20 <b>rushes</b> 255:14 <b>Ruth</b> 154:19 291:2,5,12 292:8 298:20 302:19 <b>RWQCB</b> 155:3 273:20 <hr/> <b>S</b> <hr/> <b>Sacramento</b>	151:4 <b>safe</b> 321:24 <b>safety</b> 262:3 <b>SAIC</b> 154:23 320:6,18,22 <b>sake</b> 328:20 <b>salinity</b> 278:9 <b>sample</b> 207:11 260:3 261:5 272:13 275:4 302:5 303:9 310:15 323:10,11,22, 24,25 324:2,3,5,10 351:13 352:6,24 354:16 <b>sampled</b> 301:10,20 341:18 345:10 <b>samples</b> 167:6 193:25 228:11 259:18 272:14 273:5,7 285:18 293:24 300:20 302:8 319:15 323:10 331:4 336:21 343:25 350:4,13 351:7,10 353:22 <b>sampling</b> 154:24 229:14,16,21 230:4,8,13 231:3,13,17,2 3 259:17 261:4,6 273:6 320:6,19 321:15,21 323:2,19 331:22 349:22 353:17 <b>San</b> 149:2,12 150:2,14 151:9,10,15,1 7,21 152:2,4,6,8,18, 19,20 154:8,14 155:5 156:7 158:21 160:12,16,18, 23 162:13 191:23 192:7,17 193:4,11,12
---	---	---	---	---

194:5,7 195:7,17 197:11,22 198:10,15 199:9,13,16 200:9 201:3,21 205:1,20 207:1,6 212:17 218:15 219:3 224:20 225:25 248:17 269:23 270:10 284:16,18 285:17 287:7 288:2,9 290:10,13 293:23 301:3,5,8,16 303:19,20 304:1 318:4 330:18 359:2	<b>scanning</b> 322:4 <b>scattered</b> 247:15 <b>Schiff</b> 201:9 204:14 <b>Schwartz</b> 154:16,18 <b>Science</b> 321:5 <b>scientist</b> 261:7 313:10 319:23 347:24 348:2 <b>scope</b> 209:19,22 269:1 342:20 <b>scores</b> 311:9 <b>scrapers</b> 261:19 <b>scraping</b> 260:17 <b>Scrappers</b> 154:1 261:19 <b>scrapping</b> 262:4 <b>screened</b> 273:18 <b>SDG&amp;E</b> 208:14 211:11,12 212:18 215:14,19,24 216:14 218:23 219:14 221:3 224:21 232:17 237:9,17 244:22 245:1,22 254:2 290:8,17,19 291:17,18 292:21 293:6,7 294:7,12 296:24 298:17 299:9,23 300:12 301:10,20 302:3 303:9 304:9,18 306:3,17 308:11,24 310:23 311:14 313:16 314:6 327:2,22,23 328:25 329:11,15 331:6 332:3,8 334:11 336:1 338:6,13 339:3 340:13 343:11 344:1 348:22 349:17 350:13,17,24 352:4 356:1,24	357:4 <b>SDG&amp;E's</b> 233:16 304:21 305:11 308:17 330:5 335:20 339:23 <b>SDGE's</b> 153:12 <b>search</b> 176:6,7,12 <b>searched</b> 176:19 <b>Season</b> 181:13,17 182:15 183:6 <b>Seasons</b> 181:7 <b>second</b> 153:12 160:3 162:11,22 164:16,17 167:21 225:6 235:5 261:13 279:8 285:3 310:15 320:25 322:11 338:12 340:21,22 <b>secondary</b> 338:16 340:8 341:11,13,14, 19,25 342:5,10 351:14 <b>second-to-last</b> 279:14 287:1 <b>section</b> 159:11 161:16,18 163:3,17,25 164:1 165:2,21,23 178:12 179:7,11,12 184:7 193:13,23 194:4,10 195:19 198:4,18 199:12 201:11,15,17, 23 211:10 221:13,16 222:24 223:2 224:12,18,21, 24 226:22 227:22 228:1,2,17 234:9 237:11 243:16 249:5 251:24 252:3,5,8,25 253:6,8,16	289:23,24 290:4,7 303:7 306:1,17 307:17 310:14 311:19 312:20 321:10 327:3,22 328:16 339:3,8,16 341:7 349:2,16 350:2 353:16,25 354:13 356:21 357:2 <b>sections</b> 163:18 164:12 165:3,5 166:3,7,13,16 169:19 194:15,17 195:7 211:7,8,11 212:13 225:15,16,25 234:8 237:23 239:13 254:1 <b>sediment</b> 153:15,18 154:21,23 158:10,14 167:5,6,8 168:8 169:13 170:6 171:4 173:7 193:22,25 195:24 198:7 201:4,14,22 202:16 203:10 204:12,18,25 206:3,6 207:10,14 209:10 217:3 218:14 219:21 226:12,14 230:11,13 231:9 233:2 237:10,15 238:16,23 239:4 248:24 271:12,21 272:10,25 273:8,19 274:5 277:1,7,19 278:4,11,18 286:9 311:8 320:6,19 343:23,25 344:1,3 354:8,9 355:9	<b>sediments</b> 206:9 234:14 238:5 246:19 271:13,15 272:18 274:23 275:13 276:21 285:15 313:23 342:24 346:14 355:2 <b>seeing</b> 173:10 301:24 321:12 <b>seeking</b> 238:14,18 <b>seem</b> 229:24 344:6 <b>Seemed</b> 247:23 <b>seems</b> 166:23 171:12 281:2 283:12 292:6 <b>seen</b> 173:4 174:3,4 257:6 261:3 298:24 <b>selected</b> 285:16 350:3 352:13 <b>self-interest</b> 307:25 <b>SEMPRA</b> 152:7 <b>send</b> 304:14 <b>senior</b> 194:25 225:14 <b>sense</b> 211:22,24 221:8 222:19 <b>sent</b> 217:1 218:6,20 284:15 293:1 <b>sentence</b> 162:22 164:16 165:16,20 167:3,20,21 280:6 300:11 310:14 322:9 <b>sentences</b> 171:21 298:1 <b>separate</b> 171:10 188:25 189:25 322:19 <b>September</b> 329:23 <b>sequence</b> 216:25 218:6 <b>series</b> 192:2 255:17 <b>Serve</b> 158:16
--	---	---	---	--

<b>service</b> 318:2	253:11,20	355:17	291:19 293:15	<b>small</b> 157:25
<b>serviced</b> 264:25	254:1	<b>shoreline</b> 272:14	<b>single</b> 164:9	158:15
268:2	263:1,4,24	346:2,14,18	185:4,8	<b>so-and-so</b> 220:9
<b>sets</b> 257:11,13	264:1,5,10	355:20	240:14 249:9	<b>soil</b> 334:12,18,22
<b>Setting</b> 339:20	265:1 272:3	<b>shorelines</b>	<b>sir</b> 231:1,22	335:1 336:21
<b>seven</b> 185:17	274:22	346:20	242:5 329:14	349:23
186:4 228:5	282:7,13	<b>short</b> 300:15	349:20	350:4,12
<b>several</b> 189:5,6	284:20	<b>shorthand</b>	<b>sit</b> 196:21 302:17	351:7,10
228:16 236:16	287:5,20	150:16	<b>site</b> 158:14 166:5	<b>soil-boring</b> 334:3
278:14	288:1,3	359:4,8	182:3 188:25	<b>sole</b> 220:6,10
314:14,25	317:19	<b>showed</b> 206:11	193:22 194:8	324:16 326:10
322:18 332:23	318:7,17	235:21	195:24 198:7	<b>solely</b> 216:8
<b>Shaun</b> 217:7	319:6,7,14	316:1,21	199:17,20,22	218:14 219:2
299:2	321:16	320:1 329:3	200:11,13	238:24 239:5
<b>sheet</b> 153:20	335:13,16	354:18	201:4,14,22	275:13 335:25
256:2,25	342:17	<b>showing</b>	202:16 203:10	349:19
<b>sheets</b> 256:10	343:1,7,19	177:24,25	204:19	<b>solid</b> 268:1
<b>shifting</b> 343:10	344:1,2,7,13,1	261:10 319:24	206:3,6 217:3	<b>somebody</b>
<b>ship</b> 154:1	5 345:2	<b>shown</b> 183:17	218:16 220:18	216:5,22
261:19 262:4	346:11,25	319:5	226:14 230:13	218:3 219:23
265:10 270:13	348:1 354:23	353:18,21	233:22,24	221:9 223:6
289:6	356:15	<b>shows</b> 168:2	234:5 237:19	275:16 277:4
<b>ship-breaking</b>	<b>shipyard-caused</b>	171:8 197:23	261:25 265:5	293:25 301:4
262:4	317:12	231:6 249:11	291:5 317:9	302:1,3,8
<b>Shipbuilding</b>	<b>shipyards</b>	273:16 284:17	320:1 343:23	325:1 326:3
151:6 154:14	187:14 190:8	322:19	344:1,3	345:14 348:9
<b>ships</b> 241:5	219:10 236:13	323:2,13	354:17 356:15	<b>someone</b> 185:11
264:25	237:5 238:4	352:13 353:22	<b>sites</b> 157:5	210:14 227:17
265:12,16	239:5,15,16	<b>shrink</b> 282:19	158:22 171:16	209:15 285:16
282:19 288:13	240:12	<b>signature</b> 155:7	209:15 285:16	333:3 341:18
317:24 318:1	241:1,23	<b>significance</b>	333:3 341:18	354:23
319:6 355:18	246:24 247:25	178:10	354:23	<b>site's</b> 233:20
<b>ship-scraping</b>	251:19 253:3	<b>significant</b>	<b>site-specific</b>	<b>site-wide</b> 182:5
262:1	258:3	173:12 240:1	167:22	<b>sitting</b> 160:24
<b>shipyard</b> 154:10	262:7,10,19,2	241:21,22,24	<b>site-wide</b> 182:5	<b>situation</b> 240:6
158:10,14	0,23 264:21	242:9 258:24	<b>sitting</b> 160:24	291:4 292:9
167:5 169:13	265:5,24,25	270:11	<b>situation</b> 240:6	294:20 316:18
173:7 193:21	266:6,13,19	279:5,25	291:4 292:9	317:17
194:8 198:7	268:2 270:11	280:4,5	294:20 316:18	<b>situations</b> 316:25
201:4,14,22	271:9,16,22	308:16	317:17	317:15
202:16 203:10	272:1 275:12	<b>Silver</b> 155:1,4	<b>situations</b> 316:25	<b>six</b> 154:5 158:8
204:12,18	276:5	<b>Silvergate</b>	317:15	178:13,15,17
206:2,6	279:5,25	303:11 338:6	<b>six</b> 154:5 158:8	180:24
211:12 212:19	281:8,16,20,2	<b>similar</b> 165:1	178:13,15,17	185:14,15
217:3 218:16	3 282:18	170:13 256:13	185:14,15	186:9 188:24
219:3,11,23	287:24 289:2	271:14 311:11	186:9 188:24	189:2
220:5	304:22	347:25 348:1	189:2	<b>size</b> 175:25
226:1,13	317:18,19	<b>Similarly</b> 198:2	<b>size</b> 175:25	184:24
233:17,20,21,	319:11 326:10	252:3	184:24	<b>skeptical</b> 342:15
23,24	335:5,9,13	<b>simple</b> 184:2	<b>slang</b> 317:25	<b>slow</b> 236:23
234:5,12	336:6,22	214:21	317:25	322:13
236:25 238:24	355:10	<b>shoring</b> 198:2	<b>slow</b> 236:23	
239:9 240:15	<b>shipyard's</b>	252:3		
241:24 245:21	202:17 267:3	<b>simply</b> 216:5,22		
246:16 247:3	335:21			
250:8 251:1	<b>Shipyards</b> 264:7			
	<b>shops</b> 341:22			
	<b>shore</b> 272:16			
	346:1 354:24			



<b>sound</b> 170:21	321:18 326:5 352:7	298:19 299:4,8 304:20 331:7 336:20	226:3,7,15 287:23 298:14,15	350:14,17,18 351:1,10,14 353:1,19,23
<b>sounded</b> 209:14	<b>specifically</b>	<b>stage</b> 217:14 339:20	<b>states</b> 226:10 256:10 258:23 261:25 267:18,20 270:9 273:17 279:4 280:7 285:14 286:22 287:16 296:6 302:19	<b>structures</b> 338:21 339:2,4,5,13 340:13 341:1 348:23 352:7,10
<b>sounds</b> 240:4 289:14	158:5 192:20 196:9 197:25 217:6 225:25 228:11 260:12 272:11 273:5 275:24 281:10 285:12 317:17 343:6	<b>staging</b> 179:3 188:10	<b>station</b> 158:16 171:7,9 204:13 320:1	<b>studied</b> 201:6,24 204:2 322:20
<b>source</b> 256:22 258:24 270:12 274:21 275:3 280:5,8 281:19 310:7 313:16 314:1,16,25 315:3,17 316:21 317:18 319:11 324:16 326:10 331:22	<b>specificity</b> 253:5 315:19	<b>stand</b> 249:3	<b>statistics</b> 157:16	<b>studies</b> 157:18 202:2 205:9,11,16 207:8 246:15 247:1 261:10 267:15 284:20
<b>sources</b> 153:20 236:25 256:14 257:20,22 302:10 304:20 310:6 314:3,4,13,14, 22 315:10 316:6 317:22	<b>specifics</b> 238:11	<b>standalone</b> 184:14,18	<b>steps</b> 178:13 277:20 317:1	<b>study's</b> 202:7
<b>south</b> 152:12 211:12 219:13 288:1 304:5 345:16 347:6,16	<b>speculation</b> 293:19 300:25 308:2 325:7 349:4	<b>standards</b> 242:6 243:10 248:19 250:6,23,24	<b>step</b> 161:19,20 171:10,11 218:5	<b>stuff</b> 218:21 228:5 272:16,17 282:14 334:16
<b>Southern</b> 258:15 260:20	<b>speed</b> 255:13 265:2 328:17	<b>stands</b> 206:8 274:15,16	<b>stayed</b> 303:7	<b>subcontractor</b> 175:10
<b>Southwest</b> 153:15,17 154:11,21 179:2 216:13 217:2,6 219:16 272:24 274:16 318:17 322:6	<b>spell</b> 166:5	<b>Star</b> 212:17 248:16,21 251:25 252:12,24 253:16	<b>STD</b> 274:16	<b>subject</b> 226:3 276:14 356:2
<b>speak</b> 294:16	<b>spelled</b> 161:16 176:22	<b>start</b> 159:13 179:9 190:3 307:6 322:18 343:22	<b>Steel</b> 151:6	<b>submit</b> 218:8
<b>speaking</b> 180:2	<b>spend</b> 166:19 328:4	<b>started</b> 163:10 242:20 282:13,19 284:7 328:9	<b>step</b> 161:19,20 171:10,11 218:5	<b>submitted</b> 282:18 305:18 313:21 316:25 327:23 328:25 352:3,22
<b>speaks</b> 162:16 202:11 239:19 241:25 242:3 295:25 299:11,17 300:6 327:16	<b>spending</b> 321:7	<b>starters</b> 217:7	<b>stop</b> 282:22 295:15 324:12	<b>subsequent</b> 158:11 163:20 181:17 269:6,9 346:3
<b>specific</b> 157:13 164:1 165:20 181:3 187:9,24 192:15 197:10 200:8 205:9,10 206:17 226:7 228:4 246:20 252:4 253:19 259:16 261:10 281:6 317:9	<b>spent</b> 185:7 223:15 279:4,6,14 280:1 328:11	<b>starting</b> 159:10 182:7 183:9 284:25 343:21	<b>storage</b> 158:18 338:17 340:9 350:4	<b>subsequently</b> 195:4
	<b>SPI</b> 354:17	<b>state</b> 150:16 151:2,3 158:5 159:20,23 160:3,6,10 237:19 243:6 259:3 303:8,9 358:13 359:1,4	<b>store</b> 342:2	<b>substantial</b> 234:13 246:5,7 247:4 260:4 266:19,24 271:6 272:17
	<b>spill</b> 338:15 343:20	<b>statement</b> 226:9,10,17 227:12,18 228:16 230:9 231:18,21 233:9,12 234:6 267:17 271:5 274:9 278:5 279:3 280:13 294:21 297:21 305:12 311:1 316:13	<b>stored</b> 342:6	<b>substantially</b> 246:18
	<b>split</b> 274:8	<b>statements</b>	<b>storm</b> 201:2,5,24 202:5,7 205:1 206:24 226:13 290:9 296:7 302:21 310:17,19 311:22 349:3 351:19 353:8	<b>substation</b> 338:6 349:11,14
	<b>splits</b> 273:21		<b>strange</b> 347:18	<b>such-and-such</b> 294:22
	<b>spray</b> 281:8,10,11		<b>Street</b> 151:20 152:8,12,20	<b>sufficiency</b> 241:13
	<b>SS</b> 169:25		<b>strict</b> 213:3,11	<b>sufficient</b> 237:4,6 244:18 253:17
	<b>SS-MEQ</b> 167:10,22,24 168:15,25 169:14 170:25 171:3,4		<b>stringent</b> 162:8	
	<b>staff</b> 192:19 194:23,24,25 211:3 212:2,24 213:1,3,4 221:16 236:24 238:2 247:2 272:4 274:21 275:19,25 276:4 290:12 291:3,11		<b>structure</b> 341:5 348:18	

254:20 343:2	252:22 256:19	206:1,16	162:19	152:14
<b>suggest</b> 170:5,18	270:8	286:24 290:9	170:6,12	<b>temperature</b>
353:6	282:2,16	296:7 304:2	171:12 219:11	278:10
<b>suggested</b> 300:14	283:10	308:19 341:6	224:18 228:5	<b>ten</b> 185:6 210:9
304:8,10	302:15,17	349:4,18,21	240:10	221:10
348:10	326:1 331:12	351:20 353:8	248:2,16	223:1,12,16
<b>suggestion</b>	337:2 340:1	<b>systems</b> 151:18	251:24 268:5	224:10
333:16	354:25	243:24 244:3	286:22 299:5	282:10,14
<b>suggestions</b>	<b>surface</b> 178:5		308:20 343:11	325:15
170:3	181:21	T	350:18	<b>tenants</b> 217:9
<b>Suite</b> 150:14	182:1,4 231:9	<b>table</b> 164:11	<b>talks</b> 165:16	<b>tend</b> 272:16
151:8,12,20	232:1 278:7	167:9,23	167:3 204:4	<b>tended</b> 225:8
152:4,20	308:17	168:7,9,12	279:7 285:17	353:6 354:18
<b>sum</b> 334:6	<b>surficial</b> 167:6	169:8	288:18	<b>tends</b> 342:4
337:1,8	271:15	170:11,12,13	<b>tangential</b> 205:5	348:2
<b>summarize</b>	<b>surmise</b> 205:5	172:19	<b>tank</b> 158:18,22	<b>tens</b> 341:20
287:23 292:5	<b>surrounding</b>	173:15,16	350:4	<b>tentative</b> 149:5
319:24	309:7	175:1,2,4	<b>task</b> 185:3,10,11	150:5 170:15
<b>summarized</b>	<b>suspect</b>	177:2,16	210:17,25	192:7 284:6
256:13	217:19,24	178:9,11,24	<b>tasks</b> 209:4,9	<b>term</b> 160:25
<b>summarizing</b>	<b>suspenders</b>	179:16,17	210:12	221:22,24
327:24	342:13	180:6,7,8,25	<b>TBT</b> 313:23	234:6 242:13
<b>summary</b> 322:2	<b>suspicious</b> 346:7	181:6,7	314:3 315:21	246:6,7
329:19	<b>suspiciously</b>	182:15	316:15,16	314:18,19
<b>sumps</b> 338:15	347:16	185:20,21	317:5,11,18,1	317:25
<b>sun</b> 355:21	<b>SW</b> 207:4 215:22	186:3,18	9,22	<b>terminal</b> 158:12
<b>sunken</b> 355:19	<b>SW09</b> 207:12,13	193:25	318:3,4,16	<b>terms</b> 157:15
<b>supervised</b>	<b>SW20</b> 229:18	214:15,23	323:7,13,24,2	161:6 208:24
305:25	230:4	229:15,19	5	214:12 225:13
<b>supervision</b>	<b>SW21</b> 231:19	230:5,25	324:2,4,9,10,1	226:18 232:14
283:4	<b>SW25</b> 229:18	255:10 256:14	7	251:18,20
<b>supervisor</b>	<b>SW29</b> 207:11	257:5,10,13,2	<b>TBT/PCB</b> 317:9	256:14 257:16
194:22 212:2	<b>SW4</b> 205:21	1,22	<b>TBTs</b> 316:22	262:24 282:18
215:3,4,5,6	206:1 226:13	259:15,16	319:5	305:15 314:22
262:1	229:15,18	300:16 301:24	<b>team</b> 159:20	334:6 339:8
<b>support</b> 200:15	231:17 232:20	302:13 319:21	160:18	343:20
201:1,12	233:13,14,15	329:18 333:23	161:1,8,14	<b>test</b> 301:9,18
204:16	<b>SW49</b> 228:12	334:1,5	165:17 166:24	312:4
209:5,6,7	<b>SW8</b> 233:14,15	335:20 336:24	184:12 192:18	<b>tested</b> 327:14
210:13 325:25	<b>SW9</b> 205:21	337:7,12	195:2 197:3,7	<b>testified</b> 156:2
338:21 356:23	206:2	349:25 350:3	226:18	200:7
<b>sure</b> 160:8	207:2,5,11,21	351:7 352:1	<b>team's</b> 162:25	215:17,18
166:3,8 167:1	<b>SWAC</b>	<b>tables</b> 180:9	169:23 180:14	249:22 291:6
172:2 175:20	181:12,18,21	256:4,16	<b>technical</b> 155:3	308:25
183:2,10	182:7 183:9	<b>Tacoma</b> 152:16	158:17	<b>testify</b> 161:2
187:20 190:9	<b>S-W-A-C</b> 181:21	<b>taking</b> 170:21	159:1,14	359:6
199:4,7	<b>SWM</b> 274:15	179:10 226:25	161:7 164:18	<b>testimony</b>
203:24	<b>sworn</b> 156:2,12	330:15 331:2	192:8 209:6	166:11 183:24
213:20,21	359:6	<b>talented</b> 223:15	217:16 218:8	196:19 198:9
224:13 225:21	<b>sympathize</b>	<b>talk</b> 161:8 179:1	219:19 225:22	216:20 237:8
226:21	224:5	211:18 222:19	284:5 353:15	254:1 262:15
227:10,16	<b>system</b> 205:8,21	265:9 284:25	<b>technique</b> 235:8	280:9 291:20
233:8 236:23		338:5	314:11,21,23	309:16 312:12
249:13 250:19		<b>talked</b> 246:9	315:8,11,12,1	345:21 346:8
		291:2 329:2	4,15	347:10,11,22
		354:4	<b>Telephonically</b>	
		<b>talking</b>		
		160:17,19		

358:6,8 359:9	2 315:4,25	<b>throughout</b>	<b>towards</b> 204:13	<b>triad</b> 167:21
<b>thank</b> 160:11	316:17 317:25	241:23 286:4	<b>toxic</b> 192:22	168:1,3,5,22
162:6 175:3	321:4 323:4	346:17	197:18,19,24	169:8,14
190:14 191:16	324:24 325:3	<b>throw</b> 189:16	198:11 214:9	170:7,17,24
200:22 207:25	326:20,22	<b>Thursday</b>	276:2,7,9,10,1	171:8
208:1 222:16	330:19 335:11	150:13	6,21 278:4	<b>trichlorethylene</b>
225:4 236:18	337:1,3,13	<b>thus</b> 197:3	289:25 339:9	244:6
254:25 263:15	343:13 344:10	<b>tick</b> 160:13	350:9	<b>trichloroethane</b>
268:10 279:15	347:4,20	<b>tidal</b> 355:14,15	<b>toxicity</b> 204:4	244:6
285:2 295:19	349:4,14	356:3	278:3	<b>trick</b> 199:6
320:15	350:19 354:14	<b>tide</b> 355:16	<b>trace</b> 258:25	<b>triggered</b> 217:4
322:10,13	357:1,6	<b>tides</b> 355:7,8,21	<b>traces</b> 269:1	<b>trouble</b> 174:12
340:21 357:7	<b>themselves</b>	<b>Tier</b> 211:1,2	<b>Tracy</b> 152:7	220:8 221:19
<b>thanking</b> 296:23	294:17 307:25	<b>tiers</b> 210:24	208:14	306:24 332:16
<b>thanks</b> 163:11	<b>theoretical</b>	<b>timely</b> 215:6	242:16,19,24	<b>troubled</b> 246:6
<b>that's</b> 156:10	240:4,7	<b>Tips</b> 154:1	255:15 334:19	<b>truck</b> 342:9
159:1	<b>Theoretically</b>	<b>title</b> 260:18	<b>traditional</b>	<b>true</b> 172:24
161:7,9,17	347:25	269:20 320:21	176:22	323:6,23
169:20,21	<b>therefore</b> 237:6	321:1,3	<b>training</b> 156:18	325:4 358:7
176:8	277:12 344:14	<b>titled</b> 251:25	157:13,16,25	359:9
177:3,23	<b>therefrom</b> 354:3	258:7	<b>transcribed</b>	<b>trustee</b>
178:7,20	<b>therein</b> 358:7	<b>titles</b> 321:8	359:8	199:22,24
179:18 180:22	<b>there's</b>	<b>TMDL</b> 202:21	<b>transcript</b>	<b>trusteeship</b>
182:3 186:9	188:7,10,11	206:7,21	215:20 359:9	194:8
188:8	189:14,25	207:10	<b>transcription</b>	199:17,20,23
189:22,24	190:2 193:24	<b>TN</b> 352:4,11,18	358:8 359:8	<b>truth</b> 293:8,14
192:13 193:22	194:17 202:14	<b>Tobler</b> 192:19	<b>transcripts</b>	359:7
194:4 196:3	205:4 208:18	195:11 211:15	211:23	<b>truthful</b> 290:22
197:21 199:10	217:9 259:15	212:7 213:12	<b>transect</b> 322:19	291:1
200:3,20	264:11	214:24	<b>transformer</b>	294:8,11
202:20 207:24	273:3,21,22	291:6,9	263:25	298:7 308:11
208:18	279:3 280:12	308:25	<b>transformers</b>	<b>truthfulness</b>
210:8,17	287:8,25	<b>Tobler's</b> 213:25	263:24	298:14
211:3,4	314:21 317:1	<b>today</b> 160:25	264:2,5,9,10,1	301:9,19
216:14	318:3 341:17	180:11 196:21	1,12,15,16	<b>try</b> 189:11
220:10,21	342:5,10	211:6 212:20	338:14 340:14	217:12 220:18
224:19	343:24 344:21	275:24 307:18	341:11	223:13 232:16
225:1,3,5	355:13	353:12	342:2,16,21	255:13,15
226:6,15	<b>they're</b> 180:25	<b>Tom</b> 174:10,11	343:7,19	267:5
227:6	183:25 184:18	177:6	350:20	275:16,17
230:5,17	188:23	<b>top</b> 167:3 169:17	<b>transmittal</b>	279:9 285:7
234:2 236:21	190:8,18	174:19 267:18	284:17	304:2 337:11
237:10,12,25	322:19 347:19	280:13	<b>transport</b> 200:8	<b>trying</b> 169:22
250:10	<b>third</b> 226:10	<b>total</b> 177:17	267:3 316:7	171:18 176:11
251:8,14	270:9 338:12	184:25 193:9	354:4,9	185:11,12
252:5 253:12	<b>third-to-the-last</b>	206:8 231:12	355:1,7,9	189:25 195:1
255:10 256:22	244:1	280:14	356:10,16,19	197:1 199:6,7
260:16 263:19	<b>thoroughly</b>	<b>totally</b> 200:21	<b>transportation</b>	224:3,6,8,15
266:17 267:4	167:1	242:7	187:15	236:4,15
272:12 274:20	<b>thousand</b> 190:12	<b>toward</b> 162:21	<b>transporting</b>	237:8,11,21
276:18 277:8	<b>thousands</b> 185:2	204:12	179:4	238:6 286:2,3
278:2,6,11	284:11	<b>treated</b> 327:14	<b>trap</b> 249:18	287:23 291:10
284:21 285:13	<b>three-tier</b> 210:24		<b>trapped</b> 249:18	292:5 293:15
286:14 287:18	<b>threshold</b> 286:2			302:20 320:20
288:1 289:13	343:3			323:8 326:17
292:18 294:5				
298:1 300:24				
304:11,12				
310:14				
312:1,6				
314:4,17,18,2				

331:21 355:22	158:24 161:21	<b>update</b> 188:16	262:6 266:20	308:5 332:15
<b>turn</b> 166:21	165:15 167:1	<b>updated</b> 188:18	285:15,16	<b>virtually</b> 282:4
189:15 209:7	173:14	<b>upon</b> 197:5	286:8 356:14	319:1
226:8 229:21	180:21,22	200:18 213:8	<b>vehicle</b> 342:9	<b>voiced</b> 207:22
230:7,21	184:17 185:9	219:9 223:17	<b>velocity</b> 278:9	<b>Vol</b> 153:16
231:8 240:9	187:20	240:5,23	<b>verbal</b> 300:21	<b>volume</b> 149:11
259:15 285:10	189:9,10	264:11	353:3	153:18 154:22
289:22 318:14	196:5,24	266:11,23	<b>verbally</b> 292:8	159:10
329:18 332:13	199:5 209:8	277:20 282:8	303:22	174:15,16,17
333:23 338:4	221:9,21	283:8	<b>verification</b>	176:7
<b>turned</b> 258:18	223:22 235:7	297:15,21,22	226:2	177:17,23
306:18 315:20	237:22 249:13	342:20	<b>verified</b> 313:16	178:3,8,15,16,
323:5	250:13,19,20	349:13,15	<b>verify</b> 181:2	19,23 181:16
<b>twice</b> 181:10	263:7 266:5	351:22	290:21,25	187:24,25
<b>type</b> 171:15	274:12 288:22	353:17,18,20	292:11,20	229:1 230:19
197:15 225:22	289:1 291:14	354:1	298:14	275:17
226:2 273:19	296:11 304:3	<b>upper</b> 273:8	<b>version</b> 172:22	286:12,16
274:2	323:8 354:25	<b>urban</b> 205:3,6	<b>versus</b> 162:9	318:11
<b>types</b> 157:10	<b>understanding</b>	<b>usage</b> 240:21	202:10 223:21	<b>volumes</b> 188:13
206:24 344:14	159:14 165:10	242:9 249:23	233:22 302:15	286:8
<b>typical</b> 205:4	171:20 174:13	258:3	325:23 346:23	<b>voluminous</b>
210:17 246:4	177:3,18,23	<b>useful</b> 309:17	347:25 348:5	176:5
<b>typically</b> 212:6	187:12	310:5,22	354:16,19	<hr/>
236:7 240:24	188:15,18	311:2 317:11	355:17	W
<hr/>	190:15,16	351:22	<b>vertically</b> 355:4	<b>WA</b> 152:16
U	196:3,4,5	<b>UST</b> 350:4	<b>vessel</b> 153:23	<b>wait</b> 310:10
U.S 202:2	201:5	<b>USTs</b> 349:21,22	258:8,19,23	<b>waiting</b> 219:7
<b>uh-huh</b> 303:14	202:6,13	<b>usually</b> 160:15	<b>vessels</b> 154:4	338:23
334:19	204:1,9	<b>utilized</b> 336:21	259:22	<b>wake</b> 285:2
<b>ultimately</b>	205:19,24	<hr/>	267:13,21	<b>walk</b> 161:19
304:16 307:8	234:4 250:20	V	268:2 314:2	<b>walked</b> 166:24
351:19	262:2,5	<b>vague</b> 187:19	<b>via</b> 170:11	<b>Ward</b> 152:11
<b>unacceptable</b>	265:15 266:17	247:17 248:7	171:3,6	208:12 232:5
198:6	276:18 277:25	262:17 317:14	176:11 205:21	241:18 326:19
<b>unauthorized</b>	282:3,6	<b>valid</b> 255:14	<b>vicinity</b>	<b>wash</b> 355:7,8,13
289:24 296:6	287:19 292:2	<b>Valley</b> 158:12	207:10,11,14,	356:3
339:9,14	293:22 301:23	<b>value</b> 291:8,19	17 220:23	<b>washed</b> 286:24
349:2 350:8	302:12 304:6	293:6,7	226:12 228:12	287:18
356:23	318:3 335:11	294:8,10	229:14,18	<b>wasn't</b> 179:12
<b>unaware</b> 234:11	345:24 346:17	309:2	230:4 231:17	190:15 210:7
344:12	356:17	<b>values</b> 319:1	232:20 246:13	214:11,12
<b>uncover</b> 304:20	<b>understands</b>	<b>vantage</b> 237:23	282:1 352:25	234:5 245:25
<b>undefined</b> 246:6	248:13	<b>variable</b>	356:14	252:1 253:9
<b>underground</b>	<b>understood</b>	188:4,11	<b>Videotaped</b>	257:9,17
158:18,22	174:13 215:11	355:16	153:13	260:25 266:4
<b>underlying</b>	223:19 266:18	<b>variables</b> 187:18	<b>view</b> 286:10	276:25 281:25
175:16 190:18	297:24	188:4	332:4	301:6 324:23
334:12	<b>undertaken</b>	<b>variety</b> 158:3	<b>vintage</b> 244:10	325:24 344:4
<b>underneath</b>	265:24	209:15 268:15	<b>violated</b> 214:7	348:12
334:22	<b>United</b> 267:20	272:8 329:14	<b>violation</b> 193:7	<b>waste</b> 155:1
<b>underscore</b>	<b>units</b> 338:17	<b>various</b> 164:13	277:12 291:16	217:19
310:18	340:9	193:3 236:25	295:24	252:12,15,18,
<b>understand</b>	<b>unless</b> 161:2	244:15 246:15	297:1,2,11,13,	24 253:11,20
	<b>unnecessary</b>		14,23 304:14	256:15
	325:1			257:22,23
	<b>unsubstantiated</b>			
	312:8			
	<b>untrue</b> 311:1			

265:21	<b>week</b>	247:3 255:20	161:4,10	350:16 356:6
266:10,20	283:12,15,24	256:11 267:17	162:3,10	357:8 358:4
267:2 272:21	<b>weeks</b> 221:25	277:10 278:16	163:12,22	359:6,14
275:13,14	222:15	290:22	164:14 166:14	<b>wondering</b>
284:15	<b>weight</b> 268:24	293:8,14	168:14,20,24	174:13 209:17
<b>wastes</b> 154:13	273:21	294:8,10	172:2,8 173:1	227:13 256:11
253:2,4 286:3	<b>weighted</b> 181:21	298:6 299:15	180:1,16,17	307:21
<b>wasting</b> 222:11	182:1,4	304:3 310:7	183:4,15	<b>wood</b> 260:3,17
<b>water</b> 149:1	<b>welcome</b> 191:17	311:11 315:2	184:5	263:21
150:1 151:2,3	196:7 313:9	316:20 317:5	187:3,22	<b>Woodward</b>
154:9	353:14 357:8	324:14,20	190:13	320:24,25
158:5,19	<b>we'll</b> 159:9,18	326:10 327:20	191:6,11,15	321:11
159:20,24	203:15 227:13	328:3 329:3	<b>witness</b> 153:2	<b>worded</b> 271:23
160:3,6,12,16,	228:18 230:18	332:20 335:19	155:7 162:1,6	<b>work</b> 158:16
18,23,25	237:21 246:22	343:8,18	163:14,17	160:15 171:19
162:13 202:7	263:10 269:18	347:5	164:11 166:12	173:6
205:1 206:24	272:24 282:21	349:5,11	168:17 172:25	175:11,14
210:5,6,12,18	293:4 295:4,5	350:12	179:24 183:8	180:9 188:21
214:25 216:21	308:21 313:2	351:6,20	184:1	202:21 206:10
225:11,13,23	318:10 319:3	352:1	187:2,20	213:25 214:24
229:13,17	320:5 321:14	355:17,18,25	189:10 191:17	234:25 244:12
233:16 239:14	337:20 340:3	<b>Whichever</b>	193:2 202:14	286:22 287:9
243:11 244:17	<b>wenches</b> 264:20	160:20	203:20,23	288:16 304:13
258:15	<b>we're</b> 158:11	<b>white</b> 197:17	204:20,23	<b>worked</b> 198:18
266:15,16	160:8 163:10	<b>whole</b> 163:9	207:13	211:25 221:10
269:23 273:16	164:5 189:2,5	176:20 197:17	208:1,22	222:20,21,25
276:11,16	190:2 208:20	198:17 220:4	213:13 216:17	224:10 226:22
277:1,10	228:15 245:17	222:24,25	222:4,12,15	<b>worker</b> 262:3
278:3,7 283:5	252:24 289:19	232:18	224:14 230:23	<b>working</b> 158:1,2
286:11,21,24	322:17 326:15	233:20,24	232:10 234:17	192:18 313:11
287:16 288:9	338:2 343:11	316:5 319:2,3	235:4,7,9,11,1	<b>world</b> 325:13
289:2,3,5,6,8	<b>west</b> 150:14	343:23 347:17	8,20,23	<b>worry</b> 342:13
291:18	151:8 152:4	359:7	236:1,4	<b>worst</b> 171:17
293:7,13	304:5	<b>wholly</b> 218:2	238:25 239:3	178:13 272:16
294:9 303:15	<b>wetted</b> 318:2	<b>who's</b> 195:21	242:22 243:3	<b>worst-first</b>
310:17,19	<b>whatever</b> 227:11	276:14	245:25 248:8	170:24
313:15	294:13 306:14	<b>whose</b> 213:9	249:13	<b>worth</b> 211:20
327:5,6,9,13	342:2	226:16	250:2,15,18	<b>wow</b> 348:3
328:3,5,17,25	<b>whatsoever</b>	<b>WILLIAM</b>	251:4,24	<b>wrap</b> 252:22
329:15	298:6	151:12	252:20 253:14	<b>wrapping</b> 282:19
330:8,10,23,2	<b>wherein</b> 285:14	<b>willing</b> 166:18	254:14,17,19	<b>written</b> 195:4
4 332:11,13	<b>whereof</b> 359:14	199:2 280:25	255:2 260:25	<b>wrong</b> 171:2
333:13 346:18	<b>where's</b>	<b>WINTERS</b>	263:1,15	277:3,15
351:19	168:19,22	151:11	273:12 274:25	<b>wrote</b> 211:3
353:8,24	<b>whether</b> 159:16	<b>wish</b> 228:10	275:2	256:21 297:9
<b>waterlines</b> 327:4	169:20	<b>withdraw</b>	279:15,21	307:17
332:4	170:8,19	245:10,18	291:24	
<b>waters</b> 237:19	183:25 184:13	353:24	292:15,23	<b>Y</b>
258:25 280:16	190:6 192:4	<b>withdrawing</b>	293:22 294:18	<b>yanking</b> 326:22
281:8,17	195:14 196:13	297:1	298:1,9	<b>yard</b> 188:2
327:24	197:2,23	<b>withdrawn</b>	300:8,11	259:18
<b>waterways</b>	198:3,4,10	235:16	301:14 304:24	<b>yards</b> 190:12
246:13	209:17 210:13	<b>Witkowski</b>	306:7,24	
<b>WATKINS</b>	213:12 217:4	152:19 153:5	307:13 309:17	
151:7	218:10 220:19	156:5,7 160:1	310:3,11	
<b>ways</b>	229:11 238:21		311:6,19	
288:19,22,23			312:12,15	
289:4			316:4 317:15	
			322:23 326:16	
			331:11,15	
			332:10 337:15	
			345:24 347:23	

<p><b>yellow</b> 186:8</p> <p><b>yesterday</b>  156:9,10,12,1  4,17  192:1,4,13  194:19 196:12  200:5 202:14  207:2 208:25  211:3 247:23</p> <p><b>yet</b> 176:20  217:14 219:5  224:7 239:8  321:17 322:22  333:2</p> <p><b>Young</b> 258:7</p> <p><b>yours</b> 210:17</p> <p><b>yourself</b> 194:14  201:16 211:2  225:6 227:15  283:21 284:2  308:10 328:8</p> <p><b>you've</b> 239:24</p> <hr/> <p style="text-align: center;"><i>Z</i></p> <hr/> <p><b>zero</b> 173:18  186:19,20</p> <p><b>zinc</b> 205:4  285:19</p>				
---	--	--	--	--







A P P E A R A N C E S

For the Designated Party National Steel and Shipbuilding Company:

LATHAM & WATKINS, LLP  
BY: JEFFREY P. CARLIN, ESQ.  
KELLY E. RICHARDSON, ESQ.  
600 West Broadway, Suite 1800  
San Diego, California 92101  
P.619.236.1234  
F.619.696.7419

For BAE Shipyards:

DLA PIPER LLP US  
BY: MATTHEW B. DART, ESQ.  
401 "B" Street, Suite 1700  
San Diego, California 92101  
P.619.699.3620  
F.619.764.6620

For Sempra Energy, San Diego Gas & Electric Company:

SEMPRA ENERGY  
BY: JILL TRACY, ESQ.  
101 Ash Street  
San Diego, California 92101  
P.619.699.5112  
F.619.699.5189

For the City of San Diego:

GORDON & REES LLP  
BY: KRISTIN N. REYNA, ESQ.  
101 West Broadway, Suite 1600  
San Diego, California 92101  
P.619.230.7729  
F.619.696.7124

A P P E A R A N C E S

For the State Water Resources Control Board:

STATE WATER RESOURCES CONTROL BOARD  
SENIOR STAFF COUNSEL, OFFICE OF ENFORCEMENT  
BY: CHRISTIAN CARRIGAN, ESQ.  
1001 "I" Street, P.O. Box 100  
Sacramento, California 95812  
P.916.322.3626  
F.916.539.1819

For the Navy:

U.S. DEPARTMENT OF JUSTICE,  
ENVIRONMENTAL DEFENSE SECTION  
BY: C. SCOTT SPEAR, ESQ.  
601 "D" Street, NW, Suite 8000  
Washington, D.C. 20004  
P.202.305.1593  
F.202.514.8865

For the Navy:

ASSOCIATE COUNSEL  
U.S. NAVY  
SW DIV, NAVAL FACILITIES ENGINEERING COMMAND  
BY: NATE J. CUSHMAN, ESQ.  
1220 Pacific Highway  
San Diego, CA 92132-5189  
P.619.532.2511  
F.619.532.1663

For the San Diego Unified Port District:

ALLEN MATKINS  
BY: SANDI L. NICHOLS, ESQ.  
Three Embarcadero Center, 12th Floor  
San Francisco, California 94111-4074  
P.415.837.1515  
F.415.837.1516

A P P E A R A N C E S

For the San Diego Coastkeeper:

SAN DIEGO COASTKEEPER  
BY: JILL WITKOWSKI, ESQ.  
GABRIEL SOLMER, LEGAL DIRECTOR  
2820 Roosevelt Street, Suite 200A  
San Diego, California 92106-6146  
T.619.758.7743, ext. 109  
F.619.223.3676

For General Dynamics:

GENERAL DYNAMICS  
BY: DEBORA A. BULJAT, ESQ.  
2798 East Harbor Drive  
San Diego, California 92113  
P.619.544.7965  
F.619.544.8897

Also in Attendance:

JASON M. CONDER, Ph.D.

## I N D E X

1  
2  
3 WITNESS: LISA HONMA  
4

5	EXAMINATION	PAGE
6	By Mr. Carlin	10
7	By Ms. Tracy	74
8	By Ms. Witkowski	96
9	By Ms. Nichols	117
10	By Ms. Reyna	156

## E X H I B I T S

14	MARKED	PAGE
15	400 Second Amended Notice of Videotaped Deposition	15
16	401 Document production	56
17	402 Contaminated Sediment Remediation Guidance for Hazardous Waste Sites	56
18	403 Letter to Kolb from Calscience Environmental Laboratories, Inc., 10/12/05	86
19	404 PowerPoint slides, Public Workshop & CEQA Scoping Meeting for TMDLs for Toxic Pollutants in Seiment for the Mouths of Paleta, Chollas and Switzer Creeks, 10/14/08	97
20	405 Email string to Honma from Kolb, 11/21/05	135

E X H I B I T S

1		
2	MARKED	PAGE
3	406 Letter to Robertus from ENV America, 6/15/05	140
4		
5	407 Memo to Southwest Marine from Honma, 11/27/06	152
6		
7		
8		
9		
10		
11		
12		
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		